

MONTANA THIRTEENTH JUDICIAL DISTRICT COURT

YELLOWSTONE COUNTY, BILLINGS, MONTANA

---

STATE OF MONTANA, )  
) )  
) )  
vs. ) )  
) )  
RICHARD E. COVINGTON, ) Case No. DC 08-526  
) Jury Trial Transcript  
) )  
) )  
Defendant. )

---

**TRANSCRIPT OF PROCEEDINGS**

Thursday, March 4, 2010

Volume XV of XXII

**HONORABLE G. TODD BAUGH**  
District Judge Presiding

Yellowstone County Courthouse  
217 North 27th, Courtroom 608  
Billings, Montana 59101

**APPEARANCES :****For the State of Montana:**

Rod Souza, Deputy County Attorney  
Scott Twito, Deputy County Attorney  
Kimberly Hamm, Deputy County Attorney  
**YELLOWSTONE COUNTY ATTORNEY'S OFFICE**  
217 North 27th Street, Suite 701  
P. O. Box 35025  
Billings, Montana 59101

**For the Defendant:**

Randi M. Hood, Chief Public Defender  
**MONTANA OFFICE OF STATE PUBLIC DEFENDER**  
44 West Park  
Butte, Montana 59701

Matthew J. Wald, Esq.  
**WALD LAW FIRM**  
Post Office Box 525  
Hardin, Montana 59034

**INDEX - VOLUME XV**

	<u>PAGE</u>
1	
2	
3	4
4	
	<b><u>WITNESSES:</u></b>
5	
	For the State:
6	
	<b>JAMIE BRAY</b>
7	6
	42
8	47
	48
9	
10	
	<b>PHILIP THOMAS KINSEY</b>
11	50
	98
12	117
	119
13	
14	
	<b>KEITH RICHARD BUXBAUM</b>
15	120
16	
	<b>KATHRYN MOYSE</b>
17	136
	175
18	
19	
	<b>EDWIN X. BERRY</b>
20	177
	200
21	203
22	
	<b><u>EXHIBITS:</u></b>
23	
	<b><u>RECEIVED</u></b>
24	
	For the State:
24	183
	187
25	194

INDEX - VOLUME XV Continued

**EXHIBITS:**

**RECEIVED**

For the State:

4	State's Exhibit No. 351	15
	State's Exhibit No. 352	15
5	State's Exhibit No. 353	15
	State's Exhibit No. 354	15
6	State's Exhibit No. 354-A	32
	State's Exhibit No. 354-B	32
7	State's Exhibit No. 355	61
	State's Exhibit No. 356	61
8	State's Exhibit No. 357	61
	State's Exhibit No. 358	61
9	State's Exhibit No. 359	73
	State's Exhibit No. 372	10
10	State's Exhibit No. 415	145
	State's Exhibit No. 416	145
11	State's Exhibit No. 421	131
	State's Exhibit No. 422	125
12	State's Exhibit No. 423	125
	State's Exhibit No. 424	133
13		
14	REPORTER'S CERTIFICATE	210
15	Word Index	211
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

MORNING SESSION, THURSDAY, MARCH 4, 2010

1  
2 (Whereupon, the court reconvened at 8:39 a.m., with  
3 all interested parties present, and the following proceedings  
4 were had:)

5 THE COURT: Okay. For the record, continuation of  
6 State versus Covington. Mr. Covington is present with  
7 counsel, as are all other relevant personnel.

8 If I'm counting correctly this is the fourth week of  
9 trial -- not the third as they said in the *Gazette*, but only  
10 the 15th day of trial. And if everybody is ready, we'll bring  
11 in the jury.

12 (Whereupon, the jury entered the courtroom at  
13 8:40 a.m.)

14 THE COURT: Please be seated. Looks like the jury is  
15 all seated, but as a matter of formality I'll ask the clerk to  
16 call the roll of the jury.

17 (Whereupon, the roll was taken by the clerk.)

18 THE COURT: The record may reflect the jury is all  
19 present.

20 State may call its next witness.

21 MR. TWITO: Thank you, Your Honor. The State would  
22 call Jamie Bray.

23 **JAMIE BRAY,**

24 called as a witness on behalf of the State, having been first  
25 duly sworn, testified as follows:

DIRECT EXAMINATION

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

BY MR. TWITO:

Q Good morning.

A Good morning.

Q Please state your full name and spell your last name for the record.

A Jamie Bray. Last name is spelled B-r-a-y.

Q Ms. Bray, what is your current profession?

A I'm a forensic scientist in the field of serology.

Q And where do you work as a forensic scientist in the field of serology?

A The Montana Division of Forensic Science, or commonly know as the Montana State Crime Lab.

Q Ms. Bray, how long have you worked for the Montana State Crime Lab?

A In my current position, since February 2006.

Q Could you, for the jury, describe the field of serology and briefly the nature of the work that you do in that field.

A Forensic serology is the identification of biological fluids on items of evidence.

Q How many forensic serologists are employed by the Montana State Crime Lab?

A There are six full-time scientists in the DNA serology section, two of which are full-time serologists.

1 Q Are you a full-time serologist?

2 A Yes, I am.

3 Q Ms. Bray, how did you, -- let's go through your  
4 academic background, I guess sort of the road map as to how  
5 you became a serologist. Could you describe your education?

6 A I have a bachelor's degree in biology, with a human  
7 biology emphasis from the University of Montana.

8 Q And what sort of specialized training did you receive  
9 before you became a full-fledged serologist?

10 A I completed an extensive in-house serology training  
11 program at the Montana State Crime Lab, which included testing  
12 over a hundred unknown stains for either blood or semen. I  
13 completed 11 mock cases, which included testing unknown stains  
14 for blood or semen. I completed and passed a comprehensive  
15 exam over topics related to serology. I participated in a  
16 mock trial over one of my mock cases. And I've also attended  
17 training from other outside agencies over the topics related  
18 to serology.

19 Q And, Ms. Bray, in your field of serology, do you have  
20 mandatory continuing education and training that you must  
21 complete?

22 A It's not necessarily mandatory for us to seek outside  
23 training, but it's encouraged to do so every year.

24 Q And have you done some outside training?

25 A Yes, I have.

1 Q And you stated in your work as a serologist since  
2 February of 2006, I believe you said, you've previously  
3 identified biological fluids as part of your work and working  
4 in cases?

5 A Yes, I have.

6 Q Could you estimate the number of cases that you've  
7 worked on since becoming a full-fledged serologist in 2006?

8 A Approximately 350.

9 Q Ms. Bray, could you tell the jury how many times  
10 you've testified in a court of law?

11 A Approximately 12 times.

12 Q And has that all been in the state of Montana?

13 A Yes, it has.

14 Q Have you actually testified as a serologist in this  
15 judicial district in Yellowstone County, which is the 13th  
16 Judicial District?

17 A Yes, I have.

18 Q And have you been -- how many -- have you been  
19 qualified as an expert in forensic science specializing in  
20 serology in those courts?

21 A Yes, I have.

22 MR. TWITO: Your Honor, at this time I'd move to  
23 qualify Ms. Bray as an expert in the field of forensic science  
24 specializing in serology.

25 MS. HOOD: I've no problem with that.

1           THE COURT: And she is qualified and may testify in  
2 an opinion form about those matters in her field of expertise.

3           MR. TWITO: Thank you, Your Honor.

4           Q        (By Mr. Twito) Ms. Bray, before we discuss your  
5 involvement in this case specifically, I want to talk a little  
6 bit about sort of the protocols in your state -- the Montana  
7 State Crime Lab, sort of what you do at the Montana State  
8 Crime Lab.

9           MR. TWITO: Permission to approach the witness,  
10 Your Honor.

11          THE COURT: You may.

12          Q        (By Mr. Twito) Ms. Bray, I'm going to approach you  
13 and hand you what's been marked for identification purposes as  
14 State's Proposed Exhibit 372. It's a diagram. Do you  
15 recognize what's represented in that diagram?

16          A        Yes, I do.

17          Q        And what is it?

18          A        It's an outline of the Montana State Crime Lab.

19          Q        And are you familiar with the outline of the Montana  
20 State Crime Lab and all the various laboratories within that  
21 building?

22          A        Yes, I am.

23          Q        Where is the Montana State Crime Lab?

24          A        It's in Missoula, Montana.

25          Q        Would this diagram be helpful in explaining sort of

1 where you conduct your work and where other work in the lab is  
2 conducted?

3 A Yes.

4 MR. TWITO: Your Honor, at this time, I think for  
5 demonstrative purposes, I'd move for the admission of  
6 State's 372.

7 MS. HOOD: No objection.

8 THE COURT: Received, and it may be published.

9 (Whereupon State's Exhibit No. 372 was received in  
10 evidence.)

11 MR. TWITO: Thank you, Your Honor.

12 Q (By Mr. Twito) All right. Ms. Bray, do you utilize  
13 all sections of this lab at this building?

14 A For my analysis, no.

15 Q Can you point on this diagram where you conduct your  
16 analysis in serology?

17 A From a serological analysis, I conduct it in this  
18 area right here, the larger area where the DNA serology label.

19 Q And the other areas of the lab, are they all  
20 sectioned off from your particular area?

21 A Yes, they are.

22 Q In fact, can you -- do you have the ability to lock  
23 your area off from the other areas of the lab?

24 A Yes, I do.

25 Q And why is that important? Why is it important to

1 have each section have its own section within the building at  
2 the Montana State Crime Lab?

3 A It prevents us from cross-contaminating with any  
4 other evidence that might be being examined at the time.

5 Q But isn't it fair to say that an item of evidence may  
6 come to the lab to be received by the lab and go to various  
7 departments?

8 A Yes.

9 Q Can you briefly describe how the Montana State Crime  
10 Lab receives an item for testing?

11 A An item is submitted from an agency and received at  
12 the laboratory where it is entered at the evidence counter  
13 right here (indicating). And they inventory it and --  
14 inventory it and then creating a file for us listing the  
15 evidence that's been submitted.

16 Q Now, the Montana State Crime Lab is also a secure  
17 facility, is it not?

18 A Yes, it is.

19 Q I couldn't just show up at the front door and go into  
20 the firearms sections and check out the latest guns, could I?

21 A No.

22 Q Okay. Now, how -- where do you store items of  
23 evidence that finally make it to your department, your  
24 serology department?

25 A I store it in the serology DNA vault.

1           Q     And you said an item of evidence comes to your  
2 evidence department. How does the evidence department know  
3 that you have it?

4           A     Through an internal chain of custody.

5           Q     And does your -- do all departments within your lab  
6 maintain this internal chain of custody?

7           A     Yes, they do.

8           Q     Okay.

9           MR. TWITO: All right. You can take that down.

10          Q     (By Mr. Twito) I would like to just generally  
11 explain how you perform your work. When you're asked as a  
12 serologist to examine an item, what do you do, what tools do  
13 you use and how do you go about doing it?

14          A     First, I will place my lab coat on and put a face  
15 mask on, I put a fresh pair of latex gloves on. I then clean  
16 my work area with a ten percent bleach solution. Once that's  
17 done, I put down white butcher paper. I then clean my  
18 utensils, such as pens, markers, rulers, any light sources, my  
19 camera with 10 percent bleach. I clean my scissors and my  
20 tweezers with ten percent bleach and methanol.

21                 I then, depending on the case scenario, if  
22 presumptive chemical tests are needed, I will then make up the  
23 chemical presumptive test and perform a QC on them to make  
24 sure they're working properly. Once that's complete, I change  
25 my gloves, put a fresh pair of gloves on, I then go and

1 retrieve the evidence from the serology DNA evidence vault. I  
2 bring it back to my work area, where then I document the  
3 packaging seals, any labeling on the packaging.

4 I then open up the item of evidence, I lay it out on  
5 my work area on the white butcher paper. I document staining,  
6 the condition of the item in my notes. If pictures -- I then  
7 take pictures of the item and then perform any chemical test  
8 needed on -- presumptive chemical test needed on that item and  
9 collect any stains that need to be forwarded on to DNA  
10 analysis.

11 Q How do you -- let's just -- for example, let's say  
12 you are asked to examine an item of clothing. Is that a  
13 common item that you would examine?

14 A Yes.

15 Q How do you collect a stain? Say you observed the  
16 stain on there, how would you just collect it?

17 A Either by cutting the stain from the item or swabbing  
18 the stain using a cotton tip swab.

19 Q And why are you -- why are you collecting a stain?

20 A To send it on for DNA analysis.

21 Q You don't actually complete the DNA analysis, do you,  
22 as a serologist?

23 A No, I do not.

24 Q Do you ever work more than one item at a time?

25 A No.

1 Q Why is that?

2 A To prevent cross-contamination, Only one item is  
3 opened and examined at a time.

4 MR. TWITO: Your Honor, permission to approach the  
5 witness again.

6 THE COURT: You may.

7 MR. TWITO: And I'd ask, if need be for this day,  
8 continuing permission to approach the witnesses.

9 THE COURT: You may.

10 Q (By Mr. Twito) Ms. Bray, I'm handing you a series of  
11 four photographs, they are marked as State's Proposed Exhibits  
12 351 through 354. Please take a look at those. Have you had  
13 an opportunity to examine those photographs?

14 A Yes, I have.

15 Q What are they photographs of?

16 A They are photographs of the -- some of the -- well,  
17 the evidence technician area where we receive main evidence  
18 from the evidence technicians, and then the serology working  
19 area and my work station.

20 Q And do they fairly and accurately depict those areas  
21 of the Montana State Crime Lab?

22 A Yes.

23 MR. TWITO: Your Honor, at this time I move for the  
24 admission of State's Exhibits 351 through 354.

25 MS. HOOD: No objection.

1 THE COURT: Received. They may be published.

2 (Whereupon, State's Exhibit Nos. 351, 352, 353, 354  
3 were received in evidence.)

4 MR. TWITO: Thank you.

5 Q (By Mr. Twito) Starting with 351, Ms. Bray, what is  
6 the jury seeing in this photograph?

7 A That is the main evidence receiving area.

8 Q Okay. And that's where all evidence is run into the  
9 labs?

10 A Yes.

11 Q And then it can go out to various departments?

12 A Yes.

13 Q On the right half of the picture there, what is that?

14 A Those are nitrile or latex gloves.

15 Q A lot of boxes of those around the lab?

16 A Yes.

17 Q Okay. All right. State's 352. Okay. What do we  
18 have in State's 352?

19 A This is the view of the main serology examination  
20 area.

21 Q Is your area that you work in visible in this  
22 photograph?

23 A Yes, it's right -- that's a lot of arrows.

24 Q You are actually doing a lot better than the witness  
25 we had on yesterday.

1 A Okay. It's actually right here where the arrows are.

2 Q You also have a laser pointer up there if it would be  
3 helpful.

4 What is this big blue thing back here on the right  
5 side of the photograph?

6 A It's a hood.

7 Q Okay. What is that used for?

8 A It's used for when a reference standard is made and  
9 the blood is placed on UV-filtered -- UV-treated filter paper.  
10 It's placed under the hood to allow it to dry, so it's keeping  
11 it away from other contaminants that might possibly be there.

12 Q Contained in that hood?

13 A Yes. The hood can actually be closed.

14 Q You just mentioned something there. What is a  
15 reference standard?

16 A A reference standard is a known standard, typically a  
17 buccal swab, which is a cotton-tipped swab that's used to swab  
18 the inside of the cheek and it's from a known individual that  
19 is sent on for DNA analysis purposes.

20 Q As part of your job as a serologist, do you enter  
21 that chain of those reference standards that are then passed  
22 on to your -- the DNA portion of your lab?

23 A Yes.

24 MR. TWITO: All right. Could you pull up State's  
25 353. All right. What do we have here -- it's just another

1 view of your laboratory area?

2 A Yes. This is another view of the serology  
3 examination area.

4 Q All right. I'm looking at the left side of this  
5 picture here, bunch of refrigerators there?

6 A Those are freezers, and the one right there with the  
7 green dot is the serology freezer and refrigerator.

8 Q There is some cables coming around. Do you lock  
9 that?

10 A Yes. It's padlocked every night and the key is  
11 stored in the back of the armed serology DNA vault.

12 Q All right. And State's 354? What do we have here?

13 A This is my work station.

14 Q And this is the station that you described that you  
15 work one item at a time, then clean your station each time  
16 before you work the next item?

17 A Yes. Before I start an item, I clean my work  
18 station. Once that item has been examined and completed, I  
19 then clean the work station again. And then I move on to the  
20 next item, and then clean my work station again.

21 Q Okay.

22 MR. TWITO: Now, Kimberly, would you put up 372,  
23 again.

24 Q (By Mr. Twito) You did say that you -- an item would  
25 come in, everything is received in the evidence section of the

1 lab, and then it goes out to the various other departments  
2 within the Montana State Crime Lab. Do you ever receive or  
3 obtain things directly from one of the other sections?

4 A Yes.

5 Q Okay. And that -- you maintain a chain of custody  
6 that reflects that as well?

7 A Yes.

8 Q So I think you talked about this a little bit, but  
9 how do you secure the evidence that you still have in your  
10 section? You have a locked fridge there. Is there another  
11 area within your section itself that you can secure items?

12 A Yes, the armed serology DNA vault, or I have two  
13 locked cabinets to where I only have the possession of the  
14 key.

15 Q Okay. All right. I want to turn to -- you can take  
16 that down, Kimberly.

17 I would like to talk to you specifically about the  
18 items in the case of State versus Covington that you were  
19 asked to examine as a serologist. Now, in this case you were  
20 asked to examine quite a few items, were you not?

21 A Yes.

22 Q You also generated several reports in this case?

23 A Yes, I did.

24 Q Just -- we'll go through some of the items, but  
25 approximately how many items did you look at?

1 A Approximately 29.

2 Q And when you examined those items, were you able to  
3 identify areas that you believed to be stains that you either  
4 cut, removed or swabbed?

5 A Yes.

6 Q Had some of the items that you analyzed already been  
7 to other departments within the Montana State Crime Lab?

8 A Yes.

9 Q What department in particular looked at quite a few  
10 of the items prior to you looking at them?

11 A The trace analysis.

12 Q Do you recall looking at any duct tape pieces or  
13 anything like that?

14 A No.

15 Q All right. Ms. Bray, I'd like to turn your attention  
16 to your report dated June 13th, 2007. I believe it's titled a  
17 Supplemental Serology Report. To your recollection, is that  
18 the first report that you generated with regard to this case?

19 A As far as my analysis, yes.

20 Q What two items did you examine in that report?

21 A Item 78, number 103, sweat pants.

22 Q Okay. Was there another item?

23 A Yes, item 79, number 104, sweater.

24 Q You identified it by a number. You gave them each an  
25 item number, 78 and 79. Who -- where does that number come

1 from?

2 A It's generated -- once the item is received in the  
3 laboratory, we give it our own individualized number.

4 Q Why is -- why do you give it your own number?

5 A To keep track of the evidence through the internal  
6 chain of custody.

7 Q You also read another number associated with the  
8 sweat pants and then with the sweater. You said 103, sweat  
9 pants, 104, sweater. Is that the number from the submitting  
10 agency?

11 A Yes, I believe so.

12 Q Okay. And looking at your report, is the submitting  
13 agency there the Billings Police Department?

14 A Yes.

15 Q Okay. Let's just briefly tell the jury what you  
16 found on your item 78, the sweat pants.

17 A Indications of blood were detected on the sweat  
18 pants. I collected two representative stains, and they were  
19 retained in the laboratory. I noted apparent hairs and  
20 debris, they were repackaged and retained with the item, and  
21 then the debris that came off the item during my analysis was  
22 retained with the item.

23 Q Okay. So you recovered some stains, you collected  
24 some stains. Did they then in turn get assigned a number?

25 A Yes.

1 Q What number -- since this is item 78, what number  
2 would you assign to those stains that you collected?

3 A It would have the main number of 78, which would  
4 identify coming from the sweat pants, and then the two stains  
5 would be assigned a point zero, so it would be 78.01 and  
6 78.02.

7 Q So, for example, just so we can all track, because  
8 we're going to have to talk about some numbers here, if you  
9 had, in fact, collected ten stains from this item, it would  
10 have gone all the way to 7810?

11 A Yes.

12 Q You then you said you did collect some hair and  
13 debris and you repackaged it. Is that common for you to be  
14 doing that as a serologist?

15 A Yes.

16 Q Okay. We won't go through the sweater, but you did  
17 the same -- well, actually we should. This was your item 79.  
18 What did you collect from this?

19 A I collected swabs -- 79.01 should be swabs of outside  
20 of left arm cuff. That was a typographical error by me.  
21 79.02 is swabs of outside of right arm cuff.

22 Q And you made that correction?

23 A Yes.

24 Q And so this is that cotton swab that you described  
25 earlier?

1           A     Yes.

2           Q     So you didn't collect any stains from this, you  
3 collected swabs?

4           A     That's correct.

5           Q     Let's talk about the swabbing process. How do you  
6 know where to swab?

7           A     In the -- it's either a stained region where I  
8 identified a stain, or in this case it would be on the arm  
9 cuff.

10          Q     Is that fairly common, when you get an item like a  
11 sweater, to swab the arm cuffs?

12          A     Not typically. It just depends on the case scenario.

13          Q     Did you observe -- you said you observed indications  
14 of blood. Were indications of blood present on the arm cuffs?

15          A     Yes, I did identify stains on the arm cuffs that were  
16 positive for indications of blood.

17          Q     All right. I want to turn your attention to another  
18 report, and this one is your report dated June 18, 2007.

19          A     Okay.

20          Q     What did you do in this situation? What item were  
21 you presented with and what did you do?

22          A     It was item 104, it was a St. Vincent Hospital  
23 plastic cup. I didn't perform any serological analyses on  
24 this plastic cup. I took a swab of the lid of the cup. I  
25 swabbed the areas on the lid, and then I retained that swab in

1 the laboratory for DNA analysis.

2 Q And you identified it as a St. Vincent Hospital  
3 plastic cup?

4 A Yes.

5 Q But the item number you gave it, 104, is just the  
6 laboratory number?

7 A Yes.

8 Q All right. Ms. Bray, I'm going to hand you what's  
9 previously been introduced as State's Exhibit 283. It's a St.  
10 Vincent's plastic cup. Does that appear to be the plastic cup  
11 that you swabbed the lid of?

12 A Yes.

13 Q Can you tell the jury how you know that?

14 A Based on my notes, and then also my seals are right  
15 here (indicating) with my initials and date.

16 Q Okay. All right. Thank you.

17 All right. I want to turn your attention to, I  
18 believe it's your next report, is it August 7, 2007?

19 A Yes.

20 Q In this report, did you note any reference standards?

21 A Yes, I did.

22 Q Whose reference standards are noted in your report  
23 here?

24 A Norman Leighton, Patti Hubbert, and Gerald Morris.

25 Q Okay. And how did you come to obtain Norman

1 Leighton's reference standard and Patti Hubbert's reference  
2 standard?

3 A I received a blood sample from the toxicology  
4 section.

5 Q So like a blood sample?

6 A Yes. It was a known blood sample in a small  
7 centrifuge tube.

8 Q And so with regard to Norman Leighton's reference  
9 standard and Patti Hubbert's, you actually didn't get it  
10 directly from a submitting agency like the police department,  
11 you got it from your own toxicology department?

12 A The submitting agency sent the blood tubes into the  
13 crime lab and they were sent to the toxicology department, and  
14 then I retrieved the sample from the toxicology department.

15 Q And, again, those are those reference standards that  
16 you talked about earlier?

17 A This one is one that the blood is transferred to a  
18 UV-treated filter paper.

19 Q Okay. And then you also mentioned Gerald Morris's  
20 reference standard as well.

21 A Yes.

22 Q Again, collecting these reference standards for later  
23 use by your DNA section of your laboratory?

24 A Yes, for the DNA analyst.

25 Q Did you also detail in this report the examination of

1 some other items that were submitted to you by the Billings  
2 Police Department and also the Yellowstone County Sheriff's  
3 Office?

4 A Yes.

5 Q All right. Did you also obtain -- or retain a buccal  
6 swab from Richard Covington?

7 A Yes, I did.

8 Q And what item number did your lab assign to that?

9 A 83.

10 Q And that was submitted to you, I believe, according  
11 to your report, by the Yellowstone County -- or excuse me, the  
12 Yellowstone County Sheriff's Office; is that correct?

13 A It was submitted by the Billings Police Department.

14 Q Okay. My mistake.

15 One of the items that you tested was a shirt?

16 A Yes.

17 Q Okay. And what was your item number, and what was  
18 the submitting agency's number, and what sort of tests did you  
19 perform on the shirt?

20 A Our number -- laboratory number was 74, the agency  
21 number was number 44, and identified indications of blood on  
22 the pink shirt.

23 Q Okay. And how many stains were you able to collect  
24 off the shirt?

25 A I chose four representative stains.

1           Q     When you say you chose, and as a serologist, do you  
2 sometimes have to make a choice as to which stains you collect  
3 and which stains you leave on there?

4           A     Yes.

5           Q     And how do you make that determination?

6           A     Based on the case scenario and just the staining  
7 that's present. We can always go back and collect more for  
8 DNA analysis.

9           Q     What do you mean by case scenario?

10          A     Just the circumstances involved in this case, if  
11 there is maybe more than two people bleeding or other people  
12 involved.

13          Q     Okay. Are you given some of the facts before you  
14 begin your analysis of the case so you have a background?

15          A     Yes.

16          Q     And, again, did you note some hairs and other debris  
17 on this item?

18          A     Yes, I did.

19          Q     Did you retain those?

20          A     Yes.

21          Q     Now, with something like this shirt, when you're  
22 retaining debris and hairs, is it fairly common then for this  
23 item then to go back to trace or back and forth occasionally?

24          A     No.

25          Q     Normally -- does normally it go to trace first?

1           A     Yes.

2           Q     All right.  Now, I want to turn your attention to a  
3 report dated November 1, 2007.  Before we discuss your testing  
4 that's reflected in this report, I'd like to ask you what  
5 fluorescing is.

6           A     Fluorescing is where a stain either can be seen by  
7 the naked eye or not, and it's examined underneath an  
8 alternate light source which is similar to a black light and  
9 this stain illuminates.

10          Q     What does it look like under the black light?  It  
11 just gets bright?

12          A     Yes.  It essentially fluoresces, it gets bright.

13          Q     Sticks out among all the other things around it?

14          A     Yes.

15          Q     Is there a fluorescing process in your work?

16          A     We use an alternate light source.

17          Q     Always the alternate light source?

18          A     Yes.

19          Q     Okay.  What type -- in your line of work as a  
20 serologist, what type of fluids typically fluoresce?

21          A     Semen, seminal fluid, saliva, sweat, urine, alcohol,  
22 deodorant, those are some examples.

23          Q     Do some of those items fluoresce differently?

24          A     Yes.

25          Q     When you would examine an item, could you see several

1 areas that would fluoresce, that they would fluoresce at, I  
2 guess, different levels or brightness, or how would you  
3 describe that?

4 A Yes. Sometimes a stain would fluoresce a lot  
5 brighter than another stain in a different area of an item.

6 Q Let's assume you're testing an item and you got  
7 several fluorescing stains. How do you determine which one's  
8 a stain of interest and which one is not that you are going to  
9 collect?

10 A It just depends, again, on the case scenario. And  
11 then if it sometimes fluoresces brighter, that might be one  
12 that we go ahead and collect and send on for DNA analysis.

13 Q Okay. And you had testified earlier that there are  
14 basically two ways that you can take this fluorescing stain or  
15 stain, and one process was cutting and the other was swabbing.  
16 Can you explain the cutting process and then the swabbing  
17 process.

18 A Cutting process, I wash my scissors with ten percent  
19 bleach and with methanol. I also do that with my tweezers.  
20 And this is done with each individual stain before and after.  
21 No stain is ever collected with using the same scissors, they  
22 are cleaned before and after. I then excise the stain. I  
23 don't cut around where the edge of the stain is, I go about a  
24 centimeter out. I cut around the stain, I use my forceps,  
25 place that stain in a labeled glycine envelope with the case

1 number, the item number I gave it, my initials and date. That  
2 glycine envelope is then placed in a coin envelope, which has  
3 the case number, my item number that I gave it with a  
4 description of the item, initials and date, and then that is  
5 sealed with evidence tape, my initials and date.

6 Q And then a swabbing process?

7 A The swabbing process, I use either one or two swabs.  
8 I wet the swabs with deionized water, and then I try to wick  
9 up the stain using the swab kind of in a twirling motion to  
10 try to pick up as much stain as I can. And then those -- the  
11 swab or the swabs are then placed in a glycine envelope with  
12 the case number, my individualized item number that I gave it,  
13 initials and date. I place that in a coin envelope with the  
14 case number, the item number, the description, my initials and  
15 date, and then seal it with evidence tape, initials and date.

16 Q Why do you go to such great lengths? Why is that  
17 important?

18 A Just to make sure everything is lined up as far as  
19 the numbers and the descriptions and so they know -- so I  
20 know, too, what's there.

21 Q Do you prefer one method over the other?

22 A It really just depends on the circumstances.

23 Q Might be some particular stain that you see on an  
24 item that you can't cut out so you would have to swab?

25 A Yes.

1 Q Okay. I want to turn your attention to your November  
2 1st report and some of the items that you tested there. Do  
3 you recall testing an item you identify in your lab as item  
4 76?

5 A Yes.

6 Q Can you describe what that item was that you looked  
7 at?

8 A It was the agency number, number 52, and it was a  
9 pillow.

10 Q Was there a case over the pillow?

11 A Yes.

12 Q Do you recall the color?

13 A It was green.

14 Q And can you tell, had this pillow been to trace  
15 analysis prior to you examining it in your serology lab?

16 A Yes.

17 Q Well, Ms. Bray, I'm going to hand you what's marked  
18 for identification purposes and previously introduced as  
19 State's Exhibit 181. It's been encased in plastic, and  
20 Detective Richardson spoke of it. Sort of a large item. Do  
21 you have some room there to hold that up. I also have the  
22 accompanying paper sacks if that can help you in your --

23 A Should I lift that up?

24 Q If you want.

25 MR. TWITO: May the witness get down from the stand

1 for just a moment, Your Honor?

2 THE COURT: She may.

3 Q (By Mr. Twito) Ms. Bray, you're holding State's  
4 Exhibit 181. Do you recognize that?

5 A Yes, I do.

6 Q Okay. Is that your item 76?

7 A Yes.

8 Q Okay. Do you -- can you identify any markings that  
9 you made during your analysis of that item?

10 A It would be right in here (indicating).

11 Q Might be hard to see through the plastic.

12 A Yeah. I believe there is some of my markings right  
13 there.

14 Q Okay. All right. Thank you.

15 We'll make this easier, we'll get some photographs  
16 going, how about that? Thank you.

17 Ms. Bray, when you examined this item, did you take  
18 your own photographs?

19 A I did.

20 Q Ms. Bray, I'm handing you what have been marked for  
21 identification purposes as State's Proposed Exhibit 354A and  
22 354B. Ms. Bray, do you recognize what's represented in those  
23 images?

24 A Yes, I do.

25 Q And what are they photographs of?

1 A Of item number 76, the pillowcase or the pillow.

2 Q And are both sides of that pillow, item 76, in each  
3 photograph?

4 A Yes.

5 Q Okay. And are they photographs that you took?

6 A Yes.

7 Q And they accurately represent the pillowcase and  
8 pillow, your item 76, when you conducted your serological  
9 examination?

10 A Yes.

11 MR. TWITO: Your Honor, at this time I move for the  
12 admission of State's Exhibit 354-A and 354-B.

13 MS. HOOD: These are 354?

14 MR. TWITO: Yeah, 354-A and 354-B.

15 MS. HOOD: And 354 was a photograph of the crime lab?

16 MR. TWITO: Yes. I just had to label them A and B.

17 MS. HOOD: Okay. I'm sorry, I have no objection.

18 THE COURT: Received. May be published.

19 (Whereupon, State's Exhibit Nos. 354-A and 354-B were  
20 received in evidence.)

21 Q (By Mr. Twito) Can you please put up 354-A. Okay.  
22 It's difficult to see under the light, but what do we have  
23 here, Ms. Bray?

24 A This is the burned side of the pillowcase.

25 Q Where did you take this photo?

1           A       This is at my work station.

2           Q       Okay. Again, so that's that white butcher paper that  
3 you talked about that you laid down before you examine an  
4 item?

5           A       Yes. Before I laid down the white butcher paper, I  
6 washed my area with 10 percent bleach.

7           Q       Okay. And then 354-B. Okay. What do we have here?

8           A       This is the non-burned side of the pillowcase.

9           Q       How did you determine burned side in 354-A to  
10 non-burned side in 354-B?

11          A       Just based on the discolorations I saw, there was  
12 more brown on the burned side, I just determined that burned,  
13 and then the other side, non-burned side, for note-taking  
14 purposes.

15          Q       Okay. I see a, sort of a large, I guess, a stain  
16 with a lot of big black circle around it.

17                 MR. TWITO: Kimberly, can you zoom in on that. Okay.

18          Q       (By Mr. Twito) Are those your markings?

19          A       Yes.

20          Q       Can you tell the jury why you're putting that marking  
21 around that stain like that?

22          A       It's to circle the stain so I know to test it for  
23 indications of blood.

24          Q       Okay. Now, looking at State's 354B. Did you recover  
25 any stains from this side of the pillow?

1           A     Yes, I did.

2           MR. TWITO: Kimberly, can you zoom in. Thank you,  
3 Kimberly.

4           Q     (By Mr. Twito) We zoomed in on the upper right half  
5 of the pillow in the photograph. What can the jury see in  
6 this photograph?

7           A     You can see my circled areas where I tested for  
8 indications of blood. There is also a dotted circle area with  
9 a KM positive sign, that stands for Kastle-Meyer, that's the  
10 presumptive chemical test I use for indications of blood. The  
11 dotted line indicated -- I also examined this item using the  
12 alternate light source, and this dotted line indicated to me  
13 that this stain tested positive for blood and also fluoresced  
14 underneath the alternate light source.

15          Q     So is there something else there besides blood?

16          A     There could be.

17          Q     Did this stain fluoresce differently than some of the  
18 other stains that you looked at?

19          A     Yes.

20          Q     From this item, how many separate stains did you  
21 remove and repackage for later DNA testing?

22          A     Three.

23          Q     Are you familiar with your stain number 76.01?

24          A     Yes.

25          Q     Can we see it here?

1           A     Yes, it's the dotted line.

2           Q     It's this one right here? (Indicating.)

3           A     Yes.

4           Q     Okay. And now I focus in on -- this is stain 76.01?

5           A     Yes, that's correct.

6           Q     And that's seen in State's 354-B and what you call  
7 the non-burn side of the pillow?

8           A     Yes.

9           Q     You can put it down.

10                  Visually, you said the stain sort of -- Did it look  
11 like blood as you examined it visually?

12           A     It had red brown stains, so I tested for indications  
13 of blood.

14           Q     At the time that you tested it, was it wet or was it  
15 dry?

16           A     It was dry.

17           Q     Was there any difference to you, when conducting your  
18 test, whether it's moist or dry?

19           A     No.

20           Q     How did you go about obtaining that particular stain  
21 from this pillowcase?

22           A     I removed it with scissors and tweezers. I cut out  
23 the stain.

24           Q     All right. And I believe you said you retained three  
25 stains from this item?

1           A     Yes.

2           Q     And where did you get the other stains from this  
3 item?

4           A     The other two stains were from the burned side of the  
5 pillowcase.

6           Q     Can you put 354A up?

7           A     Okay.

8           Q     The other two you got were on the burn side?

9           A     Yes.

10          Q     And can you tell, looking at the photograph there on  
11 your monitor, Jamie, where approximately those stains would  
12 be?

13          A     Is it possible to zoom in just a little bit --

14          Q     You bet.

15          A     -- to the right side.

16          Q     On the right side? Yes.

17          A     Oh, yep, this will work. (Indicating) this stain  
18 right here, it's a little difficult to see, would be 76.02.

19          Q     Okay.

20          A     Then if you could zoom out again.

21          Q     Go up higher?

22          A     Yes.

23          Q     Do we see it there?

24          A     Actually, we need to go to the left just a little  
25 bit.

1 Q All right.

2 A I believe this stain right here would be 76.03.

3 Q Okay. Can you take it down. Thank you, my Ms. Bray.  
4 Again, all three of those stains, were they -- they  
5 all cut?

6 A Yes.

7 Q They were cuttings, all right.

8 You also -- you also, in this report, indicated that  
9 you worked on a towel. It was, I believe, your item 80?

10 A Yes.

11 Q Could you describe the item that you tested and what  
12 sort of tests you did on it and what, if anything, you  
13 collected from it?

14 A It was a blue towel, and I tested the stains for  
15 indications of blood. And then I collected swabs, two swabs  
16 from the non-stained area on the non-tagged side of the blue  
17 towel, and then I collected swabs of the non-stained area on  
18 the tagged side of the towel.

19 Q Did you also note some hair and debris with the item?

20 A Yes. An apparent hair was noted, repackaged and  
21 retained with the item, and debris was noted and retained on  
22 it -- with the item.

23 Q Okay. Now, according to your chain of custody, had  
24 this towel already been to the trace evidence division of the  
25 crime lab prior to your analysis that you just described for

1 the jury?

2 A Yes.

3 Q You also tested another pillow, your item 73.

4 A Yes, I did.

5 Q What tests did you conduct on that, and what, if  
6 anything, did you get from that item?

7 A I noted areas of fluorescence on the pillow. I then  
8 tested the pillow for indications of blood. Apparent hairs  
9 were noted, repackaged and retained with the item, debris was  
10 noted and retained with the item, and I collected three red  
11 brown stains from the item.

12 Q You also tested your item 75, and I believe it was  
13 marked by the submitting agency as a shirt. But what was this  
14 item and what tests did you do on it?

15 A This item was a pillowcase. I noted areas of  
16 fluorescence on the pillowcase. I tested the areas red-brown  
17 areas for indications of blood. I collected three red-brown  
18 stains that also displayed fluorescence, and those were  
19 retained in the laboratory. Debris was noted and retained  
20 on -- with the item.

21 Q Okay. And just so we're clear with numbers, I don't  
22 want to have to go through this, but the defense may want to  
23 go through some of these other items. In this report you also  
24 tested your item 77, and that was a sheet, a bed sheet?

25 A Yes.

1           Q     You-all -- did you also test your item 156, a red  
2 shirt?

3           A     Yes.

4           Q     Did -- what tests, at all, did you do on the red  
5 shirt?

6           A     I noted areas of red-brown staining, however no  
7 serological tests were performed on the shirt due to the  
8 condition of the shirt.

9           Q     And why did you make that decision?

10          A     The shirt, when it was received, there was a lot  
11 of -- part of the shirt was received missing, it appeared that  
12 it had been burned, there was quite a bit of red-brown  
13 staining, and I consulted with my technical leader, Phil  
14 Kinsey, and we decided no serological testing could be done on  
15 this shirt.

16          Q     And you were also given some -- your item 157, some  
17 black and gray gloves?

18          A     Yes.

19          Q     And did you obtain stains from both the right and  
20 left gloves?

21          A     Yes, I swabbed the stains.

22          Q     I want to talk to you now about your report dated  
23 November 26, 2007. I believe in this report you secured  
24 another reference standard.

25          A     Yes.

1 Q And what item number did you assign to it and who was  
2 the reference standard for?

3 A I assigned the number 164.01, James Gammage reference  
4 standard.

5 Q And that would be for later use by your DNA section?

6 A Yes.

7 MR. TWITO: If I may just have a moment, Your Honor.

8 THE COURT: You may.

9 Q (By Mr. Twito) All right. Ms. Bray, I want to talk  
10 to you -- I want to go back a little bit and talk to you about  
11 some of the dates that you actually -- not the dates of your  
12 reports, but the dates that you actually worked some of the  
13 items.

14 A Okay.

15 Q All right. With regard to that item that you -- just  
16 prior to identifying the reference standard, it was your item  
17 number 157, some black and gray gloves. Could you please, if  
18 you need to refresh your memory with your notes, tell the jury  
19 the date and time that you would have worked those gloves?

20 A The left glove was worked on October 4, 2007, at  
21 1:00 p.m.

22 Q Okay.

23 A The right glove was worked on October 5th of 2007.

24 Q Okay. And your item 83, which you previously  
25 testified was the Rick Covington reference standard, when was

1 that item worked and repackaged by you?

2 A Mr. Covington's reference standard was worked on July  
3 18th of 2007.

4 Q Then finally, turning back to your report dated  
5 November 1st, when was your item 76, that green pillowcase and  
6 pillow, worked by you at your work station?

7 A October 7 -- excuse me, October 2nd of 2007.

8 Q Now, Ms. Bray, I want to talk to you a little bit  
9 about the sweater that you worked, one of the first items that  
10 you worked. It was in your first report. It's your item 79.  
11 I believe you testified that you got a swab from the left arm  
12 cuff which was identified by you as 7901, after it was  
13 corrected by you, and then a swab 7902, the right arm cuff.  
14 Was there any -- was there some of the hairs that you retained  
15 and then provided to trace, was one of those hairs later  
16 determined to be one of your hairs?

17 A Yes.

18 Q Is -- we talked about a lot of the precautions that  
19 you take in your department. Do you wear a hair net, or at  
20 the time did you wear a hair net or anything to secure your  
21 hair?

22 A At the time I was under impression that this item had  
23 already been to trace analysis from an outside agency. But,  
24 yes, when I do examine an item, if trace is an issue in the  
25 case, it typically goes to trace first. When I examine it,

1 I'll pull back my hair and, if need be, I will wear a hair  
2 net. I'll document if apparent hairs are there and then I'll  
3 go ahead and repackage them.

4 Q In your opinion, did that hair being found somewhere  
5 on that sweater at some point by trace that was later  
6 identified by the lab as your hair, would that have affected  
7 any of your serological testing of even that item or any of  
8 the other items that you took and secured?

9 A I just noted it and collected it and sent it on.

10 Q To your knowledge, it didn't affect the integrity of  
11 any of the further testing processes within the DNA serology  
12 department?

13 A Not to my knowledge.

14 Q Okay. Thank you, Ms. Bray.

15 THE COURT: Cross-exam?

16 CROSS-EXAMINATION

17 BY MS. HOOD:

18 Q Good morning, Ms. Bray.

19 A Good morning.

20 Q Let's talk a little bit about this trace evidence.

21 Throughout your report and your testimony, you've talked about  
22 looking at items and that you recover trace evidence from  
23 them, correct?

24 A Yes.

25 Q A number of these items had already been to the trace

1 area of the lab, correct?

2 A Yes.

3 Q So what you're recovering are things that you  
4 visually noted?

5 A Yes.

6 Q But had not been noted in trace?

7 A Not to my knowledge.

8 Q Okay. And the -- despite all of the precautions that  
9 you took, you left some trace evidence?

10 A Yes, I did.

11 Q Okay. And that's easy. I mean, it's trace evidence,  
12 right?

13 A Yes.

14 Q Easy to scatter around?

15 A I -- at the time I didn't know if I had shed a hair  
16 or not. I wanted to be sure that I collected, though.

17 Q Sure. You wouldn't know what kind of trace evidence  
18 you left behind, would you?

19 A No, I'm not a trace analyst.

20 Q Sure. In terms of the fluorescing of these items,  
21 you did that on a number of items, didn't you?

22 A Yes, I did.

23 Q When you look at those items, you can't determine  
24 visually by the fluorescing of stains what stain they are?

25 A Do you mean the biological?

1 Q Right.

2 A No, I cannot.

3 Q You just know there's a stain there?

4 A Something is causing something to fluoresce.

5 Q Right. The items that you specifically looked at --  
6 well, let me ask you one other thing about these stains. You  
7 have no idea when they got on the item that you're looking at,  
8 do you?

9 A No, I do not.

10 Q You have no way of timing them?

11 A No.

12 Q The items that you looked at, if I understand you  
13 correctly, you know, sometimes I get -- you have them all  
14 numbered, which is easy to follow, but the number that was  
15 73.01 and from thereon was a pillowcase, correct, or a pillow  
16 with a pillowcase?

17 A Let me turn to the page real quick. Yes, 73 was a  
18 green pillow -- or was labeled as green pillow.

19 Q Do you have an indication from where that was  
20 recovered?

21 A Said on the evidence tag from the submitting agency,  
22 "West side of female on floor."

23 Q And 74 was a shirt, correct?

24 A (No response.)

25 Q The pink shirt?

1 A Yes, it was.

2 Q And from where was that recovered according to your  
3 information?

4 A "Laying by male vic. head on bed."

5 Q 76 was the pillow that you showed the jury, correct?

6 A That's correct.

7 Q And from where was that recovered?

8 A On the evidence tag on the bed it was marked green  
9 pillow on bed.

10 Q And 77 was the sheet that we presume came from the  
11 bed, right? Or I guess we probably know it came from the bed.

12 A And it was labeled, "One sheet under male vic. on  
13 bed."

14 Q 79, this -- I can't think of the word. It was a top,  
15 right, because you looked at the cuffs of it?

16 A It was a sweater.

17 Q A sweater. And that had come from Patti Hubbert,  
18 correct?

19 A Yes.

20 Q And the sweat pants that you looked at were from Norm  
21 Leighton, correct?

22 A Which item number are you looking at?

23 Q Oh, I'm sorry, 82.

24 A Yes.

25 Q And you talked about looking at a pair of gloves.

1 There was an additional glove that was your item 149, correct?

2 A Yes, that's correct.

3 Q From where?

4 A It just said there was -- it was unmarked. It had  
5 markings by the crime lab on the --

6 Q No indication of from where?

7 A Not as far as what I documented, no.

8 Q Okay. Thank you.

9 When you're looking at an item, any one of these  
10 items, you're making your best judgment as to which stains to  
11 test, correct?

12 A Yes.

13 Q So you talked about the green pillow and showed it to  
14 the jury. There was, I don't know what, four or five stains  
15 that you didn't test?

16 A For --

17 Q Or somewhere in that range.

18 A Could you rephrase that?

19 Q When you are examining, for example, the green pillow  
20 that you showed it to the jury through the pictures, and there  
21 were a number of stains that you did not test, correct?

22 A There's -- the stains I tested for indications of  
23 blood, and I looked at it under the alternate light source,  
24 but I only collected three stains to send on to DNA.

25 Q So there were stains that were not sent on?

1           A     That's correct.

2           Q     And all of the tests that you do are only presumptive  
3 for blood or -- right?

4           A     We do presumptive tests for blood and/or semen.

5           Q     All right. And not for presumptive for sweat or  
6 other bodily fluids, right?

7           A     No.

8           Q     Thank you.

9           MS. HOOD: I have no further questions.

10          MR. TWITO: Just briefly, Your Honor.

11                                   REDIRECT EXAMINATION

12          BY MR. TWITO:

13           Q     Ms. Bray, with regard to your item 76, the green  
14 pillowcase and pillow, when you put the alternate light source  
15 on those, all the stains that you looked at, and you looked at  
16 all those stains that you could determine, correct?

17           A     Yes.

18           Q     Did any of the other stains on that pillow fluoresce  
19 like stain 7601?

20           A     No.

21           Q     Did any of the other stains fluoresce?

22           A     Yes.

23          MR. TWITO: Thank you, Your Honor. I have nothing  
24 further.

25          MS. HOOD: May I ask one more question?

1 THE COURT: You may.

2 RECROSS-EXAMINATION

3 BY MS. HOOD:

4 Q They fluoresced; they just didn't fluoresce exactly  
5 the same?

6 A That's correct.

7 Q So you don't know what it was?

8 A No, I do not.

9 Q And you don't know what the ones that you didn't test  
10 are, at least at this point in time?

11 A That's correct.

12 THE COURT: Thank you, ma'am. You can step down.  
13 Witness can be excused.

14 MR. TWITO: Thank you, Your Honor.

15 THE COURT: We're close to the time that we're going  
16 to be stopping for the morning recess. Is this a good time to  
17 do that?

18 MR. TWITO: Judge, for the State it would be. The  
19 next witness is Phil Kinsey, the DNA laboratory analyst that  
20 Ms. Bray referred to, and probably be a good time to recess  
21 now.

22 THE COURT: Ladies and gentlemen, I will admonish you  
23 in the usual fashion: It is your duty not to converse among  
24 yourselves or with anyone else on any subject connected with  
25 this trial or to form or express an opinion thereon, until

1 this case is finally submitted to you.

2 Court's in recess for about 20 minutes. Court's in  
3 recess.

4 (Whereupon, the jury exited the courtroom at  
5 9:47 a.m., and a recess was had until 10:07 a.m.)

6 THE COURT: Okay. Looks like everybody is present.  
7 This is the continuation in State versus Covington.  
8 Mr. Covington is present with counsel, as are all other  
9 relevant personnel except Mr. Souza.

10 MR. TWITO: I believe he is in the restroom.

11 THE COURT: We'll pause briefly to allow him to  
12 return.

13 MR. TWITO: I wouldn't have any objection to holding  
14 him in contempt, that's fine with me.

15 (Laughter.)

16 THE COURT: You-all may sit down.

17 MS. HOOD: But we sit all day.

18 THE COURT: Or you can stand up, that's fine with me.

19 I should put on the record, since I've been so hard  
20 with the *Gazette*, that they actually can count, they just  
21 didn't count the week that we took selecting a jury as being  
22 part of the trial.

23 MR. TWITO: That's the hardest part.

24 (Laughter.)

25 MS. HOOD: And there was one day of testimony.

1 MR. TWITO: That's true.

2 He's here, Judge.

3 THE COURT: Okay. And as I remarked, now everybody  
4 is present and -- except for the jury, and you may bring in  
5 the jury.

6 (Whereupon the jury entered the courtroom at  
7 10:09 a.m.)

8 THE COURT: Please be seated. Record may reflect the  
9 jury is all present. Okay. You may call your next witness.

10 MR. TWITO: Thank you, Judge Baugh. State would call  
11 Phil Kinsey to the stand.

12 **PHILIP THOMAS KINSEY,**

13 called as a witness on behalf of the State, having been first  
14 duly sworn, testified as follows:

15 DIRECT EXAMINATION

16 BY MR. TWITO:

17 Q Good morning.

18 A Good morning.

19 Q Could you please state your full name and spell your  
20 last name for the record.

21 A Philip Thomas Kinsey. Philip is with one L, Kinsey  
22 is spelled K-i-n-s-e-y.

23 Q Mr. Kinsey, what is your current profession?

24 A I'm a forensic scientist in the DNA section of the  
25 Montana State Crime Lab.

1 Q And how long have you been a forensic scientist in  
2 the DNA section with the Montana State Crime Lab?

3 A For about four and a half years now.

4 Q Do you have a particular title within that  
5 department?

6 A Yes. I'm the supervisor of the forensic biology  
7 section and the DNA technical leader of that section.

8 Q Do you also supervise the serologists that work under  
9 that section?

10 A I do.

11 Q Approximately, how many people do you supervise in  
12 your position?

13 A Six.

14 Q Do you have any other laboratory experience prior to  
15 coming to the Montana State Crime Lab serology DNA section?

16 A Yes. I worked at the Oregon State Police Crime Lab  
17 for eight years before moving to the Montana lab.

18 Q And did you work there as a forensic scientist  
19 specializing in DNA?

20 A Yes, yes, I did.

21 Q Let's go through your educational background as to  
22 how you became a forensic scientist specializing in DNA.  
23 Could you detail your education.

24 A Yes. I received my bachelors of science and doctoral  
25 degrees in biology from the University of California at

1 Irvine. I spent four or five years as a post-doctoral  
2 research fellow at the University of Oregon in Eugene, and  
3 from there was hired on at the Oregon State Police Crime Lab.

4 Q Did you receive -- in addition to your education, did  
5 you receive any specialized training?

6 A Yes. We as DNA analysts are required to receive  
7 continuing education. So before I was able -- I was qualified  
8 to actually perform DNA testing in Oregon, I had to go through  
9 about a six-month training program that highlighted all the  
10 aspects of the testing that I'd be performing. And then since  
11 then gone to specialty training classes on various  
12 instrumentations that we have in the laboratory and new  
13 techniques that are coming, available in the industry or the  
14 forensic community.

15 Q And forensic community is ever changing, is it not?

16 A Yes.

17 Q And you keep current to the best of your ability with  
18 those changes?

19 A Yes.

20 Q Mr. Kinsey, have you ever testified as an expert  
21 witness in a court of law as a forensic specialist  
22 specializing in DNA analysis?

23 A Yes, I have.

24 Q And have you been recognized as an expert by those  
25 courts at those times?

1           A     Yes.

2           Q     Approximately how many times in the state of Montana  
3 has that been done?

4           A     14 times.

5           MR. TWITO: Your Honor, at this time I move to  
6 qualify Mr. Kinsey as an expert in forensic science  
7 specializing in DNA analysis.

8           MS. HOOD: No objection.

9           THE COURT: He is so qualified and may so testify.

10          Q     (By Mr. Twito) We've heard a lot about these three  
11 little letters. What is DNA?

12          A     DNA is the genetic material that's contained in the  
13 cells of our body. We have 23 pairs of chromosomes, we get  
14 one set of each of those chromosomes from mom and the other  
15 pair from our dad's.

16          Q     Everyone's got it?

17          A     Yes.

18          Q     Is -- where is it found within the human body?

19          A     In just about every cell. The only one that I can  
20 think of where it's not found are red blood cells which are  
21 specialized just for transporting oxygen in the blood. Where  
22 we get DNA from blood itself is from the white blood cells, or  
23 the immune system cells that are present there.

24          Q     Is it found in the nucleus of those cells?

25          A     Yes, the nucleus is a -- sort of a little

1 sub-compartment of a cell that is primarily there to hold the  
2 DNA.

3 Q And would that be considered nuclear DNA then?

4 A That's the DNA -- yes, nuclear DNA.

5 Q Is there such a thing as mitochondrial DNA?

6 A Yes. Mitochondrial DNA comes from little subcellular  
7 organelles known as mitochondria. They have a different DNA  
8 is basically made up of the same type of molecule, but it's  
9 organized a little bit differently. And the mitochondria, the  
10 DNA's found instead of in long, long strands, it's in a small  
11 circular pattern. And there are large numbers of copies of  
12 mitochondrial DNA per cell, where there's only the two copies  
13 of DNA of nuclear DNA in each cell.

14 Q You talked about DNA, it's what makes us, it comes  
15 from -- 23 chromosomes of mom and 23 chromosomes of dad?

16 A Yes.

17 Q Let's talk about some other terms that you use in the  
18 specialty of DNA. What is a locus?

19 A A locus, you can think of it is as a location or just  
20 a specific region on a piece of DNA.

21 Q What is an allele?

22 A An allele is a different form of a gene. So along  
23 the chromosome, or this very long piece of DNA, there might be  
24 a thousand different genes. And some of those genes will be  
25 exactly the same from person to person and some will be

1 slightly different from person to person. Where you get  
2 differences, you would say, if there was a difference  
3 between -- in the same gene from one person to another, you  
4 would say those were different alleles of the same gene,  
5 different variations.

6 Q Okay. When we would have two alleles as you've  
7 described them as a particular location, a locus, what would  
8 that be called?

9 A That would be part of a genotype, or the beginning of  
10 a DNA profile, which could consist of -- it just depends on  
11 how much information you are able to get out of the sample.  
12 It could be from one location or it could be from many  
13 locations.

14 Q Okay. And one of the terms that I've come across is  
15 a DNA marker, and that term is used in your field, is it not?

16 A Yes.

17 Q What is a DNA marker?

18 A What we're referring to there is the locus, or the  
19 location, the -- just a region on the DNA.

20 Q In terms of this, you can use them almost  
21 interchangeably?

22 A Yes.

23 Q All right. I'm going to ask some questions about the  
24 lab that you work in. Does your lab, your DNA section at the  
25 Montana State Crime Lab, have established methods for

1 analyzing DNA evidence?

2 A Yes, we do.

3 Q And are those methods accepted within the scientific  
4 community in your profession?

5 A Yes, they are.

6 Q Are there any times that you ever deviate from your  
7 methods or from your protocols?

8 A There may be times when it's -- it would be necessary  
9 to deviate from them. The protocols are primarily written for  
10 the types of samples that we get 90 percent of the time. Some  
11 samples might require a little bit extra reagent to be added  
12 to the tube to help the process along, but those times when we  
13 do deviate are really pretty rare.

14 Q Are your methods that you utilize in your lab  
15 reviewed by outside agencies or outside people that specialize  
16 in DNA?

17 A Yes, there are a couple of different ways. The DNA  
18 section itself, to be able to participate in a national DNA  
19 database, to be able to receive federal funding, we have to  
20 follow DNA quality assurance standards that are put forth by  
21 the FBI lab director. It's an audit, or, let's see, it's a  
22 multiple-page document that just details out standards that  
23 should be followed to run a well-organized DNA laboratory.  
24 Our laboratory is audited to those standards on an annual  
25 basis. We can do it ourselves one year, but then it has to be

1 performed by somebody from outside our laboratory every other  
2 year. The results of those audits go back to the FBI director  
3 for his approval, that allows us to continue receiving federal  
4 funds and to participate in the national database.

5 In addition to that, our laboratory as a whole is  
6 accredited by the American Society of Crime Lab Directors.  
7 It's commonly referred to as ASCLD. They will review not only  
8 our protocols and procedures, but also those procedures for  
9 the entire laboratory and the other sections in our  
10 laboratory. It's more of an overall accreditation process.  
11 Our lab is audited every five years under that program by an  
12 outside agency, and then every year we'll still review our  
13 procedures and protocols to make sure that they are up to date  
14 and that we're following those procedures.

15 Q Mr. Kinsey, why is all this important for your -- in  
16 your procedure profession?

17 A Well -- I, that's a good question. It's -- so people  
18 can have -- can rely on the results from our laboratory.  
19 There are a set of standards that show that we have policies  
20 and procedures in place that, if followed, we should -- we can  
21 say that we are running a well-run lab, and we're following  
22 procedures that have been reviewed by people outside of our  
23 laboratory so you can have an additional level of, I guess,  
24 trust in the results.

25 Q And I think you might have mentioned this, but you as

1 a supervisor, you as in your lab, conduct internal audits  
2 yourselves within your own lab?

3 A Yes.

4 Q All right. Let's talk a little bit about the DNA  
5 examination process so the jury has sort of an understanding  
6 of what you do.

7 A Okay.

8 Q How do we -- we heard from Jamie Bray, a serologist  
9 that works for you at the lab. How do you get from an object  
10 that may or may not have DNA, such as a stain as Ms. Bray  
11 talked about, or a swab, to a result that may have some  
12 evidentiary value?

13 A It's a multiple-step process. And I'll just list the  
14 steps first and then we'll kind of go through a little bit of  
15 the background for them. The first step is to try and extract  
16 DNA from the cellular material that's found on the evidence.  
17 The next step would be to try and determine how much DNA we  
18 were able to extract from that evidence, because that plays a  
19 role in how we set up our subsequent experiment. After we  
20 determine how much DNA is present in our extracts, we will  
21 then amplify the DNA at a small number of markers, DNA markers  
22 or DNA loci. In our laboratory, we use 16 of those.

23 Once we amplify the DNA so we're able to detect the  
24 information at those different markers or regions, we will  
25 then run those amplified samples over an instrument, it's

1 called a capillary electrophoresis instrument. And what it  
2 does is separate out DNA fragments of different sizes, and so  
3 it sort of develops for us a DNA pattern that then we're able  
4 to look at and compare from one piece of evidence to another  
5 or from one piece of evidence to the DNA profile we receive  
6 from a reference sample from somebody who is involved in the  
7 case.

8 Q Let's talk about that and we'll go back through the  
9 process a little bit.

10 A Okay.

11 Q I think I have some items here that will assist in  
12 that. But you said you needed a reference standard to have  
13 something to compare what results that you may get to a known  
14 standard of reference; is that correct?

15 A Yes.

16 Q There is not much evidentiary value if you don't have  
17 a known to compare what you may get from that item to the  
18 known standard; is that fair to say?

19 A Yes. The only place where there might be a little  
20 bit of amendment to that statement would be if there is no  
21 known reference sample, we can put a DNA profile that we think  
22 might come from a suspect into the DNA database, but then the  
23 DNA data basis really sort of serves as a reference standard.  
24 We're comparing profiles from evidence to some known sample.

25 Q And we'll talk about that database in just a few

1 moments here.

2 Mr. Kinsey, I'm going to hand you what has been  
3 marked for identification purposes as State's Proposed  
4 Exhibits 355 through 358. It is a series of four photographs.  
5 Do you recognize what's represented in those photographs?

6 A I do.

7 Q What are those photographs of?

8 A State's Exhibit 355 is a picture of the DNA  
9 extraction room in our laboratory.

10 Q Okay. State's 356.

11 A That's a picture of the DNA amplification setup room  
12 in our laboratory.

13 Q State' Proposed Exhibit 357.

14 A We refer to that room as the post PCR room, or post  
15 amplification room, I'm sorry.

16 Q And then the State's 358.

17 A This is a picture of the capillary electrophoresis  
18 instrument I mentioned a minute ago.

19 Q And do those photographs fairly and accurately depict  
20 those sections of your DNA lab at the Montana State Crime Lab?

21 A They do.

22 MR. TWITO: Your Honor, I'd move for the admission of  
23 State's Proposed Exhibits 355 through 358.

24 MS. HOOD: No objection.

25 THE COURT: They are received, and they may be

1 published.

2 MR. TWITO: Thank you, Your Honor.

3 (Whereupon, State's Exhibit Nos. 355, 356, 357, and  
4 358 were received in evidence.)

5 Q (By Mr. Twito) Mr. Kinsey, it should appear there on  
6 your screen at the witness stand.

7 A Um-hum.

8 Q Now, tell the jury what they can now see in this  
9 photograph?

10 A So this is our DNA extraction room from our  
11 laboratory. Pictured on the left are two laminar flow hoods  
12 where the majority of the DNA extraction procedures are  
13 carried out. There's a picture of what we call a dead air  
14 space hood directly on the wall facing us (indicating), yes.

15 Q Mr. Kinsey, you have a touch screen at your disposal  
16 if you wish to use, or there's also a laser pointer if you're  
17 more comfortable with that.

18 A How do I select --

19 Q Just touch the screen.

20 A I'll just use the laser point.

21 Q Okay.

22 A Okay. So these are the laminar flow hoods on the  
23 left, and then the dead air space hood there. There are  
24 pictured, it's kind of hard to see, off to the right on that  
25 benchtop are incubators. So these are little, they're called

1 thermo mixers, so they keep a temperature and then they shake  
2 at the same time. So when we put a little sample of DNA to be  
3 extracted, one of the steps in that procedure is to incubate  
4 for a couple hours at a slightly elevated temperature. And  
5 that's a better picture of them there.

6 Q And just let me know if you want to zoom in on  
7 anything.

8 A Okay.

9 Q State's Exhibit 356. What do we see in this  
10 photograph?

11

12 A This is the room in which we set up our DNA  
13 amplification reactions. There's a refrigerator that holds  
14 the testing reagents, there are two dead air space hoods off  
15 to the left there. That's the only thing setting up these  
16 amplification reactions are the only things that occur in this  
17 room.

18 Q So this room is separate from any other room in the  
19 lab?

20 A Yes.

21 Q And the same with the extraction room that we just  
22 observed in State's 355?

23 A Yes.

24 Q Why is it important to keep those rooms separate?

25 A Well, in the DNA section, the tests that we use are

1 extremely sensitive, and we're acutely aware of the potential  
2 and the potential ramifications of a contamination event in  
3 the laboratory. The design of laboratories are set up, at  
4 least for the design of the way the evidence flows through the  
5 laboratory, to be in one direction. We don't want to take DNA  
6 from -- the next room we'll be going to is the amplification  
7 room where billions of copies of DNA are amplified and present  
8 in that room. We don't want to take any possible DNA from  
9 that room out to the rest of the laboratory where we might  
10 contaminate evidence. So this is just one of the mechanisms  
11 that we use to make sure -- or to minimize the possibility of  
12 a contamination event.

13 Q And State's 357?

14 A And so this is the amplification room/PCR room.  
15 What's shown, and we'll see in the next picture is the  
16 capillary electrophoresis instrument there. There is a couple  
17 of computers on the benchtop. Once we set up the  
18 amplification reactions in that room we just looked at, those  
19 samples go directly to one of these four instruments known as  
20 thermocyclers. And I can -- I'll probably be explaining this  
21 reaction a little bit in more detail later. After about three  
22 or four hours in these instruments, we've made billions of  
23 copies of the different regions of DNA that we are looking at  
24 in the laboratory. And if you could zoom -- pan back out  
25 then. Once that has occurred, then these samples will be

1 prepared to run over this instrument.

2 Q From this process that you just described --  
3 actually, I guess, State's 358, just so Mr. Kinsey can  
4 identify it, is this just a close-up of that instrument that  
5 you just described?

6 A Yes.

7 Q All right. From this process, you are sometimes able  
8 to obtain what's considered a DNA profile --

9 A Correct.

10 Q -- is that right?

11 A Correct.

12 Q Tell the jury what a DNA -- how you would define a  
13 DNA profile.

14 A So the DNA profile is going to be some information  
15 about different regions of DNA that will enable us to  
16 distinguish DNA from one person from the DNA from -- as  
17 compared to DNA from another person. In the forensic  
18 laboratory, I mentioned we look at 16 different DNA markers.  
19 I also told you a little bit earlier we receive half our DNA  
20 from mom and half our DNA from dad. What we're looking at are  
21 essentially we're measuring the length of these little DNA at  
22 these DNA regions between two set points in determining how  
23 large those fragments are, just in how many DNA units large  
24 they are.

25 So the DNA profile information that we get will have,

1 will list, okay, there is a certain notation for every genetic  
2 marker. At marker number 1, we might have measurements of 15  
3 and an 18, where we would have gotten the 15 possibly from mom  
4 and the 18 information from the dad. We might also get two  
5 copies of the same sized piece of DNA from mom and dad, so we  
6 would only see one signal. At DNA marker 2, the information  
7 might say just two copies of 11.

8 Q Okay.

9 A And as you go on from locus to locus, all of that  
10 information combined will generate a DNA profile.

11 Q And we mentioned a little bit earlier about reference  
12 standards. In your profession, can you get reference  
13 standards from a person's known blood sample?

14 A Yes.

15 Q And could you also get that from a person's known  
16 buccal swab?

17 A Yes.

18 Q Those are common areas where you would get a person's  
19 known standard?

20 A Yes.

21 Q In this case, Mr. Kinsey, did you have reference  
22 standards for Norm Leighton and Patti Hubbert?

23 A Yes, we did.

24 Q And those were standards that you obtained from your  
25 serology department and that were collected from Jamie Bray?

1           A     Yes.

2           Q     And from those known reference standards, did you  
3 develop profiles for each, Norm Leighton and Patti Hubbert?

4           A     DNA profiles were developed. I didn't actually do  
5 the DNA bench work in this case. That was done by a DNA  
6 technician, Lori Hutchinson, at our laboratory.

7           Q     But at your lab?

8           A     But at my lab. And then I reviewed her results and  
9 then made the interpretations myself.

10          Q     And Lori is no longer with the Montana State Crime  
11 Lab?

12          A     That's correct.

13          Q     Now, in this particular case, were you aware that  
14 other laboratories that specialized in DNA testing had  
15 processed certain items of evidence?

16          A     Yes.

17          Q     Are you familiar with the lab's DNA Securities and  
18 the lab DNA Labs International?

19          A     I am.

20          Q     And those labs, like yours, would have to have  
21 reference standards or knowns before they could make any  
22 comparative analyses to their evidentiary samples that they  
23 may have?

24          A     That's correct.

25          Q     Mr. Kinsey, I'm going to hand you what I have not

1 marked, but one is a report from DNA Securities and another is  
2 a report from DNA Labs International, and I just want you to  
3 look at those. I am not going to offer them or seek to have  
4 them admitted. Do you recognize those reports from those two  
5 labs?

6 A Yes, I do.

7 Q Okay. And are there -- do there appear to be  
8 profiles from known standards on -- for Norm Leighton and  
9 Patti Hubbert on DNA Security's paperwork that you have before  
10 you?

11 A Yes.

12 Q Okay. And do you have the profile that you developed  
13 in your lab for Norm Leighton and Patti Hubbert handy up there  
14 on the stand?

15 A Yes, I do.

16 Q I want you to take a moment, can you compare the  
17 profile from the knowns that's for Patti Hubbert and Norm  
18 Leighton in DNA Security's report that you have before you and  
19 compare it to the profile that you developed?

20 A Yes, I can.

21 Q Please do so.

22 And do you necessarily have in those profiles, does  
23 each lab have their DNA markers or their loci at the same  
24 location on the tables?

25 A No. Every lab sort of organizes their data tables a

1 little bit differently, so it'll take me a second to hunt and  
2 peck and make my comparisons.

3 Q But does the report of DNA Security appear to have  
4 the same markers that you utilize in your lab, though they're  
5 out of order?

6 A Yes.

7 Q Okay. Go ahead and compare.

8 A I should say there are, in 2006, 2007, when the case  
9 work was done in our laboratory, we used a slightly -- a  
10 different amplification kit, so there are, of the 16 markers  
11 that we're looking at here, there are two that are different  
12 between these two kits.

13 Q Okay.

14 A Our laboratory now uses the same kits as these other  
15 two labs, but there will just be two that are different so  
16 bear with me one second.

17 Q You bet.

18 A So the DNA profiles that we developed in our  
19 laboratory match those developed from the DNA Security lab  
20 report for Patti Hubbert and Norm Leighton.

21 Q Okay.

22 A And now I'll look at -- from DNA Labs International,  
23 what profiles did you want me to compare.

24 Q The knowns that appear on that report for Norm  
25 Leighton and Patti Hubbert?

1           A     Oh, both for Norman and Patti.

2           Q     Yes.

3           A     Since I have compared the results in the DNA Labs  
4 International and the DNA Security reports are organized  
5 similarly, so I'll just compare those results.

6           Q     Okay.

7           A     And then I'll be comfortable with that if you are.  
8 And those are the same as well.

9           Q     Okay. So I guess here's my question. While you  
10 don't know what those two labs used as their reference  
11 standards for either Norm Leighton or Patti Hubbert, it  
12 appears that the reference standards they used, they were able  
13 to develop the exact same profile as you did from your known  
14 standards of Norm and Patti?

15          A     That's correct.

16          Q     Thank you, Mr. Kinsey. I'll take those from you.

17                 Let's go back to your analysis. Did you also have a  
18 reference standard in a profile developed for Gerald Morris?

19          A     Yes, we did.

20          Q     Okay. Did you also have a reference standard and a  
21 profile developed for Jim Gammage, James Gammage?

22          A     Yes, we did -- can I refer to my report to refresh  
23 my --

24          Q     Certainly.

25          A     -- memory?

1           Yes, okay. Yes.

2           Q     All right. Did you also receive and develop --  
3 receive a reference standard and develop a profile for Alvin  
4 Zanders?

5           A     Yes.

6           Q     Did you also have a reference standard and develop a  
7 profile for Richard Covington?

8           A     Yes.

9           Q     Did you also utilize a profile developed by DNA  
10 Security for John Harris?

11          A     Yes.

12          Q     And the same for Paul Case?

13          A     Yes.

14          Q     Did you also utilize a profile developed by DNA  
15 Security for Marsha Whitewolf and also one for Doris  
16 Covington?

17          A     Yes.

18          Q     All right. Mr. Kinsey, I want to get case specific  
19 now, okay?

20          A     Okay.

21          Q     In this case you were asked to test a large number of  
22 items that were processed by your serology department, were  
23 you not?

24          A     Yes.

25          Q     Did you prepare a report on February 15, 2008, that

1 indicated most of your findings?

2 A Yes.

3 Q And so I want to refer to that report during this  
4 part of the examination.

5 A Okay.

6 Q I would like to start with what has been identified  
7 by Ms. Bray as your item 76.01, which was a stain removed from  
8 the non-burned side of your item 76.

9 A Okay.

10 Q Do you remember testing that item?

11 A Yes.

12 MR. TWITO: And for the record, Your Honor, it came  
13 from State's Exhibit 181.

14 Q (By Mr. Twito) what were you able to develop from  
15 your testing from this particular stain?

16 A The DNA profile developed from this stain was a  
17 mixture of at least three people. Do you want me to continue  
18 on?

19 Q What's a mixture?

20 A Well, a mixture is going to be any time the DNA from  
21 more than one person is found in the same location. So in  
22 this case, it looked like the DNA from three different people  
23 were found from this one particular stained region.

24 Q Now, that mixture, is that really a mixture profile?

25 A Yes, a mixed DNA profile. So there will be, we

1 talked briefly about alleles, different versions of the same  
2 region in a gene or a genetic location. In order for me to  
3 make the statement that I think there's at least three people  
4 could be contributors to that mixed DNA profile, I would need  
5 to see indications of five different alleles and at least one  
6 of those markers.

7 Q And from that mixture profile that you developed off  
8 of the stain, did you compare that to any of your profiles  
9 from your known reference standards?

10 A Yes, I did. And we compared those -- we compared the  
11 following -- that profile to the following reference standards  
12 for Patti Hubbert, Richard Covington, Norman Leighton, James  
13 Gammage and Gerald Morris.

14 Q And what were your results?

15 A "Patti Hubbert," and I'll just read from the report,  
16 "and Richard Covington cannot be excluded as possible  
17 contributors to this mixed DNA profile. Norman Leighton's  
18 possible contribution to this mixture is inconclusive. Gerald  
19 Morris and James Gammage are excluded as possible contributors  
20 to that mixed DNA profile."

21 Q You said some words I think we probably need some  
22 explanation.

23 A Okay.

24 Q Before I do, I want to present you with something.  
25 Mr. Kinsey, I'm handing you what's been marked for

1 identification as State's Proposed Exhibit 359. Do you  
2 recognize what's represented in that exhibit?

3 A I do.

4 Q And what is in that proposed exhibit?

5 A This is a table of DNA profiles from item 76.01, and  
6 then from Patti Hubbert, Norman Leighton, and Richard  
7 Covington.

8 Q And does it show those DNA markers or those loci that  
9 you have discussed previously?

10 A Yes.

11 Q Does it appear to be an accurate table that you would  
12 create or keep in your line of work?

13 A Yes.

14 MR. TWITO: Your Honor, I'd move for the admission of  
15 Exhibit 359.

16 MS. HOOD: No objection.

17 THE COURT: Received. May be published.

18 (Whereupon, State's Exhibit No. 359 was received in  
19 evidence.)

20 Q (By Mr. Twito) All right. Okay. I'd like to take  
21 some time with this, maybe now that we have a picture, and  
22 sort of explain some of the things that we have talked about.  
23 What do we have in the far left column here? Could you please  
24 tell the jury what that is.

25 A That is a list of the genetic loci or locations that

1 we -- that are tested in the forensic DNA laboratory. The  
2 names denote which -- the location. So primarily we go by  
3 which chromosome they're found on, so most of them are listed  
4 as D followed by a number and then S. So that first locus is  
5 D3S, 1358, that's a region on the third chromosome.

6 Q What's the loci right below that, TH01?

7 A That designates an actual region of the DNA work.  
8 It's adjacent to the TH01 gene. I can't remember exactly what  
9 protein is generated by that gene, but it's just a genetic  
10 marker that was previously identified in medical genetics.

11 Q Okay. Just going down, I see one that doesn't -- I  
12 see one, as I look farther down the column, it doesn't have  
13 any numbers, it's five from the bottom.

14 MR. TWITO: Can you highlight that, Kimberly.

15 Q (By Mr. Twito) What do we see here?

16 A That's the amelogenin marker. Amelogenin is the gene  
17 for tooth enamel, and it's found on the sex chromosomes, and  
18 we can determine whether the DNA donor -- or the DNA that  
19 we're testing comes from a male or a female. So if it comes  
20 from a female, there will be what's represented as a single X,  
21 but essentially two copies of the X chromosome. If you're a  
22 male or there's male DNA present, we'll have detectible X and  
23 Y chromosomes.

24 Q Okay. When we talked about stain 76.01, you said it  
25 was a mixture. Can you tell the jury where you see the

1 mixture on this chart?

2 A Yes. And I'll start by showing you what's -- what  
3 aren't mixtures.

4 Q Okay.

5 A What aren't mixtures are the profiles developed from  
6 the three reference standards that we have, Patti Hubbert,  
7 Norman Leighton, Richard Covington. We see either two  
8 different numbers present or one number present, which is  
9 in -- the way our laboratory reports it is two copies of that  
10 one allele.

11 Q So that could be, pointing to the column --

12 A The 11 --

13 Q -- it could be 11 11 --

14 A Yes, could be 11.

15 Q -- but you just you report it --

16 A As 11.

17 Q You would report that as 11 if the pair really was  
18 the same number?

19 A Yes.

20 Q Gotcha. Please continue.

21 A And so what you see is either -- and the reason we  
22 report it as a single number is that's the way the data looks  
23 off of that capillary electrophoresis instrument. It looks  
24 like a single DNA signal, and so that's how we write it in our  
25 reports. So from a single person, a single source DNA sample,

1 we'll see either one or two of these DNA alleles at each  
2 genetic locus.

3           Now, what we mean when we talk about a mixture is  
4 there is an indication that there are many more alleles  
5 present at various genetic markers. I mentioned a little bit  
6 earlier that enabled -- in order for me to say that the DNA  
7 profile looks like it's a mixture of three people, I need to  
8 see five different alleles at least one of those loci. And we  
9 see here at the VWA marker indications of five different  
10 alleles present.

11         Q     Was that the only marker that you had five alleles  
12 present?

13         A     Yes.

14         Q     All right. Okay.

15           MR. TWITO: Kimberly, I just want to break this down.  
16 Would you go up to Patti Hubbert at the first location there,  
17 just that box there.

18         Q     (By Mr. Twito) So that is Patti Hubbert's allele  
19 pair or genotype for that location on the DNA?

20         A     Correct.

21         Q     Okay. All right. So let's talk about some of these  
22 things that you said. You said from this, the conclusion is  
23 that Patti Hubbert and Richard Covington cannot be excluded as  
24 possible contributors to this profile. What do you mean by  
25 that?

1           A       That's going to be one of three possible conclusions  
2 that we'll draw from a mixed DNA sample such as this. We  
3 can -- if the DNA information from one of the reference  
4 samples is not present in the evidentiary profile, and we'll  
5 explain later, it needs to occur a few more times than just  
6 once, but if that information is missing, then we can say that  
7 person is excluded as a possible contributor to that DNA  
8 profile. For instance, we said that Gerald Morris and James  
9 Gammage are excluded as possible contributors to that possible  
10 profile. We can say that a DNA profile cannot be excluded as  
11 a possible contributor.

12                   And so, for instance, if we look at Patty Hubbert's  
13 genotype at the D3 locus right there, 16 and 18, we see an  
14 indication of a 16 and an 18 present in the evidentiary  
15 standard. So that would say -- or we would say Patti, at that  
16 region, cannot be excluded as a possible contributor. And  
17 when I say can't be excluded as a possible contributor, I'm  
18 not saying for sure that she is there, I'm just saying that  
19 she could be there.

20                   The third possible conclusion is maybe not a  
21 conclusion, we would call it inconclusive. I can't tell you  
22 that a person is not there and I can't tell you that a person  
23 could be there. And so in this particular example, that was  
24 the conclusion made for Norman Leighton's profile.

25                   There was some -- we see some indication, for

1 instance, at the D3 locus where there's a 15 and a 16 present.  
2 When -- could I ask that when we blow up that we blow up all  
3 the way across the table for a particular locus?

4 Q You bet.

5 A Thank you. Great.

6 So at this particular locus, there is -- Norman has a  
7 15 and a 16, and from the evidence there is a 15 and a 16  
8 there as well. So at that one marker, at that point Norman  
9 can't be excluded as a possible contributor.

10 Now, if we could zoom back out to the whole table,  
11 and I'll just kind of scroll down quickly and we'll talk about  
12 Norman. He could be present at the TH01 marker. However, at  
13 the D21 marker, Norman has a 30 and a 31.2, and we don't see  
14 any indication of a 31.2 from the evidence.

15 Okay. So we'll go on. There is a -- Norman has a 15  
16 and a 21 at the D18 locus. There's a 15 but no 21, so we're  
17 starting to see that his profile is being kind of less and  
18 less represented in the evidence.

19 We'll go down one more to Penta E, Norman has a 17  
20 and a 20. Although there is a 17 there, there's no 20. And  
21 we can continue on, but I think the point is made at this  
22 juncture that it looks like at some of these locations he  
23 maybe could be there, could be a possible contributor, but at  
24 more -- it's more prevalent that his DNA is not there.

25 So it kind of goes on here, 11-13. There's an 11-13

1 there. The D5 locus. 11-12. There's no 11 there. So this  
2 is kind of one of those in between type results where I can't  
3 tell you he's there, I can't tell you he's not.

4 Q Just briefly, while we have the chart up, I'd like to  
5 turn to your attention to your report dated January 29, 2010.  
6 It's a supplemental DNA report.

7 A Okay. I've got it.

8 Q Did you also have an opportunity to compare Alvin  
9 Zanders to this mixture profile?

10 A I did.

11 Q What was the results of that?

12 A He is excluded as a possible contributor to that  
13 profile.

14 Q All right. Now, from the conclusion that Patti  
15 Hubbert and Richard Covington cannot be excluded as possible  
16 contributors to this mixture profile, What, if anything, can  
17 you generate from that conclusion?

18 A Um, well, what we -- so that's a conclusion there,  
19 that's just more of a qualitative statement. And the next  
20 step in the interpretations is to try to provide a weight to  
21 that statement, how is it -- is it likely that it's -- that  
22 the mixed profile, it would be -- let me see. Let me pause  
23 for a second.

24 When we look at the mixed DNA profile, we want to  
25 know, could a large number of people be possible contributors

1 to that mixed profile or could a small number of people be  
2 possible contributors. And so we try to assess that by  
3 looking at population statistics and databases. We use laws  
4 of genetic inheritance to try and tease out how rare it would  
5 be to find a person who would have a DNA profile that could be  
6 part of that mixture.

7           And we did that in this particular case, and the --  
8 what we come up with -- so it's a number of -- an estimated  
9 number of people who would have a DNA profile that could not  
10 be excluded as possible contributors to this mixture. And  
11 that number is 1 in 88,000 Caucasians, or one in 286,000  
12 African Americans, or one in about 152,000 Southwest  
13 Hispanics.

14           Q     Why three different statistics or different races?

15           A     Those are the three standard race statistics that are  
16 reported around the country. Some laboratories would just  
17 report the most common statistics, which would be 1 in 88,000,  
18 but most laboratories report those three. Those are the three  
19 largest racial populations in the country, so that's why those  
20 are reported.

21           Q     In looking at Mr. Covington's allele pairs from his  
22 known -- his profile, are -- and then comparing it to the  
23 mixture, are all his numbers present?

24           A     Yes.

25           Q     All right. In this case, you also tested other

1 stains. You tested, I think it's your item number 78.2 --

2 A Yes.

3 Q -- which was a stain that Jamie Bray had obtained  
4 from some sweat pants. What did you determine the results  
5 of -- or what were you able to get from this stain, if  
6 anything?

7 A It was also a mixed DNA profile from at least three  
8 people. In this case, Patti Hubbert and Norman Leighton  
9 couldn't be excluded as possible contributors to that mixture.  
10 Richard Covington's possible contribution is inconclusive.  
11 Gerald Morris and James Gammage are excluded as possible  
12 contributors.

13 Q So the same sort of loaded words there, cannot be  
14 excluded as possible contributors, inconclusive, which you  
15 described, and excluded?

16 A Yes.

17 Q So using this allele chart, just so we have a  
18 reference point, what does it take for you to just say, Jim  
19 Gammage, you are excluded from this?

20 A Well, it would be a direct comparison of the DNA --  
21 of the profile information, but it would -- if I can explain a  
22 little bit more of the -- kind of the organization of the  
23 chart.

24 Q Absolutely.

25 A It's separated into three, kind of horizontal groups

1 of genetic locations. The genetic loci that are present at  
2 the upper end of each of those three segments (indicating)  
3 represent markers that, when we amplify the DNA, they're very  
4 short DNA fragments that are amplified from 100 bases to maybe  
5 a couple hundred bases. The DNA loci that are at the bottom  
6 end of each of these groups are loci in which the fragments  
7 that are amplified are maybe 400 bases long, so much longer.

8           When we amplify DNA, it's much more efficient to  
9 amplify the shorter fragments. It's done more efficiently,  
10 and so the DNA signal you see is usually a little bit higher  
11 from those small DNA, those smaller loci, what I'll call  
12 smaller loci, then they are from the larger loci. And so, for  
13 instance, we were talking about Mr. Gammage's profile is  
14 excluded as a possible contributor here. If I were to see if  
15 Mr. Gammage had a 14 and a 19 for his DNA profile, I'd look  
16 over here and say there is no indication of that DNA at one of  
17 these small genetic markers, where I would expect kind of the  
18 most robust results. And I looked down the chart, and if I  
19 saw a number of places from these smaller loci where his DNA  
20 was not present over in the mixed example, I would say that  
21 person is excluded.

22           Q     Okay. All right. Let's talk about some of the other  
23 items that you looked at and may or may not have gotten DNA  
24 profiles from. Did you examine some swabs of some gloves  
25 that -- a left glove and a right glove, I think it's your item

1 157.2 and 157.3 as previously identified by Ms. Bray.

2 A Yes, I did.

3 Q And could you exclude Rick Covington as a possible  
4 contributor on either of those swabs?

5 A No, I could not.

6 Q All right. I'd like to turn your attention to your  
7 lab number 104.1, it was previously identified by Ms. Bray, I  
8 believe it's identified as a swab from the lid of a cup.

9 A Yes.

10 Q What were you able to develop from this, if anything?

11 A A partial DNA profile was developed from this item  
12 that was consistent with coming from Gerald Morris. And then  
13 I provide a statistic as far as the estimated rarity of that  
14 DNA profile, how often I might be expected to see this DNA  
15 profile again.

16 Q Okay. Mr. Kinsey, you just said something that I  
17 don't think you have said before. Partial profile.

18 A Yes. What I mean by a partial profile is that there  
19 some in the evidentiary profile from 104.1, the swab of the  
20 lid of the cup, there was a lack of information that was  
21 obtained from two loci, the Penta E and the Penta D loci.  
22 You'll notice that these are two of the larger genetic markers  
23 or loci, and it was a fairly low level sample, and this is the  
24 type of result that is sort of exemplified by amplification  
25 being more robust at the smaller loci and less robust at the

1 larger loci. So we had data for 14 of the 16 markers on this  
2 cup.

3 Q And so that would be considered a partial profile?

4 A Yes.

5 Q And even from a partial -- can you develop statistics  
6 from all partial profiles that you may or may not get?

7 A If the DNA signal is above a certain threshold that  
8 we have set in our laboratory that indicates that it's a  
9 robust signal, we are confident what we're seeing is good DNA  
10 profile information, yes, we can provide a statistic for very  
11 few loci if that becomes important.

12 Q And what statistics did you develop from the swab of  
13 the lid of the cup?

14 A And so this is -- it's a slightly different statistic  
15 that's reported, this is called a random match probability,  
16 and it tries to address how -- what the frequency would be for  
17 me to expect to find this DNA profile again in the general  
18 population. And so it will say one in so many number of  
19 people would be expected to have this DNA profile. Those  
20 numbers are one in -- and if you don't mind, I'll just cite  
21 the Caucasian statistics.

22 Q Certainly.

23 A It's one in 16 quintillion 560 quadrillion  
24 Caucasians, which is 16 million million people.

25 Q All right. You also tested some other swabs, and

1 they were identified by Ms. Bray, I believe she had a swab  
2 prepared, 79.02, which was correctly identified as the swab of  
3 an outside of a right arm cuff, also your item 80 and some  
4 swabs, 80.1 and 80.2, which were swabs from a blue towel. Did  
5 you obtain a profile from those three items?

6 A I did. That profile matched the profile from Patti  
7 Hubbert's reference standard.

8 Q And you also tested some other items, your item --  
9 they're all stains, and they're identified as your item  
10 numbers 73.01, 73.02, 73.03, 74.01, 74.02, and 76.02. All  
11 stains?

12 A Yes.

13 Q What were the -- what results did you achieve from  
14 those?

15 A The DNA profile developed from all of those items  
16 indicated mixtures of at least two people. Patti  
17 Hubbert is -- her possible -- let's see -- cannot be excluded  
18 as a major contributor to those profiles. Norm Leighton's  
19 possible contribution to item 73.2 was inconclusive. Norman  
20 Leighton is excluded as a possible contributor to the  
21 remaining profiles. Richard Covington, Gerald Morris, and  
22 James Gammage are all excluded as possible contributors to  
23 those profiles as well.

24 Q Just for point of reference, the ones you excluded  
25 Norm Leighton as a possible contributor included 74.01 and

1 74.02, which were described as -- with regard to 74.01, a  
2 stain from the outside front rear bottom of a shirt, and  
3 74.02, a stain from the outside front near right armpit.

4 A That's correct.

5 Q Now, did you also test some other stains from item  
6 74? I believe it's 74.03 --

7 A 03.

8 Q -- and 74.04.

9 A Yes, yes.

10 Q What were the results of the testing of those two  
11 stains?

12 A The DNA profiles developed from those stains are  
13 either mixed, full or partial profiles from at least two  
14 individuals. Patti Hubbert and Norman Leighton cannot be  
15 excluded as possible contributors to those profiles. Richard  
16 Covington, Gerald Morris, and James Gammage are excluded as  
17 possible contributors.

18 Q On 74.03 and 74.04?

19 A Oh, I'm sorry, I'm reading under the wrong paragraph.  
20 If you can give me a minute.

21 Q It is on page 3 of your report.

22 A Okay. Say the numbers again.

23 Q 74.03, 74.04.

24 A Sorry. Yes.

25 Q Okay.

1           A       The DNA profile developed from those items matched  
2 Norman Leighton.

3           Q       Okay. So you got -- you tested at least -- well, you  
4 did, you tested four stains from your lab item 74, which was a  
5 shirt.

6           A       Yes.

7           Q       And on two of the stains, you excluded Norman  
8 Leighton, but on the other two you have matching profiles?

9           A       Yes.

10          Q       All right. Again, on page 3 of your report -- and  
11 your report is lengthy, I completely understand -- I'm looking  
12 at what's described as item 77.01, You describe as a stain  
13 from the bottom left of the sheet.

14          A       Yes, I see it.

15          Q       Do you see that?

16          A       Yes.

17          Q       What, if anything, did you obtain from your testing  
18 of this stain?

19          A       That was also a mixture of at least two individuals.  
20 Norman Leighton cannot be excluded as a major contributor to  
21 that profile. Patti Hubbert, Richard Covington, Gerald  
22 Morris, and James Gammage are excluded as potential  
23 contributors.

24          Q       All right. I want to turn your attention to page 4  
25 of your report, and it's your item number 79.1, and what has

1 been corrected by Ms. Bray in her testimony, it's a swab on  
2 the outside of the left arm cuff.

3 A Yes.

4 Q What results, if any, were you able to develop from  
5 this swab -- or these swabs?

6 A The DNA profile was also a mixture of at least two  
7 people. Patti Hubbert cannot be excluded as a contributor to  
8 that profile. There was a partial minor contributor profile  
9 from a male who was also -- that was also developed. Norman  
10 Leighton, Richard Covington, Gerald Morris, and James Gammage  
11 were excluded as possible contributors to that mixed profile.  
12 That minor male profile that we developed was entered into the  
13 Montana state DNA index and was uploaded to the national DNA  
14 index as well.

15 Q All right. I want to talk about a few of the things  
16 that you said there. What do you mean by a partial minor  
17 contributor profile?

18 A So we briefly talked about partial profiles. So if  
19 there is any information missing from a particular genetic  
20 locus, we'll identify that DNA profile as partial information.  
21 We don't have a full complete DNA profile. The minor refers  
22 to the relative level of the DNA signal from one person to  
23 another. So in very complicated mixtures like we have here  
24 for 76.1, I'm not able to distinguish who may have contributed  
25 more DNA to that stain from one person versus another. But in

1 some mixtures, and most frequently with two person mixtures,  
2 there are times when you can develop a major contributor, the  
3 person who left the most DNA there, and also a minor  
4 contributor who left a little bit of DNA there. And you can  
5 actually pull -- deduce and tease apart the two different DNA  
6 profiles, One's a major profile, one's called a minor profile.  
7 It was this minor DNA, minor partial DNA profile that we  
8 entered into the database.

9 Q Of all the testing of the items that you've done, was  
10 this the only one where you actually got a profile such as  
11 this that you could upload into your database?

12 A With the exception of 79.4, the hair root, yes.

13 Q Okay. We'll talk about the hair root in just a  
14 moment.

15 I want to talk to you about this database, you  
16 mentioned it earlier, you just mentioned it again. What is  
17 the database called?

18 A The actual database itself is known as NDIS, for  
19 National DNA Index System. It's part of a broader sweep of  
20 database known as CODIS, that might be more popularly known as  
21 CODIS, the Combined DNA Index System.

22 Q Okay. Previous to your testimony, the jury heard  
23 testimony by an individual by the name of Mark Rioux had been  
24 entered into CODIS in January of 2006, I believe.

25 A Okay.

1           Q     If he was entered into that system, if his profile  
2 fit this minor male profile that you obtained from this swab,  
3 would that have alerted you?

4           A     Yes, it would have.

5           Q     And to this day, that hasn't happened?

6           A     That's correct.

7           Q     Okay. Now, is this just something, Mr. Kinsey, that  
8 you stick the profile out there, hit it against the system and  
9 then pull it back?

10          A     No. The profile information, the different alleles  
11 represented on that table there are entered into the computer,  
12 and it looks very similar to that table present there. And  
13 then that profile is maintained in the database forever, until  
14 there's some reason to take it out. We can take it out, but  
15 most profiles are just left in the database and are compared  
16 continually to new DNA profiles that get entered, new  
17 reference, new convicted offender reference samples that get  
18 entered in.

19          Q     Is that what sort of consists of a lot of the known  
20 profiles are convicted offenders that have been uploaded into  
21 the database?

22          A     Yes. There are about 8 million DNA profiles present  
23 in the national DNA database now. All but maybe a few hundred  
24 thousand of them are actually DNA profiles from convicted  
25 offenders.

1 Q And those known DNA profiles are constantly being  
2 uploaded in the system?

3 A Every time a new person's sample is taken and  
4 processed, that data is entered into the system and it stays  
5 in the system and continually is compared to all new profiles  
6 that get entered.

7 Q So your profile that you entered in 2008,  
8 approximately, it's continually being checked against the  
9 profiles that are being entered into that system?

10 A Yes.

11 Q So even if someone was uploaded as late as a month  
12 ago and -- it's being checked against the system?

13 A We would have found about it if it would have  
14 matched, yes.

15 Q Did you also compare this profile, this male profile  
16 to Alvin Zanders?

17 A I did. I think that was in the last report from  
18 January; is that correct?

19 Q That is correct. I believe it's your report --

20 A 79.1. Yes, I did, and he is excluded as a possible  
21 contributor of that profile.

22 Q And you excluded the defendant?

23 A Yes.

24 Q And you excluded also -- you also excluded Paul Case  
25 and John Harris. And how did you go about doing that?

1           A       I received the DNA profile information for those two  
2 individuals from a report generated by, I believe it was DNA  
3 Labs International. And so compared the DNA profile, much  
4 like that table there, to the -- that partial male profile,  
5 and they were also excluded as contributing that DNA profile.

6           Q       And Gerald Morris and James Gammage were also  
7 excluded?

8           A       Yes.

9           Q       All right. Now, I would like to talk to you about  
10 7904, a root of bleached hair.

11          A       Okay.

12          Q       What did your results reveal to you initially upon  
13 initial testing?

14          A       We developed a partial DNA profile consistent with a  
15 female. There was an indication of the possible presence of a  
16 mixture of at least two individuals, it's -- and in the  
17 report, or of the presence of a triallelic variant. That is a  
18 very rare event, where instead of a person having two alleles  
19 at a genetic marker, sometimes they have three. They just  
20 have to do with some genetic rearrangement that has occurred  
21 in their DNA in the past. Doesn't mean that there is  
22 necessarily some disease or anything, but just --

23          Q       They don't have a foot growing out of their head?

24          A       No, it's just in the process of copying DNA, when  
25 cells divide sometimes little changes are made, and that's how

1 we generate the difference between us, why we all look a  
2 little bit different is some of these changes that occur in  
3 DNA replication. And so that's what I mean by triallelic  
4 variant, there was -- we couldn't tell if there was a mixture  
5 or just one of these very rare events.

6 Q Could you later determine whose hair it was?

7 A Yes.

8 Q And whose hair was it?

9 A Jamie Bray's.

10 Q From --

11 A The serologist from our crime lab.

12 Q Now, the fact that her -- the root of her hair got  
13 into your testing, that's not going to change any other  
14 results of any of the other items that you tested is it?

15 A No.

16 Q It would have no effect on any of your results?

17 A No.

18 MR. TWITO: May I have a moment, Your Honor?

19 THE COURT: You may.

20 Q (By Mr. Twito) Mr. Kinsey, in your line of work, are  
21 you familiar with the term degradation?

22 A Yes, I am.

23 Q Does degradation play a role in your ability to  
24 analyze items of evidentiary value?

25 A Yes, it does.

1           Q        Could you please explain what you mean by degradation  
2 and how it could affect your ability to process DNA?

3           A        It'll most likely have an effect on our ability to  
4 develop a full DNA profile.  If there is -- so degradation is  
5 if the DNA is being damaged, we will be -- it may occur that  
6 we're less likely to get a full DNA profile.  So I think we  
7 introduced this concept with the swab from the cup lid, where  
8 we got partial DNA information, in that there was not any  
9 information from the Penta E and Penta D loci.  That result  
10 for that particular item was due to just low levels of DNA.  
11 And remember how it's easier to amplify smaller DNA fragments  
12 than larger.

13                    DNA degradation would have sort of a similar effect.  
14 You're more likely to have a degradation event occur on a big  
15 piece of DNA than you are on a smaller piece of DNA.  It's  
16 like target shooting, it's easier to hit a big target than it  
17 is a littler target, and so there are various circumstances  
18 that could cause degradation of DNA.

19           Q        Would be -- would an example of something that could  
20 cause degradation of DNA would be like a cleaning agent like  
21 bleach?

22           A        Yes, that would degrade DNA.  Yes, it would.

23           Q        And Ms. Bray testified she uses sort of a bleach  
24 solution to clean her station.  Is that why she uses a bleach  
25 solution?

1           A     Yes.

2           Q     What about heat?  How would heat degrade,  
3 potentially, DNA?

4           A     Heat would damage DNA.  DNA is a very stable  
5 molecule, so it's going to take quite a bit of heat to damage  
6 it.  In the amplification step of our developing a DNA profile  
7 that we talked -- I mentioned previously, where I take the  
8 DNA, or the DNA is taken and put into a Thermocycler to make  
9 billions of copies of these different DNA markers, that's  
10 actually -- the temperature is taken up to near boiling  
11 temperature, DNA is still fine and still is stable at boiling  
12 temperatures.

13          Q     And that's about 212 degrees Fahrenheit?

14          A     212, yes.  We don't take it up quite that high, but,  
15 nevertheless, pretty close.  But if you get situations where  
16 bodies are burned, so in the World Trade Center disaster,  
17 there was a lot of burned samples, and those samples were  
18 really difficult to get full DNA profiles from, and so new  
19 technologies were actually developed that helped in the  
20 identification of victims for the World Trade Center primarily  
21 to deal with degradation from the heat.

22          Q     So heat can factor into the degradation of DNA  
23 certainly?

24          A     Yes.

25          Q     So items that have been exposed to high levels of

1 heat, higher than 212 degrees, certainly could affect your  
2 results?

3 A Yes.

4 Q Are there any other degradation factors out there?  
5 We talked about heat, we talked about bleach, we talked about  
6 cleaning agents. Anything else?

7 A The main one we contend with in the laboratory has to  
8 do with microbial growth on the evidence, so there's lots of  
9 bacteria and fungus around. And if evidence is not -- not  
10 stored properly, if it's not dried out before it's packaged up  
11 and sent for analysis, you might detect fungal growth on the  
12 evidence. The bacteria and fungus, fungi do a good job of  
13 degrading DNA. In fact, part of the bacterial life is --  
14 well, it's kind of the like the bacterial immune system, they  
15 have specific enzymes that are designed to cut DNA and degrade  
16 it. It's the way they prevent themselves from being infected  
17 with viruses, bacterial viruses. And so bacterial growth,  
18 fungal growth can have a dramatic impact on degradation and  
19 our results.

20 Q Okay. Mr. Kinsey, just one last thing, I want to  
21 clear something up. On the statistics that you gave to the  
22 jury on the two things, the swab of the cup and the stain from  
23 76.01 --

24 A Yes.

25 Q -- why the statistical difference from 1 in 16 and a

1 half quintillion in the swab of the cup to 1 in 88,000 in the  
2 mixture? What -- can you explain that a little bit?

3 A I hope I can, yes. The -- with the statistical  
4 analyses that we use are most easily adapted for single source  
5 profiles, like we would find with a reference standard or a  
6 single source profile from a piece of evidence. And so it's  
7 very easy to say how many people would have a 17, 18 at that  
8 location, or a 9, 9.3 at that location, or a 12, 15 at that  
9 location. Those numbers are very easily tracked and well  
10 documented.

11 And there is very few options. A person has to have  
12 a 15 and a 16 there, a 9 and a 9.3 there (indicating). And so  
13 the frequency of finding that information becomes quickly  
14 rarer and rarer, so we get these huge numbers of one in some  
15 huge number, so a very rare event.

16 But what happens when we look at a mixed DNA profile,  
17 we're not trying to tell you how often we'd have to test  
18 samples to find that same mixed DNA profile, that really  
19 doesn't make sense. What we're trying to say is how many  
20 people could have a profile that would fit with that pattern.  
21 And so what we have to consider are the following different  
22 genetic pairings.

23 So we talked about having just two copies of one  
24 number, so let's go back up to this genetic marker here. We  
25 have to consider people that have two copies of the 15, people

1 that have two copies of the 16, two copies of the 17, two  
2 copies of the 18. We have to consider people that have a 15,  
3 16; a 15, 17; a 15, 18; a 16, 17; and a 16, 18; all the  
4 parameters -- or all the possibilities. And so because we're  
5 combined -- and then we combine those frequencies, and because  
6 there are so many possibilities, just by virtue of having a  
7 mixed DNA profile, those statistics become a lot more  
8 conservative and a lot more common, and that's the result we  
9 have here (indicating).

10 Q Okay.

11 MR. TWITO: I have nothing further, Mr. Kinsey.

12 Thank you.

13 THE WITNESS: Thank you.

14 THE COURT: Cross-exam?

15 CROSS-EXAMINATION

16 BY MS. HOOD:

17 Q Good morning.

18 A Good morning.

19 Q In terms of the DNA samples that you were dealing  
20 with in this particular case, was degradation a big issue for  
21 you?

22 A Well, we certainly developed partial DNA profiles,  
23 and those would come from either degradation or low-level  
24 samples. So I don't have, off the top of my head, which ones  
25 were from low-level samples or which ones could have been from

1 degradation, but the results are the same.

2 Q Okay. So sometimes the samples, as you say, are  
3 simply low-level samples?

4 A Yes.

5 Q Okay. The -- could we look at 359, please. There is  
6 something I don't understand here.

7 THE COURT: Do you like your pictures with or without  
8 light?

9 MS. HOOD: (Laughter) without, I guess.

10 Q (By Ms. Hood) I notice that on the evidentiary  
11 sample you have put parenthesis around some of the alleles.

12 A Yes.

13 Q Why?

14 A At that time what these parentheses represented were  
15 DNA signals, and the signals that we get kind of look like an  
16 EKG printout, they're just little peaks along the line. Those  
17 peaks have to come up to a certain level for us to essentially  
18 report them. All of these peaks represented in this sample  
19 passed that threshold, but -- and I think I talked about  
20 trying to develop major contributors and minor contributor  
21 profiles from a mixed sample, and those parentheses were there  
22 to indicate that a particular allele was present at a lower  
23 level than the other alleles in that -- at that locus.

24 So for the D3 locus, that 17 peak was less than 70  
25 percent of the next smallest peak height. So just to

1 represent that there was some disparity in the peak heights,  
2 it was intended originally to try and help the DNA analyst  
3 de-convolute, or develop, or try to distinguish major  
4 contributors from minor contributors. With complicated  
5 mixtures such as this, it doesn't really help that much, but  
6 that's what those parentheses represent.

7 Q Okay. So they were alleles that met a certain  
8 threshold but not -- were not full?

9 A They weren't as high as the other ones.

10 Q Right.

11 A Yeah.

12 Q And is there a variance -- there is a variance, isn't  
13 there, among labs in terms of how high it has to be to be  
14 looked at?

15 A Yes. They're all in the -- about the same ballpark,  
16 but there will be differences, yes.

17 Q And these are not attributable to stutters?

18 A No, no.

19 Q Okay. So I guess one of the things that we might be  
20 able to say is there's a certain amount of subjectivity in the  
21 work you do?

22 A I think the subjectivity would come in in the  
23 interpretation part where -- or the qualitative statement,  
24 someone's excluded, included or inconclusive. There's a  
25 little subjectivity there, but it is that results or that

1 statement has to be reviewed by other analysts in our  
2 laboratory, and so -- and so agreed to by independent  
3 scientists.

4 Q Sure.

5 A Yep.

6 Q But I guess what I'm getting at is that you don't  
7 look at this and always draw bright lines. You don't say, oh,  
8 that one's excluded, oop, that one's included, that's  
9 inconclusive. I mean you got to kind of look at what you said  
10 were these -- I can't think of the word.

11 A Alleles?

12 Q No, not -- I knew that one.

13 A Oh, I'm sorry.

14 Q No. You said that some of the -- on the evidentiary  
15 side that in some areas they were smaller.

16 A Oh, the alleles in parentheses, is that what you're  
17 asking about?

18 Q Right, Right.

19 A Yes, the size of the peaks, the level that they come  
20 up to, that's very objective, there's hard numbers and that's  
21 hard data. And it's the interpretation that would -- that  
22 could be a little bit more subjective. We try to write  
23 protocols that limit the subjectivity as much as possible, but  
24 there's always going to be a little bit of that present.

25 Q Sure. And when you look at the evidentiary sample

1 here, you talked about the D3 one at the very top.

2 A Yes.

3 Q And I think you said that this was a mixture of at  
4 least two people based on what you saw there.

5 A If that was the only genetic marker I was looking at,  
6 that would be the conclusion, yes.

7 Q Okay. In other words, you don't have to see it a  
8 certain number of times as you go down and say, well, I need  
9 to see this same thing reappearing several times before I can  
10 say it's a mixture. It's enough to do it at one site.

11 A Well, if you're just going to make the statement  
12 about that one site, you would say, yes, that looks like a  
13 mixture of two people. Then the next step would be, oh, we'll  
14 go to the next genetic marker and see, does that same  
15 statement hold? And then to the next genetic marker, and does  
16 that same statement hold? If I looked at one possible marker,  
17 if I looked at the D3 marker and saw three peaks there, that  
18 state would cause me to say, well, that looks like a mixture  
19 of at least two people, possibly two peaks from one person and  
20 one peak from another person, but then I would look to the  
21 next locus and the next locus to see if that actually bore  
22 out.

23 Q Okay. And on this one you actually found it at  
24 several locus, right?

25 A Yes.

1 Q All right. Let's see if we are -- I think we're done  
2 with that. Thank you.

3 MS. HOOD: Can we have the lights, please, Judge.

4 Q (By Ms. Hood) I want to talk to you about some of  
5 the evidence that you actually tested. The gloves that were  
6 spoken about that 157 was their number.

7 A Yes.

8 Q Did you have indication in your file that those were  
9 gloves that were recovered from Richard Covington's home?

10 A I had an indication that they were from Richard  
11 Covington, not off the top of my head that they were from his  
12 home.

13 Q Okay. When we're looking at item number 73, which  
14 was a pillowcase that I think Jamie Bray testified was near  
15 the body of Patti Hubbert, you excluded Rick Covington on all  
16 of the stains on that pillowcase, correct, that you tested?

17 A I would think so, but if I could refer to the report.

18 Q 73.

19 A Item 73.1, .2 and .3.

20 Q Right.

21 A 73.1 and .2, yes, Richard is excluded. And 73.3.  
22 73.3, yes, he is excluded.

23 Q Okay. And in terms of the -- these three stains,  
24 they all contained mixtures, correct?

25 A Yes.

1 Q And for example on 73.03, you couldn't exclude Patti  
2 Hubbert, but there is somebody else out there, right?

3 A I'm -- I couldn't exclude Patti Hubbert.

4 Q You excluded Norm Leighton?

5 A But I excluded Norman Leighton, Richard Covington,  
6 Gerald Morris, and James Gammage, so there was DNA from  
7 someone other than those people present.

8 Q And in terms of one of the other stains, the 73.01,  
9 same thing applied, there was something from someone else?

10 A Yes.

11 Q The shirt, which was the 74 number -- Are you with  
12 me?

13 A Oh, yes. I'm sorry, yes, I see it.

14 Q I don't want -- I want to make sure you have it in  
15 front of you.

16 A Thank you.

17 Q The 74.01 and .02, you excluded Richard Covington on  
18 those?

19 A That's correct.

20 Q And, in fact, on the other two stains you matched  
21 them to Norm Leighton, correct?

22 A 73 --

23 Q 03 and 04. 74.03 and 74.04.

24 A 74.03 and 74.04, the DNA profile matched that as Norm  
25 Leighton, yes.

1 Q You know I put it in order, it's so much easier.

2 A (Laughter).

3 Q But, again, on this particular item, you had the DNA  
4 profile of someone other than those you tested in this case,  
5 correct? Because, just like you did in 73.03, and I'll --  
6 7401 and 02.

7 A I'm getting confused with the numbers.

8 Q I'm sorry.

9 A I apologize.

10 Q I'm still on 74.

11 A Okay. 74. Now, we just spoke about 74.3 an 74.4.

12 Q Norm Leighton.

13 A Yes.

14 Q 01 and 02.

15 A Different stains from the same item, okay.

16 Q Right.

17 A Yes, he's excluded.

18 Q Right.

19 A Yes.

20 Q But it's a mixed profile, and you have Patti Hubbert  
21 not excluded, but you've got somebody else in there, don't  
22 you?

23 A There's -- yes, there's DNA from somebody else there.

24 Q The -- you've already talked about the 79.01, which  
25 is the one that you were able to develop a partial minor

1 profile that you ran through the system, so there's an unknown  
2 person there, too, isn't there?

3 A Yes.

4 Q And in terms of all of the information, other than  
5 those gloves we talked about that belonged to Richard  
6 Covington. Other than not excluding him for one stain at  
7 76.01, he was excluded from everything else, wasn't he?

8 A He was -- his presence was inconclusive at 79.1, I  
9 think -- no, not 79.1. The cuff from the sweat pants, and let  
10 me try and find that. I think it's item 78.2. Other than  
11 that, he was excluded.

12 Q He was inconclusive at 78.02?

13 A Yes.

14 Q Okay.

15 A And so other than 76.1 and 78.02, the conclusion for  
16 Richard Covington's profile, for all the evidence, he's  
17 excluded from contributing to those profiles.

18 Q There was somebody else around in these DNA -- in a  
19 lot of these DNA profiles, right?

20 A The --

21 Q Or the results that you got.

22 A The results --

23 Q The ones we went through, and then if you look over  
24 on 82.03, the sweat pants?

25 A Yes.

1 Q More than two people -- two or more people?

2 A Mixtures of at least two people, and so this was --  
3 this is a result, so when there's, for instance, the mixed  
4 profile on 76.1, that's a clear mixed profile where there's  
5 lots of DNA information at lots of loci, from at least two  
6 people. In that one, it looks like information from three.  
7 Many of these samples, they're primarily single-source  
8 samples, but there might be a little bit extra information  
9 and -- but it's not really enough information to identify a  
10 particular person with --

11 Q But it --

12 A -- but it is from somebody else.

13 Q Yeah.

14 A From somebody not mentioned.

15 Q There is enough information for you to say that  
16 there's the DNA of someone else?

17 A In some of these samples. In some of them, the  
18 additional information might be -- you mentioned the word  
19 stutter. This is a -- this is a well-characterized artifact  
20 that occurs from copying DNA in a test tube. And so what  
21 happens is -- we talked about having an allele 15 at the D3  
22 locus. At a small percentage of the time -- or what happens  
23 is a little bit of a slip when that enzyme copies across a  
24 region, and the result is what's called a stutter band. It's  
25 as if that enzyme kind of stuttered as it was doing its job.

1 The result will be if the single-source sample was just a 15,  
2 you might get a little tiny blip at the position 14. It  
3 stuttered a little bit and didn't copy one of the repeats.

4 And so some of these samples, where I say there are  
5 indications of a possible mixture, are -- the information is  
6 just at a stutter position, and so we're not quite sure or  
7 we're not confident enough to say, yes, it is a stutter, we  
8 don't have to worry about it. We just kind of throw it in and  
9 say we're going to be conservative and not try to, you know,  
10 do too much subjectivity analysis on the profile. We'll just  
11 give you the information, and the information is if a  
12 single-source profile had a couple of stutter peaks that got  
13 called, got identified, our reporting is going to be on the  
14 conservative side, we'll say it looks like it has indications  
15 of two people, and so that's what some of these are.

16 Q Okay.

17 A Others will have, I'm sorry.

18 Q Some of these?

19 A Yes.

20 Q Not all of them?

21 A That's correct.

22 Q You are saying to this jury, are you not, that on  
23 some of the items there was the DNA of someone who you didn't  
24 test?

25 A Yes.

1 Q In determining who to run -- who the reference  
2 samples are that you're going to use, do you determine that?

3 A No.

4 Q Somebody else does, right?

5 A Yes.

6 Q And in terms of what constitutes these stains, do you  
7 make the determination if they are blood or semen or whatever  
8 they are?

9 A That's what Jamie Bray does in our lab, the serology  
10 section.

11 Q Okay. The stain at 76.01, do you have in your file  
12 in front of you what that stain was?

13 A The extent -- the extent of what I have would be the  
14 description, 76.1, which is stain from non-burned side of  
15 pillowcase.

16 Q Okay. So all we know from you is that it's just a  
17 stain, not what it is or what the quantity of it is?

18 A That would be in Jamie Bray's report.

19 Q Let's talk a little bit about how we leave DNA  
20 around. Okay. Certainly blood, if you are testing something  
21 that's blood, there's going to be DNA in it, correct?

22 A Yes.

23 Q And certainly semen, correct?

24 A Yes.

25 Q But when you're testing it, you don't know how it got

1 there necessarily?

2 A No.

3 Q Okay. Or when it got there?

4 A No.

5 Q Okay. So you can't age DNA?

6 A No.

7 Q And the stain that you're talking that you've gotten  
8 some DNA from may have been a direct transfer, a primary  
9 transfer, correct?

10 A Yes.

11 Q And that would be -- would you agree that the  
12 person's whose DNA touched it -- or not touched it, but had  
13 direct contact?

14 A An example would be if I cut my finger and dripped  
15 blood onto that table, that would be an example of direct  
16 transfer.

17 Q Okay. There is secondary transfer also, correct?

18 A Yes.

19 Q And that -- so your drop of blood goes, you go -- the  
20 blood comes from you to right next to you.

21 A Um-hum.

22 Q And then what happens?

23 A That would be if I brushed my -- an example would be  
24 if I brushed my coat jacket across that blood stain, well,  
25 that would be direct transfer of the blood to my jacket, but

1 indirect transfer of my blood to that jacket.

2 Q Okay.

3 A Or secondary transfer.

4 Q And then if I came up and rubbed shoulders and arms  
5 with you and it transferred to me, it could, couldn't it?

6 A If it was -- under certain circumstances, if it was  
7 wet, it could transfer and that would be tertiary transfer.

8 Q And if we're talking about simply cells transferring,  
9 they don't necessarily have to be wet, do they?

10 A I'm not sure -- I'm not sure what you -- I guess what  
11 I'm thinking about is what's wet? You can transfer cells by  
12 handling an item. So if your steering wheel -- it would not  
13 be unexpected to find your DNA profile on your steering wheel,  
14 because you have held on to it and turned the wheel several  
15 times, so there would be expectation of transfer of skin cells  
16 in that sort of an example.

17 Q So if I got in your car and I drive it, I might  
18 transfer your skin cells to me?

19 A That's a possibility. But there's been some studies  
20 that indicate that it's -- well, verifying it, it makes sort  
21 of common sense that it's much easier to transfer a wet stain  
22 than it is a dry stain. It also depends on what you're  
23 transferring it from, the porosity, you know, how rough the  
24 texture is of what you're transferring it from, what you're  
25 transferring it to. There's lots of variables that are

1 included.

2 Q Right.

3 A Yes.

4 Q And they're talking about where it's easier to  
5 transfer, they're not saying it's not possible to transfer?

6 A Oh no, no, they're not saying that.

7 Q The -- you mentioned mitochondrial DNA.

8 A Yes.

9 Q And that's the DNA you get from your mother?

10 A Yes, it's inherited from your mother.

11 Q And so it has -- would you agree with me that it sort  
12 of has less evidentiary impact or -- I don't know. You know,  
13 it comes from your mother, so my brother has the same  
14 mitochondrial DNA?

15 A That's correct.

16 Q And my daughter does?

17 A Yes.

18 Q And my grandchildren do?

19 A From her, yes.

20 Q You mentioned -- you talked about some statistics  
21 here and, you know, there were some of us that chose our  
22 profession because we weren't going to deal with statistics.

23 A I thought I was one of those. (Laughter).

24 Q So did I.

25 I presume you have some training now in statistics?

1           A     Yes, I do. I did actually take statistics in  
2 college, and have training, yes.

3           Q     Okay. The -- as to that St. Vincent's cup that was  
4 the Gerald Morris match, you gave a huge number -- I thought  
5 you were talking about the national debt, but you gave a  
6 really huge number, right?

7           A     Yes.

8           Q     By that, you are not indicating that somehow that's  
9 unique, correct?

10          A     No. I'm trying to provide some sort of insight as to  
11 how rare it is. I'm not saying it's the only one that is  
12 there.

13          Q     Right.

14          A     Yes.

15          Q     There is no way in the DNA field to say that the DNA  
16 that you get, somebody's sample is unique to them, correct?

17          A     Our laboratory doesn't try to assess the samples that  
18 way. There are laboratories who will make statements that say  
19 essentially that it's a statistical argument, they have to  
20 identify their statistical threshold that they use to make  
21 that sort of a statement. The FBI laboratory is one of those  
22 labs that will say that. And I believe the threshold was that  
23 their statistic has to be -- that huge number we were talking  
24 about has to be bigger than 1,000 times bigger than the  
25 population of the United States. Now, that's from memory and

1 so don't --

2 Q Sure.

3 A -- don't quote me on that, but they have a  
4 statistical threshold. If that profile surpasses that  
5 threshold, they'll make a statement that essentially says that  
6 blood came from that person.

7 Q Right. But to really say something is unique you  
8 would have to test everybody, wouldn't you?

9 A Yes.

10 Q It's sort of like -- there was a long time in which  
11 it was felt that the -- that snowflakes unique, right?

12 A I guess I thought they still were.

13 Q You know, they aren't. They found two alike, I  
14 thought you knew that.

15 A No, I'm sorry.

16 Q Okay. Well, we'll go off snowflakes.

17 A I'll believe you.

18 Q This one in 88,000 that you gave -- well, I want to  
19 use an example here, because I just find this stuff hard to  
20 conceive, and I told you I would do this. So the chances of  
21 you and I having the same birth date by month and by day would  
22 be about one in 365, right, because there are 365 days in the  
23 year?

24 A Yes.

25 Q Right. Okay. So the chances of you and I having the

1 same birth date by month, day and year would be much, much  
2 higher, right?

3 A Yes.

4 Q Because you'd have to -- it would be to the power of  
5 how many years we overlapped, right?

6 A That would be included in the estimate, yes.

7 Q It would be in -- well, the power of at least, what,  
8 60, 70 or a hundred, or something like that?

9 A Sure.

10 Q I'm going to live to a hundred.

11 A Me, too.

12 Q Okay. So, see. But I mean that's a gigantic number.

13 A Yes.

14 Q I can't do the math.

15 A Okay.

16 Q But you would agree it's a gigantic number, right?

17 A Yes.

18 Q Okay. Let's assume, because it's true, I have -- my  
19 husband and I have five couples that we're very close to, so  
20 there are 12 people. Two of the people of this group have the  
21 same birthday by year and day, which is, frankly, the same as  
22 my mother's too. So I didn't have to go through 365 people to  
23 get to two people who would have the same birthday, right?

24 A That's correct.

25 Q Okay. And one of these people has the same birth

1 month, year -- month date and year as me. So I didn't have to  
2 go through whatever this huge has -- huge number we are  
3 talking about to get to somebody who was the same as me,  
4 right?

5 A Yes.

6 Q And that's all consistent with the statistics that  
7 you're giving, right?

8 A (No response.)

9 Q And by that I mean, when you say 1 in 88,000, the  
10 very next person you test might have the same?

11 A Yes.

12 Q Okay. So it doesn't mean that you have to sort of go  
13 through 88,000 people to get to the one that matches, it could  
14 be the very next one. See what I'm saying?

15 A Yes. Yes.

16 Q Do you agree with me?

17 A Yes.

18 Q Okay. And in terms of even when it's a huge number  
19 like the one with the Gerald Morris, you cannot say that the  
20 very next DNA test you do won't match it?

21 A That's correct.

22 MS. HOOD: Could I have just a moment, please, Your  
23 Honor?

24 THE COURT: You may.

25 MS. HOOD: I have no further questions. Thank you.

1 THE WITNESS: Thank you.

2 MR. TWITO: Judge, just very briefly, Your Honor.

3 THE COURT: Very briefly.

4 REDIRECT EXAMINATION

5 BY MR. TWITO:

6 Q I really don't want to get into to try explain  
7 statistics. I don't understand them. The only thing I know  
8 is I keep buying lottery tickets and I still don't win.

9 Ms. Hood asked you a little bit about some subjective  
10 interpretation that's done, and you minimized that. But the  
11 statistics that you create from these profiles, that's not  
12 subjective, that's objective.

13 A That's correct.

14 Q Now, Ms. Hood correctly pointed out that other than  
15 the stain on 76.01 and the gloves that -- and then there was  
16 an inconclusive, Rick Covington was excluded from essentially  
17 every item that you were able to develop a profile?

18 A Yes.

19 Q But on every item stain that you looked at, every  
20 stain, every swab every hair root, Jim Gammage was excluded  
21 from everything, wasn't he?

22 A Yes.

23 Q The same for Gerald Morris?

24 A With the exception of the cup, yes.

25 Q Well, the cup -- all right. That's just fine. So

1 that was the only thing, the cup, Gerald Morris. He was run  
2 against everything else and excluded from everything else,  
3 right?

4 A Yes.

5 Q Okay. Well, Ms. Hood asked you a little bit about  
6 transfer, and I think you summed it up best, if it's liquid,  
7 it's easier to transfer?

8 A Yes.

9 Q Well, you gave an example of grabbing on to a  
10 steering wheel and holding it a few times that you might find  
11 someone's cells and you were able to develop a profile?

12 A Yes.

13 Q Then you also coupled that, under questioning from  
14 Ms. Hood, you cannot age DNA?

15 A That's correct.

16 Q Okay. This Court -- or excuse me, this jury heard  
17 testimony that James Gammage had been in the apartment of the  
18 two victims five or six times, yet you still found him on  
19 nothing?

20 A That's correct.

21 Q And this jury's heard testimony that Gerald Morris  
22 lived right next door to that murdered couple, yet on  
23 everything you tested you found nothing?

24 A That's correct.

25 MR. TWITO: I have nothing further.

1 THE COURT: You always get the last word.

2 MS. HOOD: Oh, I know. I'll try to make it good.

3 THE COURT: It's recross. State goes first,  
4 defendant goes second.

5 RECROSS-EXAMINATION

6 BY MS. HOOD:

7 Q And I could have walked into that apartment once and  
8 left my DNA?

9 A I -- by either spitting or --

10 Q I could have left my DNA?

11 A That's correct.

12 Q Okay. And when you -- every stain on every item was  
13 not tested, correct?

14 A That's correct.

15 MS. HOOD: Thank you. I have no further questions.

16 THE COURT: Thank you, sir. Witness can step down.  
17 Witness can be excused.

18 THE WITNESS: Thank you.

19 MR. TWITO: Thank you, Your Honor.

20 THE COURT: And, ladies and gentlemen, we'll take our  
21 noon recess at this point.

22 I will admonish you in the usual fashion: It is your  
23 duty not to converse among yourselves or with anyone else on  
24 any subject connected with this trial or to form or express an  
25 opinion thereon until this case is finally submitted to you.

1           We'll be in recess until about 1:30. Court's in  
2 recess.

3           (Whereupon, the jury exited the courtroom at  
4 12:01 p.m., and a recess was had until 1:34 p.m.)

5           THE COURT: It looks like two of you are ready and  
6 two of you aren't. Who has the next witness?

7           MR. WALD: Sorry, Judge.

8           MR. SOUZA: Sorry, Judge.

9           THE COURT: You-all ready?

10          Okay. For the record, this is the continuation in  
11 State versus Covington. Mr. Covington is present with  
12 counsel, and all other relevant personnel are present except  
13 for the jury, and you may bring the jury in.

14          (Whereupon, the jury entered the courtroom at  
15 1:34 p.m.)

16          THE COURT: Please be seated. Record may reflect the  
17 jury is all present.

18          State may call its next witness.

19          MR. SOUZA: The State calls Detective Keith Buxbaum.

20                               **KEITH RICHARD BUXBAUM,**

21 called as a witness on behalf of the State, having been first  
22 duly sworn, testified as follows:

23                               DIRECT EXAMINATION

24 BY MR. SOUZA:

25          Q        Could you please state your name and spell it for the

1 record.

2 A It's Keith Richard Buxbaum, it's K-e-i-t-h,  
3 B-u-x-b-a-u-m.

4 Q Where do you work?

5 A Billings Police Department.

6 Q And what do you work at the Billings Police  
7 Department?

8 A I'm currently assigned to the detective division.

9 Q How long have you been with the police department?

10 A 28 years.

11 Q How long as a detective?

12 A Be 13 years in June.

13 Q And as a detective, what types of cases do you  
14 typically investigate?

15 A Mainly felony cases.

16 Q And have you had training apart from the Montana Law  
17 Enforcement Academy?

18 A Yes.

19 Q Can you briefly describe that for the jury.

20 A I went to an intermediate academy a couple years  
21 after I was hired on, and then later -- every year you have to  
22 go through so many classes just to keep up on so many hours of  
23 continuing education.

24 Q Have you had training in crime scene investigation  
25 over the years?

1           A     Yes.

2           Q     Detective Buxbaum, what was your role in this  
3 particular case?

4           A     Well, I was called down to the fires at about 6:20  
5 that morning, on September 22nd of '06. And then after I  
6 showed up, I was assigned to assist basically Detective  
7 Richardson who was the primary officer.

8           Q     Have you assisted Detective Richardson throughout the  
9 course of this investigation over the last several years?

10          A     3 and a half years, yes.

11          Q     Were you involved in the process of collecting  
12 evidence at the crime scene?

13          A     I was.

14          Q     Who were the main detectives involved in that  
15 process?

16          A     Basically it was Detective Shawn Finnegan and myself.  
17 We're the ones that were kind of digging through things, and  
18 Detective Richardson was behind us, and then he would tell us  
19 which items he wanted to take as evidence.

20          Q     Were -- as far as the bedroom goes, was it just you  
21 three that primarily worked in there all day?

22          A     Yes. I mean we started with the outside and kind of  
23 worked our way in, so we did the kitchen area first, and that  
24 took a couple hours, and then we started working our way into  
25 the bedroom.

1           Q       Were there investigators and detectives tromping in  
2 and out of the bedroom all day?

3           A       No.

4           Q       With regard to BPD items 52 and, I believe, 32, there  
5 were some pillows in the bedroom, were those items bagged up  
6 in the bedroom?

7           A       Well, I would pick them up and Detective Finnegan was  
8 taking all the pictures, and I would hold the items up. He  
9 would take a picture sometimes just of the front, sometimes he  
10 would turn it around and get a picture of the front and the  
11 back of the item.

12                   I think the pillow, we actually took a picture of the  
13 front and back. Detective Richardson would open a bag, take  
14 that item and put it into the bag. Would go into the kitchen  
15 area that was now cleared out, and that's where they would  
16 seal them up and take them out to the crime scene van.

17           Q       What about the bodies, Detective Buxbaum?

18           A       From what I recall, they were taken out later that  
19 day. Norm was taken out first and Patti was taken out second.

20           Q       What was done with the bodies before they were taken  
21 out?

22           A       Well, once the coroner got there, he did some things,  
23 whatever he was doing, and then he took pictures. And then he  
24 brought in a cart, and on that cart was a yellow bag, and  
25 inside the yellow bag he placed the -- it was a heavy white

1 plastic bag.

2 And then the bodies were picked up and placed inside  
3 that white bag, that was kind of sealed over, and then the  
4 yellow bag was sealed and then the bodies were removed.

5 Q Did that all occur inside the apartment?

6 A Yes.

7 Q With regard to the blue towel that was recovered from  
8 Patti Hubbert's mouth, was that ever touched or manipulated  
9 prior to her body being bagged up?

10 A No. We tried to just pick her up as easy as we could  
11 and put her into the white plastic bag.

12 Q Detective Buxbaum, were you at the autopsy in this  
13 particular case?

14 A I was.

15 MR. SOUZA: May I approach, Your Honor?

16 THE COURT: You may.

17 Q (By Mr. Souza) Detective Buxbaum, we had some  
18 testimony earlier about some shoes. I just want to hand you a  
19 couple of photographs. Handing you Proposed Exhibits 422 and  
20 423. Can you identify those, please?

21 A The one is a picture of the shoes worn by Patti  
22 Hubbert, and then the second one is just a close-up of those  
23 shoes with a measuring device in here by the blood stains.

24 Q And those photographers fairly and accurately depict  
25 the shoes that Patti Hubbert was wearing?

1           A     Yes.

2           MR. SOUZA:  At this time the State would move  
3 admission of 422 and 423.

4           MR. WALD:  No objection, Your Honor.

5           THE COURT:  Received.  May be published.

6           (Whereupon, State's Exhibit Nos. 422 and 423 were  
7 received in evidence.)

8           Q     (By Mr. Souza)  And looking at 422, it appears to be  
9 some blood on the left shoe; is that correct?

10          A     Yes.

11          MR. SOUZA:  And then, Kimberly, if you could please  
12 go to 423.

13          Q     (By Mr. Souza)  Is that also a photograph of the left  
14 shoe of Ms. Hubbert?

15          A     Yes.

16          Q     Detective Buxbaum, were you the first detective to  
17 speak with Marsha Whitewolf?

18          A     Yes, I was.

19          Q     When did you first take a statement from Marsha?

20          A     On the morning of September 22nd.

21          Q     And what time was that statement taken?

22          A     I believe it started at 8:28 and ended at 8:41.

23          Q     Where was the statement at the same time?

24          A     On third floor of City Hall police department.

25          Q     So this statement was taken approximately two and a

1 half hours after the fire was reported; is that right?

2 A Yes.

3 Q At that time, did you ask her how long Gerald had  
4 been gone?

5 A I did.

6 Q And what did she say?

7 A She stated three and a half days, four days today, I  
8 think.

9 Q Did you also take a statement from Ms. Whitewolf on  
10 the 24th of September?

11 A I did.

12 Q At that time, did that issue come up again?

13 A Yes.

14 Q With regard to timing, was she a little more vague at  
15 that point?

16 A It was Tuesday, Wednesday or Thursday.

17 Q And what did she say was the last time -- when you  
18 say Tuesday, Wednesday, Thursday, was that referencing before  
19 the fire?

20 A Right.

21 Q And at that time, Detective Buxbaum, did she say  
22 where he was going?

23 A She said the last time she saw Gerald he was going to  
24 move furniture with Rick Covington.

25 Q Did she also make some statements about what she had

1 observed him to be wearing?

2 A I think she said he was wearing black jeans, and he  
3 took a -- like a Dallas Cowboy coat or jersey of some sort  
4 with him.

5 Q Over the course of the Billings Police Department  
6 investigation, was there a pair of gloves and a jacket that  
7 belonged to Mr. Covington that was recovered?

8 A Yes.

9 Q And what was the date those items were recovered?

10 A April 10, 2007.

11 Q And what was done with Mr. Covington's jacket and  
12 gloves?

13 A They were sent to the Montana State Crime Lab in  
14 Missoula for analysis on September 28th, 2007.

15 Q 9-28-07?

16 A Correct.

17 Q Earlier in the investigation, were you contacted by  
18 Mr. James Gammage about a letter he'd found?

19 A Yes.

20 Q What date was that?

21 A I believe it was October 19th, 2006.

22 Q So this was well after Gerald's body was found?

23 A Yes.

24 Q And once you got that call from Mr. Gammage, what did  
25 you do?

1           A       He called, he was down in those apartments actually  
2 cleaning up inside No. 3. And Detective Richardson and I  
3 drove down there, went in Apartment No. 3, and basically it  
4 was all gutted out. The walls had been, I don't know John if  
5 it was sheetrock or plaster, but it was all down to the bear  
6 studs, and there was piles of debris on the floor that they  
7 were sweeping up.

8           Q       And at that time, did you collect this letter that  
9 was -- had Gerald Morris's name on it?

10          A       We did. It was on a pile of debris on the floor, and  
11 he picked it up and gave it to us.

12          Q       Was the letter clean?

13          A       Fairly clean.

14          Q       Correct me if I'm wrong on the date, but I want to go  
15 forward now to October 31, 2006.

16          A       Okay.

17          Q       Were you involved in sorting through and swabbing  
18 some particular evidence?

19          A       Yes.

20          Q       And who did you do that with?

21          A       Detective Richardson and I did it.

22          Q       And what is it that you did that day?

23          A       Mostly it was any pieces of wire coat hangers, the  
24 pieces of wire hangers, and then the actual -- couple coat  
25 hangers themselves that had been tagged in evidence from

1 various areas, different crime scenes, I guess, and we took  
2 those items and just took a swab off each one.

3 Q What were you swabbing them for?

4 A For DNA purposes.

5 Q So you would swab the particular item, and then what  
6 would be done with the swabs? Where would they go?

7 A They were tagged into evidence.

8 Q And then they were sent in later for DNA testing?

9 A That's correct.

10 Q That same day, did you and Detective Richardson also  
11 work on some particular hairs?

12 A I believe we did that on the next day, it was  
13 November 1st.

14 Q And what did you do at that time?

15 A There was a couple items that had just some hair on  
16 them. We took the hair off those items, tagged it separate,  
17 and those were also sent off.

18 Q When you are talking about tagging the swabs separate  
19 and tagging the hair separate, what do you mean?

20 A Put it in another envelope, and then that envelope  
21 went inside another bag and we created another evidence tag  
22 for that particular item.

23 Q So to summarize, say, you grabbed item 100, you took  
24 a swab from item 100, you would then create a new evidence tag  
25 number for that particular swab and tag that into evidence?

1           A     Correct.

2           MR. SOUZA:  If I may approach, Your Honor?

3           THE COURT:  You may.

4           Q     (By Mr. Souza)  I'm handing you what's been marked as  
5 State's Proposed Exhibit 421.  Have you seen that, Detective  
6 Buxbaum.

7           A     I have.

8           Q     Okay.  And what exactly is that?

9           A     It's just a list of the item numbers that we opened  
10 up that day.  There is a couple on here that we didn't  
11 actually open that day, we had it done earlier, but most of  
12 these we did that day.

13          Q     And does that item contain the original BPD tag  
14 numbers and then the new BPD tag numbers for the swabs that  
15 were taken?

16          A     It does.

17          Q     Now, had you compared that exhibit to all of the  
18 Billings Police Department original evidence tags?

19          A     I did.

20          Q     And those are somewhat cumbersome; is that correct?

21          A     There is, I think, eight or 900 evidence tags.

22          Q     And the information contained on that document is  
23 just a summary of the swabbings that you took; is that right?

24          A     Correct.

25          Q     And comparing those to the original evidence tags, is

1 that an accurate summary?

2 A Yes.

3 MR. SOUZA: At this time the State would move the  
4 introduction of 421.

5 MR. WALD: No objection, Your Honor.

6 THE COURT: Received. May be published.

7 (Whereupon, State's Exhibit No. 421 was received in  
8 evidence.)

9 MR. SOUZA: And, Judge, if I could have the lights,  
10 please.

11 Okay. Kimberly, could you focus in on the wire coat  
12 hanger as an example.

13 Q (By Mr. Souza) All right. It says a coat hanger,  
14 and correct me if I'm wrong, but the second column, this is  
15 where the item was originally located; is that right?

16 A Correct.

17 Q Okay. So it was located in apartment number 3 in the  
18 bedroom by Ms. Hubbert's feet?

19 A That's correct.

20 Q Now, what is 23?

21 A Twenty-three is the wire coat hanger.

22 Q And then what is item 198?

23 A That's the swab taken from 23.

24 MR. SOUZA: Okay. Kimberly, if you could please  
25 focus on 203 and 204.

1 Q (By Mr. Souza) Okay. So correct me if I'm wrong,  
2 but we have metal wire collected at the morgue from  
3 Ms. Hubbert's ankles?

4 A Correct.

5 Q And then it looks like there is two separate swabs  
6 for the same item?

7 A That's correct.

8 Q Why is that?

9 A We took one swab on the end of the two cuts, and then  
10 we took another swab in the middle of that piece of wire.

11 Q When you refer to the cuts, is that the area where  
12 Dr. Bennett was cutting the bindings?

13 A Correct.

14 Q Thank you.

15 Now, you said that you also did some -- the same  
16 thing with some hairs that were recovered on November 1, 2006?

17 A Correct.

18 MR. SOUZA: If I may approach, Your Honor?

19 THE COURT: Yes.

20 Q (By Mr. Souza) Now, handing you what's been marked  
21 as State's Proposed Exhibit 424. Can you identify that  
22 exhibit, Detective Buxbaum.

23 A It's the hair samples.

24 Q And is that a --

25 THE COURT: That's not a hair sample he's got.

1 THE WITNESS: Well -- (indicating).

2 (By Mr. Souza) Is that a document that summarizes  
3 hair samples recovered from other pieces of BPD evidence?

4 A It does.

5 Q Have you had the opportunity to compare that to the  
6 individual Billings Police Department evidence tags?

7 A I did.

8 Q And is that an accurate summary?

9 A Yes.

10 MR. SOUZA: At this time the State would move  
11 introduction of 424.

12 MR. WALD: No objection, Your Honor.

13 THE COURT: May be received, and may be published.

14 (Whereupon, State's Exhibit No. 424 was received in  
15 evidence.)

16 MR. SOUZA: Okay. Kimberly, could you please just  
17 focus in on the first one.

18 Q (By Mr. Souza) Okay. So we have some hair, and  
19 does -- correct me if I'm wrong, but it was originally -- this  
20 hair was mixed in with some cord, duct tape and wire from  
21 Mr. Leighton's left wrist and thigh, and that was originally  
22 recovered at the morgue?

23 A Yes.

24 Q And the original BPD item was 114?

25 A That's correct.

1 Q And then you separated out the hair and tagged that  
2 as 207?

3 A That's correct.

4 Q Okay. Thank you.

5 MR. SOUZA: Kimberly, could you focus in on the last  
6 one.

7 Q (By Mr. Souza) This melted plastic container  
8 referred to as 216, Was that the Ace melted plastic container?

9 A It was.

10 MR. SOUZA: Can you take that down. Thank you.

11 Q (By Mr. Souza) With regard to all of these hairs and  
12 swabs, where were they sent?

13 A To DNA Securities in North Carolina.

14 Q That's a DNA lab; is that correct?

15 A Correct.

16 Q During your investigation of this case, were you  
17 contacted by Alice Ammen, a trace analyst from the Montana  
18 State Crime Lab?

19 A Yes.

20 Q What was that with regard to?

21 A She had left a message for Detective Richardson, and  
22 it was in regards to a -- she had got some trace tape fibers  
23 from Mr. Gammage's vehicle, and she also had called an  
24 wondered if we could go out and actually go out and get  
25 plucked fibers, and if we could cut a little piece of the seat

1 off for her.

2 Q Did you and Detective Richardson do that?

3 A Yes, we did.

4 Q What, was Mr. Gammage cooperative?

5 A He signed a consent to search so we could search his  
6 vehicle, and we did take cuts of the seats and plucked fibers.

7 Q Were those items tagged into evidence?

8 A They were.

9 Q Do you remember the numbers?

10 A I think they were like 497 to 501.

11 Q 497 to 501?

12 A Yes.

13 Q And were those later sent to the Montana State Crime  
14 Lab?

15 A They were. And the reason there was four of them is  
16 because we took from the front seat and the back seat and the  
17 passenger's seat.

18 MR. SOUZA: Thank you. I have nothing further at  
19 this time.

20 MR. WALD: Moment, Your Honor.

21 THE COURT: Yes.

22 MR. WALD: No questions, Your Honor.

23 THE COURT: Thank you, sir. You can step down,  
24 witness can be excused. You may call your next witness.

25 MR. TWITO: The State would call Kathryn Moyse.

**KATHRYN MOYSE,**

called as a witness on behalf of the State, having been first duly sworn, testified as follows:

DIRECT EXAMINATION

BY MR. TWITO:

Q Could you please state your full name and spell your last name for purposes of the record.

A Sure. It's Kathryn Moyse, M-o-y-s-e.

Q Ms. Moyse, what is your current profession?

A I'm a forensic DNA analyst at Scales Biological Laboratory in Jackson, Mississippi.

Q How long have you worked there?

A I have been there two years.

Q And have you worked anywhere in your profession, in any other laboratories prior to working at Scales?

A Yes. Prior to that I worked at DNA Security, Incorporated, which was in Burlington, North Carolina, for about two and a half years. Prior to that, in my master's program, I also did part-time work in a laboratory at King's College London where I did my masters.

Q And what did you get your masters in?

A I have a masters in science, in forensic science.

Q And did you -- where did you go to under grad?

A I completed an undergraduate degree, a biology major at Memorial University of Newfoundland in St. John's,

1 Newfoundland, Canada.

2 Q When we talked earlier, you were excited that Canada  
3 beat the United States in the Olympics.

4 A I am, yes.

5 Q Well, can you describe some of the training that you  
6 go through before you can work at laboratory like Scales?

7 A Sure. Scales Laboratory and DNA Security are both  
8 ASCLD accredited laboratories. ASCLD stands for the American  
9 Society for Crime Laboratory Directors. This is an  
10 accreditation body that is basically considered the gold  
11 standard in forensic DNA testing.

12 They, in conjunction with the FBI, have set forth  
13 guidelines that their accredited laboratories have to follow.  
14 Those guidelines, among other things, entail what kind of  
15 training and education an analyst must have.

16 To be an analyst, you are required to have an  
17 undergraduate degree in either chemistry or biology, with a  
18 concentration in certain areas, so you have to complete  
19 certain courses.

20 Also, once you have that education experience, you  
21 need at least six months of supervised, on-the-job forensic  
22 casework experience as well. So when I first started at DNA  
23 Security, I had to be fully supervised for my first six  
24 months.

25 After that six months, I was required to take an exam

1 and to show and demonstrate that I had done testing on a wide  
2 variety of forensic samples, and that I had met all the  
3 requirements set forth by ASCLD in order to be considered a  
4 fully qualified analyst.

5           So the training I received on the job, as well as my  
6 education background qualified me to do the kind of work that  
7 I do today.

8           Q     And what date did you become a full-scale analyst  
9 with DNA Security?

10          A     It was in February of 2006.

11          Q     Ms. Moyse, have you ever testified as an expert  
12 witness in -- as an expert in forensic science specializing in  
13 DNA analysis?

14          A     Yes, I have. I believe this is my tenth time.

15          Q     What jurisdictions have you testified in as an expert  
16 in that area?

17          A     I've testified here in Montana twice, also in several  
18 counties in North Carolina, and several counties in the state  
19 of Mississippi as well.

20          Q     And on each of those times, did the Court recognize  
21 you as an expert in your field in DNA analysis?

22          A     Yes, that's correct.

23                MR. TWITO: Your Honor, at this time I'd move to  
24 qualify Ms. Moyse as an expert in forensic science  
25 specializing in DNA analysis.

1 MS. HOOD: I don't object to that.

2 THE COURT: And you may -- she is recognized, as you  
3 say, and you may question her as an expert in her area.

4 Q (By Mr. Twito) Ms. Moyse, we all heard from Phil  
5 Kinsey, the director of our state crime lab, and he gave us a  
6 little background on DNA and some of the terminology that we  
7 use, so I'll briefly go through that with you and then we'll  
8 get to your results.

9 A Sure.

10 Q Do you use the word "locus"? How do you use the word  
11 "locus" in your profession?

12 A The word "locus" is another word for location. What  
13 we are essentially doing in DNA testing is looking at  
14 locations along the DNA molecule. DNA is a big long chemical  
15 structure that we inherit. We get half of our DNA from our  
16 mom and half of our DNA from our dad. Their DNA combines to  
17 form a new individual.

18 The whole premise of DNA testing is, whether it be  
19 items that we're looking at is a blood sample from a known  
20 person, something we call a reference because we know it came  
21 from an individual, or if it's an item of evidence and we're  
22 not really sure if there's DNA or how much DNA or whose DNA it  
23 is.

24 We are always looking at the same set of locations,  
25 so we are comparing apples to apples. At DNA Security at the

1 time that we were doing this testing, we looked at a total of  
2 16 locations, or loci, locus. Sixteen different locations  
3 along the genetic code along our DNA. And so the same 16  
4 locations are looked at for every item of evidence or every  
5 individual's reference sample so we can compare side by side  
6 if there is a match or not, or if they are consistent or not.

7 And so that's the whole premise for the DNA testing,  
8 it's on these loci, or the plural for locus. That just means  
9 location that we're looking at on the DNA molecules.

10 Q Ms. Moyse, the way that the Montana State Crime Lab  
11 was set up, through the testimony that we heard earlier today,  
12 was that their serology department had a serologist looking at  
13 items, perhaps remove a stain from an item or swab an item.

14 Can you tell us what was the set up at DNA Security  
15 and whether or not you participated in the obtaining of swabs  
16 or staining of certain items that are sent to you?

17 A Sure. That's usually the first step in any type of  
18 forensic DNA examination. The majority of the time we're  
19 getting in items of evidence such as clothing or objects from  
20 a crime scene. And the whole objective is to, A, first  
21 identify if there is any biological fluids on there.

22 Biological fluids are blood, saliva, semen, these are  
23 all the fluids in which it's possible to obtain a DNA profile  
24 from. Our DNA is found in our cells, every cell in our body,  
25 with the exception of red blood cells, has DNA in it. And so

1 saliva has our cells in there, our blood has cells, semen,  
2 vaginal secretions, whatever the case may be.

3 So initially we need to ascertain whether or not  
4 there are some of these biological fluids present or not. So  
5 typically we'll look at an item and then determine whether or  
6 not there may be some biological fluids on there from which we  
7 may be able to get a DNA profile.

8 So in this case, and many others, I would examine  
9 items, look for maybe blood or semen or whatever the case  
10 called me to look for. And if those stains were, in fact,  
11 present, I may cut them out, or sometimes swab them, whatever  
12 was more appropriate for collecting that sample, and then take  
13 it forth to the next step in order to try and obtain the DNA  
14 profile from that item.

15 That's typically referred to as a serology test or  
16 presumptive test is usually what I use. It's a presumptive  
17 test to see if blood or semen or saliva or whatever the case  
18 may be is in fact present on an item.

19 Q Thank you.

20 Ms. Moyse, your work, either in the presumptive  
21 testing or result analysis, if you have an evidentiary DNA  
22 sample and compare it to a known sample, is that all peer  
23 reviewed?

24 A Yes. All of the techniques that we use are widely  
25 accepted peer-reviewed techniques. And above and beyond that,

1 there are techniques that have to be validated by the  
2 laboratory, again, under the ASCLD accreditation guidelines,  
3 you have to extensively validate every technique that you use  
4 before you use it on any kind of a real case.

5 So every technique, every test procedure that is done  
6 throughout this type of a case is done using procedures that  
7 have been studied, and you have written up a validation study  
8 of that particular procedure so they are accepted and peer  
9 reviewed and generally used widely in the scientific  
10 community.

11 Q And that was all in place back when you worked with  
12 DNA Security?

13 A Yes, it was.

14 Q All right. I want to talk to you about the specific  
15 items that you were asked to test in this case.

16 A Sure.

17 Q You talked about before you can make any comparisons  
18 to profiles that you may obtain from a stain or a swab, you  
19 have to have some reference samples.

20 A That's correct.

21 Q Were you provided reference samples in this case from  
22 the Billings Police Department?

23 A Yes, we were.

24 Q Were you provided reference standards from a Gerald  
25 Morris?

1           A       Yes, we were. We were given a blood sample from  
2 Gerald Morris.

3           Q       Okay. And how about a reference standard for Marsha  
4 Whitewolf?

5           A       Yes, we were given buccal swabs from Marsha  
6 Whitewolf. Buccal swabs is another word for mouth swab. They  
7 rub the inside of your cheek with a cotton tip applicator to  
8 obtain the skin cells from the inside of your mouth, and  
9 that's typically used for a reference sample from an  
10 individual. It's called a buccal swab.

11          Q       Okay. And were you given a reference standard for a  
12 Doris Covington?

13          A       Yes.

14          Q       And was that a buccal swab as well?

15          A       Yes, it was. Again, that was a buccal swab.

16          Q       And how about Richard Covington?

17          A       Yes, that was also a buccal swab.

18          Q       Were you given a reference standard for Paul Case?

19          A       Yes, a buccal swab.

20          Q       Were you given a reference standard for John Harris?

21          A       Yes. Again, that was a buccal swab.

22          Q       Okay. And how about a reference standard for Norman  
23 Leighton?

24          A       Yes, that was a blood sample.

25          Q       And how about a reference standard for Patti Hubbert?

1 A Yes. And, again, that was a blood sample as well.

2 Q Okay. And when you received those samples from the  
3 Billings Police Department, those samples, either the buccal  
4 swabs or the blood, those packaging didn't appear damaged or  
5 tampered with in any way?

6 A That's correct. On our submission forms, our  
7 evidence technician at the time did note that all the samples  
8 were received with no signs of damage or tampering.

9 Q Okay. And from those reference standards that we  
10 just discussed, were you able to develop profiles?

11 A Yes.

12 Q All right. Ms. Moyse, I'm handing you what I have  
13 marked as Proposed State's Exhibits 415 and 416. Can you  
14 please take a look at those starting with State's Exhibit 415.  
15 Do you recognize what that is?

16 A Yes. These are two pages from two of our reports  
17 that we sent out after completion of these testings were done  
18 at our laboratory. They have a variety of items on them, I  
19 guess we could go through them individually or -- but it's  
20 basically a table of our DNA results.

21 And it has what we call alleles on here. When you  
22 see them, I'll explain them. When we are looking at those 16  
23 genetic locations, or loci that I had mentioned before, at  
24 those 16 genetic locations we are looking for genetic markers  
25 known as alleles.

1           There are many possibilities of alleles at each of  
2 these genetic markers, and that's what makes someone's  
3 individual profile so unique.

4           When we look at all 16 of them, when we get a  
5 complete profile, usually the likelihood of obtaining that  
6 same profile in the general world population is less than 1 in  
7 999 trillion. So that will tell you that these profiles are  
8 unique, because there's nowhere near that many people on the  
9 earth, or there never will be, but that's what we are looking  
10 at here on these two pages of the report.

11           Q     And these allele tables, would they assist in  
12 explaining your testimony as to those results and those  
13 reference standards?

14           A     Yes, they will.

15           MR. TWITO: Your Honor, at this time I'd move for  
16 admission for demonstrative purposes State's Exhibits 415 and  
17 416.

18           MS. HOOD: No objection.

19           THE COURT: Received. And they may be published.

20           (Whereupon, State's Exhibit Nos. 415 and 416 were  
21 received in evidence.)

22           Q     (By Mr. Twito) Okay. We're looking at 415 here, if  
23 I'm not mistaken. And your name appears at the bottom of  
24 that?

25           A     Yes, it does.

1 Q And these results typically come with your reports  
2 that you generate?

3 A That's right. This is basically just a visual  
4 presentation of DNA profiles. Each column represents a  
5 different specimen that we tested in the laboratory, and the  
6 numbers going down the columns are a number representation of  
7 those genetic markers that we have seen at those 16 genetic  
8 locations.

9 The first column on the left, if you can see --

10 Q We'll bold it up there.

11 A There you go.

12 Q Okay.

13 A -- that very first column on the left that says  
14 "System" on the top, that's the 16 genetic systems, the 16  
15 different genetic loci that we look at when we do these tests.  
16 So all those long name -- or letter and number titles that  
17 they're given are listed on that left-hand column.

18 The next --

19 Q Let me -- I'm sorry to interrupt. Do most, a lot of  
20 labs have the same markers or utilize the same markers?

21 A Yes. These 16 markers in particular are part of a  
22 commercially wide kit known as Identifiler. This is widely  
23 used by many different laboratories. It's a commercially made  
24 kit by a company called Applied Biosystems, and it's widely  
25 used, a lot of laboratories do use it and therefore will end

1 up with these exact same 16 locations.

2           There are some other kits available that have  
3 slightly variable locations on them. They may have some  
4 similar to this and then a couple extra or a few less, but  
5 this particular one is known as Identifiler, and it's used  
6 widely throughout the community.

7           Q     Let's start with, it says spec A and work down.

8           MR. TWITO: Kimberly, can you just sort of highlight  
9 that first two columns there.

10          THE WITNESS: Okay.

11          Q     (By Mr. Twito) Okay.

12          A     That's specimen A, as you see underneath it, it has a  
13 number 16807. Every item that comes into the laboratory at  
14 DNA Security is given its very own specimen number, and that's  
15 what that particular specimen number is.

16                When I refer to the next -- a previous page on my  
17 report, it tells me that 16807 corresponds to the blood sample  
18 that was from Gerald Morris.

19          Q     Okay.

20          A     And when we go down through that column, you will see  
21 some NR and some INC's. That particular reference blood  
22 sample did not give us a full profile from that particular  
23 individual. There were some missing genetic information  
24 there.

25                This can happen if it's not an ideal blood sample, if

1 a victim was found maybe several days later after death and  
2 the autopsy was not performed right away. Decomposition  
3 usually will affect the quality of the DNA that you can get  
4 from a blood sample, so that was most likely what happened  
5 here.

6 The blood that was obtained was not pristine and  
7 therefore we did not get a full DNA profile for this  
8 particular individual, but we did get a partial profile.

9 Q Sufficient enough to identify it as Gerald Morris?

10 A Yes.

11 Q Okay. Let's go to specimen B. Who is in -- who is  
12 specimen B?

13 A This is the buccal swab from Marsha Whitewolf. And  
14 this example you will see that we did get an allele call for  
15 all 16 of those genetic loci that we tested, so this is a full  
16 profile from Marsha Whitewolf.

17 Q Okay. And then specimen C?

18 A This is from D. Covington. Again, we did get a full  
19 profile from the buccal swab taken from D. Covington.

20 Q Okay. Okay. That's from Doris Covington?

21 A Um-hum.

22 Q And then specimen D?

23 A Specimen D, again, our specimen number is 16810, and  
24 that corresponds to the buccal swab taken from Rick or Richard  
25 Covington. Again, here we did get a full complete DNA profile

1 from him, so this is his individual DNA profile.

2 Q Now, I want to keep that up there for just a second.  
3 Interesting -- you said something that was very interesting to  
4 me. You produced a statistic for these profiles even though  
5 they are known profiles.

6 A That's correct.

7 Q Why do you do that? Why did you do that at DNA  
8 Security?

9 A Really, a DNA profile, it's strength is in its number  
10 really. What we are trying to do when we calculate a  
11 statistic is to tell you how common or uncommon this  
12 particular DNA profile is in the general world population.  
13 Because if you then match it to an item, it tells you how  
14 strong that match is.

15 So, for example, on all of these samples in which we  
16 got a complete profile from Marsha Whitewolf, D. Covington,  
17 Rick Covington, and Paul Case, the likelihood of getting this  
18 DNA profile from a randomly selected person in the general  
19 world population is less than 1 in 999 trillion.

20 So the chances of this matching someone else are less  
21 than 1 in 999 trillion. And that statistic is what gives this  
22 DNA profile its value, that tells you how rare it is, and  
23 that's why we always provide a statistic with any profile that  
24 we can when we do this type of testing.

25 Q Okay. And then specimen E, if you would just -- so

1 we can see who we have here. And who is specimen E?

2 A This is also a buccal swab taken from Paul Case.

3 Q All right. Now, I want to turn your attention to  
4 State's 416, and I want to -- you have 416 up there?

5 A Yes, I do.

6 Q And who do we have in specimen A in 416?

7 A Specimen A, our lab number 16816, corresponds to the  
8 blood sample taken from Patti Hubbert. And, again, this was a  
9 full profile that we obtained.

10 Q And then specimen B?

11 A That was taken from Mr. Leighton, that was our sample  
12 number 16819. Again, it was a blood sample and it was a full  
13 profile.

14 Q And then finally on this chart, specimen C.

15 A Specimen C is from John Harris, and it was a buccal  
16 swab from him, full profile.

17 Q And so we've just gone through all the reference  
18 standards that you had in order to do that side of the  
19 comparison analysis.

20 A That's correct. And I should mention, at DNA  
21 Security, we actually had two completely separate areas of the  
22 laboratory in which we did reference samples such as these,  
23 samples from known individuals, and evidence items were tested  
24 in a completely separate area, you know, that eliminated any  
25 chance of reference items coming in contact with evidence

1 items. So all of these reference items were done in that  
2 reference area in the laboratory.

3 Q Okay. Now, on this chart that we -- that we still  
4 have up there --

5 MR. TWITO: Kimberly, if you can pull it back up to D  
6 and E.

7 Q (By Mr. Twito) -- I want to talk a little bit about  
8 results now; okay. We have your reference standards out  
9 there. Are there two specimens here that are represented in  
10 this allele table that are actually from evidentiary items?

11 A Yes. The last two items in this table, items D and  
12 E, our sample is number 16813 and 16818, those were two DNA  
13 profiles that were from items of evidence that we tested.

14 Q Okay. And are they -- what does your report indicate  
15 that your item 16813 was that you received from the Billings  
16 Police Department?

17 A It was a swab taken from a telephone cord, Billings  
18 Police Department, item number 152.

19 Q Okay. And what results, if anything, did you get  
20 from your comparison of that profile that we see in specimen D  
21 to -- in your comparison to your known reference standards?

22 A Okay. Well, again, whenever we get an item of  
23 evidence and get a profile from it, then we do -- look at the  
24 reference samples that we receive in a case to see if there is  
25 a match to anybody.

1           In this particular item, the swab taken from the  
2 telephone cord produced a full profile which matched the  
3 reference sample taken from Mr. Leighton, which we saw earlier  
4 in that same table. It was sample number 16819.

5           Q       So we see it here on -- we've just taken part of  
6 State's 416, just the other column, and just sort of put them  
7 together; is that correct?

8           A       That's correct. Specimen B there represents the full  
9 profile obtained from Mr. Leighton. And as you look down that  
10 column, those are the allele calls, or genetic markers that I  
11 mentioned. As you look down, you can see there's an exact  
12 match to the two evidence items, specimen D and E.

13                   So you see he had a 10-15 -- the evidence items had a  
14 10-15. He had a 30, 31.2, the evidence items had a 30, 31.2.  
15 And those numbers are known as alleles, and that's what we  
16 inherit from our parents. They match the entire way down this  
17 profile from the evidence items to that reference sample of  
18 Mr. Leighton, and that indicates that these are consistent  
19 with each other. The DNA on those items had to have come from  
20 Mr. Leighton.

21           Q       Okay. Then let's -- what did you identify in your  
22 report as specimen E?

23           A       Specimen E was a swab taken from a bottom of a  
24 walker, the Billings Police Department number 131. That too  
25 gave us the exact same DNA profile from that other item of

1 evidence from the telephone cord, and, again, was a match to  
2 Mr. Leighton.

3 Q Okay.

4 MR. TWITO: Thank you, Kimberly.

5 Q (By Mr. Twito) Okay. I want to turn your attention  
6 now -- well, first of all, you issued quite a few reports in  
7 this case, did you not?

8 A Yes, we did. I think it was around 13 or 14 reports.

9 Q I'd like to direct your attention to certain reports  
10 and ask you questions from the results of those reports, or  
11 lack of results from those reports.

12 A Sure.

13 Q I turn your attention to a report date December 19,  
14 2006, page 1 of two, five items appear on that report starting  
15 with specimen A, hair 3, and then specimen B, hair 4. Do you  
16 have that report in front of you?

17 A Yes, I do.

18 Q Okay. I want to talk to you about some of your  
19 results there. What -- and it goes A through E; is that  
20 correct?

21 A That's correct.

22 Q I'd like to talk to you about your specimen C.

23 A Okay.

24 Q What was the item that you identified in your  
25 specimen C?

1           A       This was a swab that I collected from the inside neck  
2 area of a T-shirt, Billings Police Department item number 142.

3           Q       Okay. And so you actually did the swabbing there?

4           A       Yes, I did.

5           Q       Okay. Inside neck. What do you mean when you swab  
6 the inside of the neck of the shirt?

7           A       When we're looking to find who wore an item of  
8 clothing, we swab the inside of that clothing looking for  
9 epithelial cells. Epithelial cells is just another word for  
10 skin cells. We all know that we are constantly shedding our  
11 skin cells, and a great place for that is on the inside of our  
12 clothing.

13                    So we typically will swab the inside neck area, or  
14 underarm area, or on the shoulder seams, areas that have  
15 really good contact with the wear. So that's what I was  
16 trying to achieve in this particular sample. It was a  
17 T-shirt, I wanted to see if I could get skin cells from  
18 whoever was wearing that T-shirt, so I swabbed the neck area  
19 for that particular item.

20           Q       Okay. Now, this particular item, this wasn't like a  
21 burned T-shirt or just coated in blood and you were swabbing  
22 the neck because it was bloody. It was just swabbing the neck  
23 to see who possibly could have worn this shirt.

24           A       That's right.

25           Q       Okay.

1           A       I believe there is one small stain that we'll  
2 probably discuss later, but there was no major blood on that  
3 shirt, and we were looking to see who the wearer of that  
4 T-shirt was.

5           Q       Okay. And what was the results of your tests on your  
6 specimen C, the inside neck of the T-shirt swab?

7           A       I did obtain a full profile from that swabbing that I  
8 took from the neck area. And when compared to our reference  
9 samples, it was consistent with the DNA profile taken from  
10 Ms. Whitewolf which was our sample number 16808.

11          Q       And then your specimen D in this particular report,  
12 what is that item described as?

13          A       That was a swab that I took from the inside shoulder  
14 area of the T-shirt, Again, trying to achieve the same thing,  
15 trying to collect skin cells from whoever may have worn that  
16 T-shirt.

17          Q       Okay. And, again, from item number 142 from the  
18 Billings Police Department?

19          A       Yes, it was the same item number.

20          Q       Okay. And what were the results of that?

21          A       Again, I was able to obtain a full profile which  
22 matched the profile from the neck area which was consistent  
23 with that of Marsha Whitewolf.

24          Q       And then you also you -- what about your specimen E  
25 in this report, what was that?

1           A       Specimen E was a swab, in this case it was not a swab  
2 that I had collected, it was a swab that was received by us,  
3 taken from tag number 23, and it was Billings Police  
4 Department item number 198.

5           Q       But it didn't have -- in the submission form, it  
6 didn't have any other identification on it?

7           A       No, that was the way it was described to us. Swab  
8 from tag No. 23. That's all we had.

9           Q       Ms. Moyse, you haven't seen this, but this was just  
10 introduced by the previous witness. It's a list of some  
11 swabbings that the Billings Police Department did. Do you see  
12 item numbers that match on this 421 that match your specimen  
13 E?

14          A       Yes, I do.

15          Q       Okay. And that is the third one down.

16                 MR. TWITO: Kimberly, if you could zoom in on that.

17                 THE WITNESS: That's correct. The third one down has  
18 items number 23 and 198, and those correspond with the swab  
19 that we received.

20          Q       (By Mr. Twito) All right. Thank you.

21                 And what were the results, I should ask, of that  
22 testing of that swab?

23          A       This particular swab yielded a mixture profile. A  
24 mixture profile means that there is more than one person's DNA  
25 mixed together. We can tell that, because, as you may

1 remember on the table we had up there earlier, we get one of  
2 our genetic markers from our mom and one of our genetic  
3 markers from our dad.

4           So we should at all times have a maximum of two  
5 genetic markers. Sometimes we can only have one if mom and  
6 dad gave us the same genetic marker, there is the possibility  
7 of that, but no more than two. If we see more than two, we  
8 know that most likely there's another individual in that  
9 profile as well.

10           And that was the case for this particular item, it  
11 indicated a mixture profile. None of the reference samples  
12 that we had were included in that mixture. All references  
13 obtained were actually excluded as contributors to that  
14 mixture.

15           Q     So even Patti Hubbert was excluded?

16           A     Yes.

17           Q     Norm Leighton was excluded?

18           A     Yes.

19           Q     Rick Covington was excluded?

20           A     Yes.

21           Q     Gerald Morris was, excluded?

22           A     Yes.

23           Q     And so on and so forth?

24           A     Yes, that's correct, all references.

25           Q     I want to turn your attention to another section of a

1 report dated December 19th, 2006, it's page 1 of two. It  
2 starts with an A swab, B swab, C swab, D hair.

3 A Yes, I have it.

4 Q Okay. You have -- with regard to specimen A, what do  
5 we have here?

6 A This, again, was a swab, not that I took, but a swab  
7 that was received from Billings Police Department, described  
8 as swab from tag number 174 cup, also item number 210.

9 MR. TWITO: Kimberly, if you would put up 421 again.

10 Q (By Mr. Twito) Looking again at the diagram that's  
11 previously been introduced, do you see those numbers from your  
12 report anywhere on this page?

13 A Yes, I do. Third from the bottom, they have the item  
14 numbers that correspond to this swab.

15 Q All right. And let's see -- okay. And did you get  
16 any results from your analysis on specimen A?

17 A Actually no. We were not able to obtain any DNA  
18 profile from this particular item. We called it just no  
19 results.

20 Q And then with regard to your specimen B and specimen  
21 C, can you identify what those are that you tested?

22 A Sure. Specimen B was, again, a swab received,  
23 described as swab from tag number 192 ends, and specimen C was  
24 swab from tag number 192 middle, items 211 and 212.

25 Q All right.

1           MR. TWITO: And, again, Kimberly if you would put up  
2 421.

3           Q     (By Mr. Twito) Do you see where those -- your  
4 numbers reflected in your report are on the diagram?

5           A     Yes, the two bottom.

6           Q     Okay. So you put ends and middle as it was described  
7 to you. It's possible they were trying to describe where they  
8 swabbed the item?

9           A     I'm assuming.

10          Q     What were your results of testing these two swabs?

11          A     Specimen B, again, yielded a mixture but it was only  
12 a partial mixture. In my opinion at the time, there was not  
13 enough genetic material present within that profile to make  
14 any kind of conclusive comparisons.

15                 In a DNA profile, when there's not enough there, if  
16 we don't get a full profile, if there is obviously things  
17 missing in that profile, we get no results for some of those  
18 16 genetic locations that we look at, we have to make kind of  
19 a call as an analyst as to whether the quality of that profile  
20 is high enough to make comparisons to known reference samples.

21                 In that particular case, I did not feel it was.  
22 There was not enough genetic information in there. Item C,  
23 the swab from tag number 192, in the middle, yielded a partial  
24 profile. It was not a mixture, it was just a single-person  
25 profile, but, again, only a partial profile.

1           Meaning at some of those 16 genetic locations we look  
2 at, we did not obtain a result. But generally it wasn't too  
3 bad of a profile. Unfortunately, it did not match any of the  
4 reference samples that we had tested in this case.

5           Q       So the swabs from the metal coat hangers in garbage  
6 truck didn't match anybody involved that you had for reference  
7 samples?

8           A       That's correct.

9           Q       Okay. And then, finally, with regard to this report,  
10 your specimen D, what is that described as?

11          A       Specimen D was a hair from container tag number 126,  
12 item number 215.

13          Q       Now, is that 126 on your report, do you believe now  
14 to be a typographical error?

15          A       That's right. I've been informed that it was wrong  
16 on the submission form, it should actually be tag number 216.

17          Q       Okay. And that came from item 215; is that correct?

18          A       Yes.

19               MR. TWITO: If you could put up 424, please.

20          Q       (By Mr. Twito) Do those -- now, I guess with that  
21 corrected number, does that now correspond to the numbers you  
22 had in your report?

23          A       Yes, on the bottom there, um-hum.

24          Q       Okay. All right. And what result did you get with  
25 regard to this specimen?

1           A       This specimen D, the hair from the container, tag  
2 number 216, we were not able to get any result from that.  
3 Hairs are a difficult thing. You need to have a pretty  
4 significant root tag attached to the hair in order to obtain a  
5 DNA profile, because that's actually where we're getting the  
6 DNA from, would just be from the skin that's attached to the  
7 root end of the hair.

8                    If there's not enough of that skin attached, we won't  
9 be able to get a profile, and that was the case with this  
10 particular hair, we did not get a profile.

11           Q       Ms. Moyse, can heat degrade the ability to get a  
12 profile?

13           A       Yes. There's several factors that do something, what  
14 we call degradation, to the DNA molecule. The DNA molecule is  
15 really, really long and really, really complex, and certain  
16 things such as high heat exposure to UV light, moisture and  
17 bacterial and mold, they can all degrade the DNA.

18                    And that means literally the DNA molecule is being  
19 eaten away. So some of these factors can degrade the DNA  
20 molecule which prevents us from obtaining a profile at all or  
21 maybe just a partial profile.

22           Q       Ms. Moyse, if I told you that that hair was recovered  
23 within a big bunch of fire debris, does that surprise you that  
24 you didn't get results?

25           A       No. I mean, hairs are tricky to begin with, like I

1 said, due to the root tag. But things that have been exposed  
2 to a fire, regardless of how good an item may have been, fire  
3 is definitely going to come into play in the degradation of  
4 the DNA.

5 Q Thank you.

6 Now I would like to turn your attention to one of  
7 your reports dated December 20, 2006. It starts with  
8 specimen, specimen A at hair 1?

9 A Yes, I have it.

10 Q And I believe there are five specimens in this  
11 report. Could you identify each of those as to what they are?

12 A Sure. Items A, B, C, and D are described as hairs 1,  
13 2, 3, and 4, and they were all taken from tag number 114, item  
14 number 207. And then that final sample, specimen E, was a  
15 clump of hair, also from tag number 114, item number 207.

16 Q And what were the results of your testing with regard  
17 to your specimens A through E on this report?

18 A With these particular hairs and with the clump of  
19 hair, we were able to obtain profiles for all of them, full  
20 profiles. This full profile from the hairs was then compared  
21 to our reference samples and was found to be consistent with  
22 the reference samples on Mr. Leighton in this particular item.

23 Q Okay.

24 MR. TWITO: Kimberly, if you would put up 424.

25 Q (By Mr. Twito) And do the numbers from your report

1 correspond to one of the horizontal columns here?

2 A Yes, the top row.

3 Q Okay. All right. I would like to turn your  
4 attention to another report dated December 20, 2006. There  
5 were three specimens, A through C, hairs 1, 2, and 3.

6 A Yes, I have it.

7 Q And what -- how are those items identified in your  
8 report?

9 A Those are three hairs from duct tape tag number 217,  
10 item number 223. We actually had a typographical error there  
11 as well on that item number. We had it written as 127, it  
12 should actually be 217.

13 Q And what results, if any, did you get for your  
14 specimen A?

15 A The hair 1, specimen A, we obtained a partial  
16 profile. Again, there was no match to any of our reference  
17 samples. This was a case, again, where we just were not able  
18 to obtain a result at all 16 of those genetic locations.

19 I think we got them at about nine out of the 16  
20 genetic locations, and those nine that we did obtain were  
21 compared, and there was no match to any of the reference  
22 samples in this particular case.

23 Q Okay. And then your specimen B?

24 A Specimen B, another hair was another partial profile,  
25 again, that indicated a mixture. So we have that situation

1 where it looks like there's more than one person in there, but  
2 we did not get a full mixture profile. There is still some  
3 genetic information missing.

4 What we did have was compared to the reference  
5 samples, again, no complete match but this is another scenario  
6 where the quality of the profile was not really sufficient to  
7 make any real conclusive comparisons. And then, finally, the  
8 third hair, specimen C, no results were obtained from that  
9 hair whatsoever.

10 MR. TWITO: And just so we can keep corresponding  
11 those numbers, Kimberly, could you pull up 424 one more time.

12 Q (By Mr. Twito) And do those numbers that you  
13 identified in your report, do they appear in this exhibit as  
14 well?

15 A Yes, in that middle row.

16 Q Okay. All right. Now, I would like to turn your  
17 attention to a report dated January 3, 2007. It begins with  
18 specimen A and identifies a cutting.

19 A Yes, I have it.

20 Q With regard to your specimen A, how is it identified?

21 A This is a cutting that I took from a T-shirt which  
22 was item number 142.

23 Q Is that the same T-shirt that we talked about earlier  
24 that you did the swab from the shoulder and from the neck  
25 area?

1           A     Yes, it's the same T-shirt.

2           Q     All right.  And why did you take a cutting this time  
3 rather than just swab?

4           A     This particular time I was trying to obtain a blood  
5 sample that I had examined on the sleeve of the T-shirt.  I  
6 did a presumptive test on the stained area that I saw, and it  
7 was positive for hemoglobin using a test that we call the  
8 phenolphthalein test.

9                     It's a presumptive test that we use to determine  
10 whether a particular sample may be blood or not.  In this case  
11 it was positive, so I then took a cutting from that stained  
12 area and tried to obtain a DNA profile from that stain.

13          Q     Okay.  And what did you find out?

14          A     I did get a full profile from that blood stain, and  
15 that matched or was consistent with the reference sample from  
16 Marsha Whitewolf.

17          Q     Okay.  So this blood on the shirt, was there just a  
18 lot of it in this area where you did this cutting?

19          A     I don't believe -- I don't have a picture in my  
20 notes.  I don't believe it was an extensive amount of blood.

21          Q     Okay.  And it was on a sleeve area?

22          A     It was a sleeve area.

23          Q     Do you recall the color of the shirt?

24          A     I don't.  I don't have the exact color written down  
25 and I don't remember exactly what it was.

1 Q Okay. I'd like to turn your attention to swab -- or  
2 your specimen D and specimen E in that report.

3 A Okay. Specimen D and E, again, were swabs that I  
4 took from the left shoe which was item number 99 that we  
5 received.

6 Q Okay. And what is item 99 described as?

7 A Item number 99, I'll have to go to my submission  
8 form. It was just described as the left shoe from Hubbert.

9 Q Okay. And did you photograph this item during your  
10 testimony?

11 A Yes, I did. When I took that shoe out, I observed  
12 several areas that seemed to be obvious blood. I took a  
13 picture of that and then circled the areas on the photograph  
14 where I sampled blood stains from that shoe. I took eight  
15 separate samples from that particular shoe.

16 Q Okay.

17 MR. TWITO: And can you pull up State's 423.

18 Q (By Mr. Twito) Does this State's 423 appear to match  
19 the shoe that you observed in that area of blood stains?

20 A Yes, it does.

21 Q All right. And how many swabs did you take of  
22 that -- from that left shoe?

23 A I took eight different swabbings from eight -- I  
24 tried to take eight kind of separated areas of blood on that  
25 shoe.

1 Q Were you also -- were you only sent a left shoe, or  
2 were you also sent a right shoe?

3 A We were also sent the right shoe.

4 Q Did you do any swabbing of the right shoe?

5 A No. I made a note in my notes that there was no  
6 apparent blood on that particular right shoe, and so no  
7 samples were taken.

8 Q All right. What were the results of the swabbings  
9 that you took from the left shoe?

10 A Again, I took eight separate swabbings, and all eight  
11 of those yielded a full profile. This profile was then  
12 compared to those reference samples and was found to be  
13 consistent with the reference samples from Ms. Hubbert.

14 Q All right. I would like to turn your attention now  
15 to actually a report dated January 3, 2007. It begins with  
16 specimen A, a swab, and through specimen E. They're all  
17 swabs?

18 A Yes.

19 Q Do you have that report in front of you?

20 A Yes, I do.

21 Q What is your specimen A identified as?

22 A This, again, is a continuation of the swabs that I  
23 took from the left shoe. Specimen A is swab 3 from the left  
24 shoe, item number 99.

25 Q And the results of all those swabs were that it was

1 from Hubbert?

2 A That's correct. All eight of those swabs taken from  
3 the shoe, again, gave full profiles which were consistent with  
4 that of Hubbert.

5 Q Okay. I'm sorry, the report I'm referencing is "and  
6 another swabs." I believe your first line, might be several  
7 reports down, but it's going to be your specimen A, I believe,  
8 is identified by your lab number at 17126.

9 A Okay. Yes, I have that one.

10 Q Okay. That's the report I'd like to refer to at this  
11 time.

12 A Okay.

13 Q What -- with regard to specimen A of that report, how  
14 is that identified?

15 A This was a swab that we received. It was described  
16 as swab from tag number 48, item number 199.

17 Q And what were the results of that?

18 A This was a profile, again, that yielded a mixture of  
19 more than one individual. However, when we did compare this  
20 mixture to all the reference samples, it was found that  
21 Hubbert sample could not be excluded as a contributor to this  
22 profile.

23 When we have a mixture, we have to be careful of the  
24 wording that we use. Usually in the forensic community when  
25 you have just a single source sample on -- from a reference

1 item, and then you have a single source sample from an other  
2 evidence item and they match, we usually use the word match or  
3 it's consistent with.

4           However, when you have a mixture profile, there's  
5 obviously more than one person in there. We have to use the  
6 words "can be excluded" or "cannot be excluded" from that  
7 mixture.

8           Since there's all kinds of genetic markers in there  
9 from more than one individual, you're basically looking at  
10 your reference items to see if all those reference alleles  
11 from that individual can be found in that mixture.

12           So in this particular case when we compared  
13 Ms. Hubbert's reference profile to that mixture, all of her  
14 genetic markers were present within that mixture. There are  
15 other markers there as well, but all of hers are in there.

16           With the other reference samples in the case, no one  
17 else's full genetic profile was contained in that mixture, but  
18 hers were.

19           Q     And it was identified as a swab. It wasn't a swab  
20 that you had done?

21           A     No, it was a swab that we received.

22           MR. TWITO: And so, Kimberly, if you'd pull up  
23 State's 421.

24           Q     (By Mr. Twito) And those numbers appear on this  
25 diagram that correspond with your report?

1           A       Yes, it's the fourth one down. BPD item number 48  
2 and swab number 199.

3           Q       All right. And with regard to your analysis of  
4 specimens B, C, and D, can you identify what those are and  
5 discuss the results that you found.

6           A       Sure. These were three more swabs that we received.  
7 B is a swab from tag number 89, and C is a swab from tag  
8 number 91 ends, and D is a swab from tag number 91 middle.  
9 These three evidence items yielded full single-source or  
10 single-person DNA profiles, and those were consistent with the  
11 reference profile from Ms. Hubbert as well.

12          Q       Okay.

13               MR. TWITO: And, Kimberly, again, if you would pull  
14 up 421.

15          Q       (By Mr. Twito) And your laboratory numbers  
16 correspond to the numbers on the diagram?

17          A       Yes. We have swab from 89 and item number 200, and  
18 then 91, and 91 middle and ends, which is items number 201 and  
19 202.

20          Q       Okay. And then in regard to your specimen E, how is  
21 that identified and what results did you get?

22          A       This was a swab, again, that we received from tag  
23 number 93 ends, item number 203. This gave a partial mixture  
24 again. We've encountered that several times before. It's a  
25 mixture. There's several people probably in there, but there

1 are areas that we didn't receive any -- or we didn't obtain  
2 any genetic information at all, so it's a partial mixture.

3 In this particular one we didn't feel comfortable  
4 comparing it to the reference samples provided, and so we just  
5 kind of left it at that. We didn't make any conclusive  
6 comparisons in this particular scenario.

7 Q Okay. So there's all those people -- did you make --  
8 are they all excluded then, those reference samples?

9 A Well, I have noted that they all are excluded, but we  
10 had to be cautious. Again, we always try to err on the side  
11 of caution and use conservative approaches when we're  
12 approaching these partial mixtures.

13 At the time that I submitted this report, we did not  
14 compare it to any of the reference samples, we just said it's  
15 a partial mixture, not enough DNA there to really make a  
16 comparison. But what is there does exclude all of the  
17 reference samples.

18 Q All right. Turn your attention to one last report  
19 dated January 4, 2007. It begins with specimen A swab, goes  
20 all the way through specimen E, all of them are swabs. Do you  
21 have that report in front of you?

22 A Yes, I believe I do.

23 Q I believe it'd probably be easier if I just said it  
24 starts with 17131.

25 A Yes, okay. I have that one.

1           Q     I guess, starting with specimen A, could you identify  
2 what that was and what your results were?

3           A     Sure. Specimen A was a swab we received from tag  
4 number 93 middle. Again, a partial profile was obtained,  
5 however there were -- let me have a look, it was all but one  
6 of the 16 genetic locations we were able to obtain a result  
7 for.

8                     So 15 out of 16, we still had to call it a partial.  
9 However at the 15 out of the 16 that we did obtain, the  
10 profile was consistent with that from Ms. Hubbert.

11          Q     Okay. And that's that from tag number 93, item 204?

12          A     That's correct.

13          Q     All right. And let's before -- let's go through your  
14 specimen B. What is that identified as and what were the  
15 results?

16          A     Specimen B was also a swab we received from tag  
17 number 114, item number 205. This also gave us a mixture  
18 containing DNA from more than one individual. And this  
19 scenario, when we compared our reference samples to this  
20 mixture, the reference -- the alleles, the reference sample  
21 from Mr. Leighton could not be excluded as a contributor to  
22 this mixture profile.

23                     So his profile is found within that mixture profile  
24 obtained from that item, so he cannot be excluded as a  
25 contributor to that mixture profile.

1           Q       Okay.  And then with regard to exhibits -- or excuse  
2 me, specimen C, that again is the same, tag number 114, item  
3 206, but it's just a different area?

4           A       That's right.  This is described from tag number 114  
5 in the middle, and we obtained a full profile, single-person  
6 profile from this item which was consistent with that from  
7 Mr. Leighton.

8           Q       Okay.  And with regard to your specimens D and E,  
9 could you please identify those and discuss your results?

10          A       Sure.  Both of these swabs were taken from tag number  
11 134, D was taken from the ends, and E was taken from the  
12 middle, items 208 and 209 respectively.  These also each gave  
13 mixture profiles.

14                   These were full mixture profiles in this particular  
15 case with the exception of one genetic location.  And all of  
16 the reference samples, all seven reference samples in this  
17 particular case were excluded as contributors to these  
18 mixtures.

19          Q       Okay.

20                   MR. TWITO:  And, Kimberly, could you put up 421.

21          Q       (By Mr. Twito)  And correct me if I'm wrong,  
22 Ms. Moyse, but these came from tags -- these five specimens  
23 came from tag 93, tag 114 and tag 134; is that correct?

24          A       That's correct, yes.

25          Q       And do those numbers correspond to the numbers on

1 this chart?

2 A Yes, they do.

3 Q And just with regard to this mixture that you got on  
4 specimens D and E, you base -- did you exclude everyone of the  
5 known profiles?

6 A Yes, we did.

7 MR. TWITO: If I may have a moment, Your Honor.

8 THE COURT: You may.

9 Q (By Mr. Twito) Ms. Moyse, did you find -- other than  
10 Ms. Whitewolf on the T-shirt, did you -- were you able to  
11 compare profiles with anyone other than Marsha Whitewolf,  
12 Patti Hubbert and Norman Leighton?

13 A No. Out of all the evidence samples that we did look  
14 at, which was quite a few, we did have that -- those matches  
15 to Ms. Whitewolf on the T-shirt, Ms. Hubbert was on several  
16 items, and then Mr. Leighton.

17 No other reference samples in this case proved to be  
18 consistent with those evidence samples that we tested.

19 Q If I told you that the T-shirt that you tested and  
20 did the cuttings on came from Ms. Marsha Whitewolf's  
21 apartment, actually from the floor of her closet, would you be  
22 surprised that you found what appeared to be a profile  
23 consistent with her DNA from those areas that you tested?

24 A No. Since we swabbed the inside of that shirt, it  
25 wouldn't surprise me that the owner, if it was found in her

1 own apartment, since she obviously had it on, her DNA was on  
2 the inside and her blood was also on that, that would not  
3 surprise me.

4 Q Okay. Thank you.

5 MR. TWITO: I have nothing further.

6 THE COURT: Cross-exam?

7 CROSS-EXAMINATION

8 BY MS. HOOD:

9 Q Good afternoon.

10 A Good afternoon.

11 Q You ran -- you dealt with, what, 29 items, did you  
12 say?

13 A I'm not sure exactly, but I know there was --

14 Q Somewhere --

15 A -- a good many.

16 Q Okay.

17 A That sounds about right.

18 Q And sometimes had multiple swabs from this evidence?

19 A That's correct.

20 Q And none of this evidence matched the reference  
21 sample of Richard Covington, correct?

22 A That's correct.

23 Q And in at least more than once, you had a mixture in  
24 which you excluded everyone that you had reference samples  
25 for?

1           A     Yes, that's also correct.

2           Q     And you had reference samples, of course, for Norm  
3 and Patti?

4           A     Yes.

5           Q     And Doris Covington, Marsha Whitewolf, Gerald Morris,  
6 Paul Case and John Harris, correct?

7           A     Yes, that's correct.

8           Q     And no others?

9           A     Did you mention Leighton? I'm sorry.

10          Q     Norm and Patti.

11          A     Oh, I'm sorry. Yes.

12          Q     Thank you.

13               MS. HOOD: I have no other questions.

14               MR. TWITO: Nothing further, Your Honor.

15               THE COURT: Thank you, ma'am. You can step down.

16               Witness can be excluded -- or excused.

17               Okay. Let's take our afternoon recess here.

18               Ladies and gentlemen, I will admonish you in the  
19 usual fashion: It is your duty not to converse among  
20 yourselves or with anyone else on any subject connected with  
21 this trial or to form or express an opinion thereon, until  
22 this case is finally submitted to you.

23               We'll be in recess for about 20 minutes. Court's in  
24 recess.

25               (Whereupon, the jury exited the courtroom at

1 3:00 p.m., and a recess was had until 3:20 p.m.)

2 THE COURT: Everybody ready?

3 MR. WALD: Yes, sir.

4 THE COURT: Okay. For the record, we are in the  
5 continuation of the trial State versus Covington.

6 Mr. Covington is present with counsel, and all other relevant  
7 personnel are present except for the jury, and you may call in  
8 the jury.

9 (Whereupon, the jury entered the courtroom at  
10 3:21 p.m.)

11 THE COURT: Please be seated. The record may reflect  
12 the jury is all present. State may call its next witness.

13 MR. SOUZA: State calls Dr. Edwin Berry.

14 **EDWIN X. BERRY,**

15 called as a witness on behalf of the State, having been first  
16 duly sworn, testified as follows:

17 DIRECT EXAMINATION

18 BY MR. SOUZA:

19 Q Could you please state your name and spell it for the  
20 record, sir.

21 A Edwin X. Berry.

22 Q And what is your occupation?

23 A I'm an atmospheric physicist.

24 Q Could you describe for the jury your educational  
25 background.

1           A       I have a bachelor's degree in engineering from Cal  
2 tech, I have a masters degree in physics from Dartmouth  
3 college, I have a Ph.D. atmospheric physics from the  
4 University of Nevada at Reno. That's education.

5           Q       And what about teaching experience?

6           A       I have worked -- well, in university teaching, I have  
7 worked as part of the National Science Foundations program  
8 manager in Washington, D.C., where I managed a good part of  
9 the weather research projects in the United States.

10                   And more recently, like in the last 20 years, I went  
11 into private business, which is more of my preference, and  
12 have done consulting and other major projects all related to  
13 atmospheric physics.

14           Q       Where do you currently live at?

15           A       I'm sorry?

16           Q       Where do you live now, sir?

17           A       I live in Kalispell.

18           Q       And you said you're an atmospheric physicist. Does  
19 that also cover meteorology, climatology?

20           A       Yes, it does. I'm a member of the American  
21 Meteorological Society as well as the American Physical  
22 Society. As a part of the American Meteorological Society, I  
23 am a certified consulting meteorologist, which it takes a  
24 special kind of testing and application beyond even getting a  
25 Ph.D. degree.

1 Q And can you describe that? How do you become a  
2 certified consulting meteorologist?

3 A There are several criteria that the American  
4 Meteorological Society has set up, you can read them on the  
5 Web page, but it has to do a lot with professional experience,  
6 as well as your educational background, as well as taking a  
7 pretty comprehensive test.

8 Q And how long have you been certified in that, sir?

9 A I was certified, I believe, somewhere about 1972, my  
10 recollection.

11 Q Where is the National Weather Service based out of?

12 A Well, the main department of the National Weather  
13 Service is in Washington, D.C. That's the NOAA, essentially,  
14 National Oceanographic and Atmospheric Administration.

15 Q And what is the purpose of the National Weather  
16 Service?

17 A Well, the primary purpose of the National Weather  
18 Service is to collect and maintain weather data. And  
19 originally it was just with simple thermometers, and it got  
20 more elaborate and wind speed and then direction, and of  
21 course now they even have satellite data in the last 25 or so  
22 years. So it's a very comprehensive data collection  
23 organization.

24 Q How is the data collected?

25 A Virtually by all means, by ground stations, data from

1 ships, data from airplanes, data from satellites. In the case  
2 of our local weather station, which is out near the airport,  
3 it is an automated weather station.

4 And those types of weather stations have been  
5 developed -- oh, they were starting to be good about 30 years  
6 ago, certainly 20 years ago they're automated weather  
7 stations. They have some help and watched over by weather  
8 service personnel.

9 Like the one out here, there is some maintenance  
10 people that are on staff, and they do watch it and be sure  
11 that it's doing the right data collection and calibration.

12 Q Okay. So our National Weather Service station is  
13 located at the airport?

14 A Yes, it is.

15 Q And then data that's collected, that is then  
16 transferred to Washington, D.C.; is that right?

17 A In the case of the data that's collected, they send  
18 it to the National Center For Data, it's NCDC, which is in  
19 Virginia -- or North Carolina. So the data itself does not go  
20 to Washington, D.C., it goes to a data center.

21 And they have subsidiary data centers, maybe about  
22 five or six around the United States. Like, for example, the  
23 regional one for here is in Reno, Nevada, and so the data that  
24 we have here and collected here, we can get it either from the  
25 main center or from the data center in Reno.

1 Q And as a certified consulting meteorologist, are you  
2 able to interpret that data?

3 A Yes.

4 Q Have you testified in the past as an expert in  
5 meteorology climatology?

6 A Yes, I have.

7 Q Atmospheric physics?

8 A Yes.

9 Q Can you approximate the number of times?

10 A Maybe a dozen times.

11 MR. SOUZA: At this time, Your Honor, the State would  
12 move to qualify Dr. Berry as an expert in climatology,  
13 meteorology, and atmospheric physics.

14 MR. WALD: I have no objection to that, Your Honor.

15 THE COURT: And he may be so recognized and testify  
16 in that manner.

17 MR. SOUZA: Thank you, Your Honor.

18 Q (By Mr. Souza) And whereabouts up near the airport  
19 is the Billings National Weather Service located?

20 A The data station is sort of in the middle of the  
21 whole airplane. It's not on top of the runway, but it's  
22 between a runway and a taxiway, but it's not surrounded by any  
23 buildings, and it's in a good exposed location.

24 Now, that's the data center. But you've also asked  
25 maybe where the weather service is, and their personnel is in

1 a building kind of in the southwest Billings. So the people  
2 are there, but they're in charge of the data station at the  
3 airport.

4 Q And that data station is one of the newer automated  
5 stations?

6 A Yes, it is.

7 Q Now, when records from the National Weather Service  
8 are requested, are those certified?

9 A That's an optional thing. In the case of the data  
10 that you have gotten from them, it is certified.

11 Q Okay.

12 MR. SOUZA: May I approach the witness, Your Honor?

13 THE COURT: You may.

14 Q (By Mr. Souza) I'm going to hand you what's been  
15 marked as State's Exhibit 329.

16 A Okay.

17 Q Have you seen that before, sir?

18 A Yes, I have.

19 Q And can you please identify that exhibit.

20 A This is data obtained from Asheville, North Carolina,  
21 for the National Climatic Data Center. And the data is from  
22 the Billings Logan International Airport. It consists of  
23 pages of hourly data, which many parameters are measured and  
24 there are certain summaries that go through with this.

25 Q And does that cover September 15, 2006, through

1 October 4, 2006?

2 A Yes, it does.

3 Q And you said that is certified?

4 A Yes, certification is right -- collect -- is right  
5 with it here.

6 MR. SOUZA: At this time, Your Honor, the State would  
7 move admission of Exhibit 329.

8 MR. WALD: No objection.

9 THE COURT: Received. It may be published.

10 (Whereupon, State's Exhibit No. 329 was received in  
11 evidence.

12 MR. SOUZA: Kimberly, if you could please put up on  
13 the screen, just for purposes of example, this data, September  
14 19th.

15 Q (By Mr. Souza) Now, can you see that okay up there  
16 on your screen?

17 A Well, I can see it, but I think it's all pretty hard  
18 to read it.

19 Q Sure. Okay. We'll ask you some questions about  
20 that.

21 MR. SOUZA: Okay. First, Kimberly, can you focus in  
22 on dry bulb temperature.

23 Q (By Mr. Souza) What is dry bulb temperature?

24 A Dry bulb temperature, although there's sophisticated  
25 electronic ways to measure it, basically think of it as a

1 mercury thermometer with the mercury bulb at the end, and the  
2 temperature of that bulb is what drives the mercury up the  
3 thing and gives you a certain temperature.

4 The measurement, when that bulb is dry, is called the  
5 dry bulb. Okay. If we had one in this room, it would be  
6 measuring what's known as the air temperature in this room.

7 Q Now, I'm going about half way down, it says 68, does  
8 that basically equate to 68 degrees Farenheit?

9 A Yes, it is.

10 Q Okay. So dry bulb temperature, is that basically  
11 what we think of as our daily temperatures?

12 A Yes.

13 MR. SOUZA: Kimberly, if you could back out of that  
14 and focus in on the times. Time and date. Perfect.

15 Q (By Mr. Souza) Now, on the left column, Dr. Berry,  
16 it looks like it says 19 all the way down, and then column 2  
17 looks like hours and times. Does the 19, does that refer to  
18 September the 19th?

19 A Yes, September 19th, 2006.

20 Q Okay. And it says 0056. Is that 12:56 a.m., and  
21 then 1:56 a.m., 2:56 a.m.?

22 A Yes, it is. That's local standard time, right.

23 Q Okay. And why is the data pulled at 56 minutes after  
24 the hour?

25 A Because the way the automated station works, it

1 collects the data, and it waits for a meteorologist to check  
2 the data and push a button. And when he pushes the button,  
3 the data gets sent to North Carolina.

4 Q Okay. So this is --

5 A So, typically, their latitude is when they  
6 essentially are measuring the hourly data. It can be ten  
7 minutes before the hour up until ten minutes after the hour.  
8 So if the meteorologist that's watching the data is supposed  
9 to do it somewhere in that time, he doesn't have to do it on  
10 that minute.

11 Q Oh, okay.

12 A He may have to do a few things and verify the data  
13 and make sure there is no problems then send it.

14 Q Okay. So across the top we have several different  
15 columns. And do these -- does each row of data correspond  
16 with that particular hour?

17 A Yes, each row of data is a particular hour of the  
18 day.

19 Q Okay.

20 A Now, I might add to that, you will find some records  
21 throughout here where they took observations more than one  
22 hour per day, but. . .

23 Q Okay.

24 A That's optional, the way we do it.

25 Q So for the most part, there is an hourly observation

1 of weather data?

2 A Yes.

3 Q Now, you're aware that there was a forensic  
4 entomologist that was retained in this case?

5 A Yes.

6 Q And his name is Dr. Neal Haskell?

7 A Yes.

8 Q Have you compared the official National Weather  
9 Service data with the data table 1A that Dr. Haskell relied on  
10 in making his conclusions?

11 A Yes, I have done that.

12 Q And what was your conclusion with regard to the  
13 weather data that Dr. Haskell relied on?

14 A That he did correctly use the highs and the lows as  
15 recorded on this data. And not on this page but another page,  
16 I think it shows, for example, the mean between the high and  
17 low which is easy to calculate anyway.

18 So, yes, his table 1A was correct on the high, the  
19 low, and the mean.

20 Q Okay. Now, I think certainly this data is somewhat  
21 cumbersome to interpret.

22 A Could be (laughter).

23 Q I want to show you what's been marked as State's  
24 Proposed Exhibit 347. Is that a document you created, sir?

25 A Yes.

1 Q And what is it?

2 A This document shows the date and it shows the maximum  
3 degrees Farenheit temperature that day, the minimum degrees  
4 Farenheit, and the mean degrees Farenheit, all taken from this  
5 data.

6 Q And so basically that's just a summary of the  
7 temperature data from the previous exhibit?

8 A Yes.

9 Q And that is an accurate summary to the best of your  
10 knowledge?

11 A Yes. These are the same numbers here that I found in  
12 the data.

13 Q And what date range does that document cover?

14 A September 15th through October 4th, 2006.

15 MR. SOUZA: At this time the State would move  
16 introduction of 347.

17 MR. WALD: No objection.

18 THE COURT: Received. It may be published.

19 (Whereupon, State's Exhibit No. 347 was received in  
20 evidence.)

21 MR. SOUZA: And if you could enlarge the actual  
22 temperature data.

23 Q (By Mr. Souza) During the week of September 18th  
24 through the 22nd, what was the warmest day?

25 A The warmest day does show up as the maximum degree

1 Farenheit, which is on Tuesday, September 19th, 69 degrees  
2 Farenheit.

3 Q Okay. Now, with regard to the -- you're referring  
4 just to the week of September 18th through the 22nd?

5 A Right.

6 Q All right. Now, the weather data, the bigger packet  
7 that you have there, does it also report sky conditions?

8 A Yes.

9 MR. SOUZA: Okay. Kimberly, could you please put up  
10 September 19th. Can you enlarge that?

11 Q (By Mr. Souza) All right. There are several  
12 abbreviations there. Dr. Berry, can you please explain what  
13 these mean.

14 A Yes. The first designation, when they talk about  
15 C-L-R, that means clear. Few, F-E-W, that means a few more.  
16 I'll give you a number in just a minute. The SCT means  
17 scattered, that means it's cloudier. Broken means it's almost  
18 full cover. And not shown on here is OVC, means overcast, and  
19 it's total sky cover.

20 Now, what those mean, I think it's easiest to think  
21 of those like you have an inch ruler, and you're measuring  
22 from zero to one-quarter inch to half an inch, three-quarters,  
23 like that. So as long as it's not clear and it's a little  
24 above it, if it's between the zero and the one quarter, in  
25 other words one quarter sky cover, it's called few.

1           When you get beyond that and go from the quarter to  
2 the half, it's called scattered; okay. I mean you go from a  
3 little above the half, now they go beyond three-quarters, they  
4 go to seven-eighths in this case, that's called broken.

5           When it's beyond the seven-eighths, that means  
6 looking up the calculation as to how much clear is there  
7 versus the sky; okay. If there's one-eighth or less, that  
8 would be called overcast, so that's the one-eighth on out.

9           Q     Now, I see, for example, next to few, okay, so now  
10 we're talking about potential coverage up to 25 percent?

11          A     Right.

12          Q     What does the one two zero mean?

13          A     The one two zero, you add two zeros on the end and  
14 you get the altitude and feet. It's really the altitude above  
15 the ground level as opposed to altitude above sea level we're  
16 talking about here.

17                So when we see these numbers like few, that means at  
18 12,000 feet in this particular case. And there's another case  
19 down here where the few is at, it says zero nine zero, that  
20 means at 9,000 feet above the ground.

21                And on top of that, they're scattered at 12,000 feet,  
22 so we can get multiple layers and it shows up on this data.

23          Q     So looking at this particular day, fairly nice, few  
24 clouds in the morning, as you progress into the afternoon gets  
25 a little cloudier?

1           A     Yes.

2           Q     Is that a fair --

3           A     That's what's happening.

4           Q     And further down, looking about the 10th line, 12th  
5 line down, it says few, zero seven five, SCT, one two zero.  
6 So would that mean we have one-quarter, up to 25 percent cloud  
7 coverage at 7500 feet, and then up to 50 percent cloud  
8 coverage at 12,000 feet?

9           A     That's what it means.

10          Q     Okay.

11           MR. SOUZA: Kimberly, now can you go do the same  
12 thing on September 20th.

13          Q     (By Mr. Souza) And, again, that's hour by hour?

14          A     Yes.

15          Q     I want to look at Wednesday morning. What was the  
16 cloud cover like that day around 8:00, 9:00, 10 o'clock in the  
17 morning?

18          A     Coming around 8:00, 9:00, that's about a third of the  
19 way down the list, We have, for example, scattered at 3800  
20 feet, broken at -- looks like -- kind of hard to read that --

21          Q     6000?

22          A     -- 6000 something there. And then we end up having  
23 perhaps an hour or so later a few clouds at 2500, scattered at  
24 4800, and broken at 8500, et cetera, So there's several cloud  
25 layers in this particular case.

1 Q And so is this a cloudier day then?

2 A It's a cloudy day. You would call it a cloudy day,  
3 yes.

4 Q All right. Now, during the week of, again, September  
5 18th through the 22nd, which day had the least amount of cloud  
6 cover?

7 A The -- without going through it, let me just --  
8 because I don't have cloud cover on that particular thing.  
9 18th through the 22nd -- well, the 18th, 19th and 20th, all  
10 are principally few.

11 And then 21st and 22nd, I got rain on the 21st and  
12 broken clouds on the 22nd. So if you want me to separate  
13 between 18, 19 and 20, I will look at the data on the  
14 detail --

15 Q Sure.

16 A -- because that's what I would have to look at.

17 I can pretty much assume that on the 19th, since that  
18 was the warmer temperature, high temperature, that that's  
19 going to have fewer clouds, it usually works out that way.

20 So what I'm doing is I'm going through this and  
21 getting that particular page for that day that shows the cloud  
22 cover as we go through. And the first comparison I can make  
23 is between the 18th and the 19th, and there are definitely  
24 fewer clouds on the 18th as compared to the 19th.

25 Now we'll look at the 21st.

1 Q What about the 20th?

2 A Oh, the 20th. There are more clouds on the 20th than  
3 there are on the 19th. So the answer is that the 19th has  
4 fewer clouds as compared or other days of that particular  
5 interval.

6 Q And that also had the warmest temperature?

7 A And it also has the warmest temperature.

8 Q And so would it be fair to say during that time  
9 period, September 18th through the 22nd, that would be what we  
10 call the nicest day that week?

11 A I think we would all call it the nicest day if we  
12 experienced those several days, yes.

13 Q Now, with regard to cloud cover versus a lack of  
14 cloud cover, how does that affect how a human feels  
15 temperature?

16 A Well, we as humans, and actually every object is the  
17 same way, we can feel it. In humans, we sense the air  
18 temperature and that affects how warm we feel, but we are also  
19 affected by radiation.

20 And so during the sunny part of the day, when there  
21 are few or no clouds, we are also warmed by the radiation from  
22 the sun. So we can have cool air but a lot of good warm  
23 radiation coming in and feel warm.

24 And, similarly, the extreme would be a cool night by  
25 a campfire, the air could be very cold, but the campfire is

1 giving us warmth by radiation. It's not heating the air  
2 that's making us warm, it's the radiation coming directly out  
3 of the fire to us, our skin captures the radiation.

4 Q So if it's 55 degrees and cloudy, and 55 degrees and  
5 sunny, although the temperature is the same, a person would  
6 feel warmer on a sunny day?

7 A I think we all realize that we will feel warmer on a  
8 sunny day, yes.

9 Q Okay. Now, the records that were introduced, the  
10 actual certified records, those also contain precipitation  
11 data; is that right?

12 A I didn't quite catch that, I am sorry.

13 Q The certified records from the National Weather  
14 Service, did those also contain precipitation data?

15 A Oh, yes, they do. There is an official certified  
16 thing by the National Weather Service that has this insignia,  
17 that means that someone double checked the data to make sure  
18 there were no mistakes in it.

19 Q Handing you what's been marked as State's Proposed  
20 348.

21 A Okay.

22 Q And are those some charts that you prepared?

23 A Yes, I prepared these charts.

24 Q And what are they?

25 A These are charts of the hourly precipitation that I

1 took from the data just so it became more visual to see it  
2 rather than looking at number by number. So I picked out the  
3 precipitation data, it's hours this way for a particular day,  
4 and I have included in this set all the days in this whole  
5 range that were -- where it rained.

6 Q So you included all dates between September 15th and  
7 October 4th --

8 A 4th.

9 Q -- 2006?

10 A That's correct.

11 Q And that -- those summary charts were taken from the  
12 original certified records?

13 A Yes.

14 Q And to the best of your knowledge, they are accurate  
15 summaries?

16 A (No oral response.)

17 Q Of just the precipitation data.

18 A Well, these are the numbers that I took directly from  
19 the data records.

20 MR. SOUZA: State would move admission of 348.

21 MR. WALD: No objection.

22 THE COURT: Received. May be published.

23 (Whereupon, State's Exhibit No. 348 was received in  
24 evidence.)

25 MR. SOUZA: Okay. Kimberly, could you just focus in

1 on the 15th.

2 Q (By Mr. Souza) And what is on the bottom axis of  
3 your chart?

4 A It's just the hour of the day from 1 to 24.

5 Q Military time?

6 A Yes.

7 Q And what about the left axis?

8 A The left is in the inches of precipitation for that  
9 particular hour.

10 Q Okay. And so during this period of time --

11 MR. SOUZA: Let me back that out, Kimberly.

12 Q (By Mr. Souza) So it looks like rain during the  
13 night, early morning on Friday, September 15th, and then  
14 started raining again about 6:00 p.m. is that right?

15 A That's right.

16 Q And then Saturday, September 16th, rained all day?

17 A Yep.

18 MR. SOUZA: Next, Kimberly.

19 THE WITNESS: I could point out also that I scaled  
20 every one of these graphs the same, so the point one eight is  
21 at the top, so you can compare graph to graph to graph that  
22 way.

23 Q (By Mr. Souza) Okay. Sunday, September 17th, also  
24 rained?

25 A Yes.

1 Q What was the rainfall like on Wednesday, September  
2 20th?

3 A Well, virtually nothing, they -- but the reason it's  
4 there is because they reported what they call a trace,  
5 meaning, yes, it was a little bit wet, made the surface wet,  
6 but it wasn't really enough to add to the measurements which  
7 would have been a hundredth of an inch.

8 And so they are -- or a tenth of an inch. I put in  
9 this little trace so it just shows that we, in fact, had this  
10 moisture that day.

11 Q There was trace presip Wednesday morning at 9:00 and  
12 10:00 a.m.?

13 A That's correct, and also at 3:00 p.m. and 4:00 p.m.

14 Q And this was the day that we discussed earlier that  
15 was somewhat cloudy?

16 A Yes.

17 MR. SOUZA: Next, Kimberly.

18 Q (By Mr. Souza) And we had rain again, looks like  
19 Thursday, September 21st, and then Friday pretty much most of  
20 the day starting at about noon; is that right?

21 A That's correct.

22 Q All right. You can take that down. Thank you.

23 Did you go to the scene where Gerald Morris's body  
24 was found at mile marker 6.7?

25 A Yes, I did.

1 Q And who did you do that with? Who did you go with?

2 A With -- was it Sam? It was your deputy.

3 Q Okay. Detective Bofto?

4 A Yes, right.

5 Q And did you spend some time out there examining the  
6 scene?

7 A Yes.

8 Q Now, approximately how far is that from the National  
9 Weather Service data collection site?

10 A About ten miles.

11 Q Now, do you have an opinion as to whether or not  
12 there would be different weather out there at mile marker 6.7  
13 as opposed to the airport site?

14 A Well, I do have an opinion as to the relevancy of the  
15 data at the airport compared to the site.

16 Q And what is that opinion, sir?

17 A And that is that in all of these weather situations,  
18 we had a uniform flow of air, wind was blowing if you go  
19 through the wind records. So we have, in other words, a  
20 uniform air mass.

21 A measurement at the airport is going to be the same  
22 air mass that we're going to have ten miles away from the  
23 airport in virtually any direction and beyond. And the key  
24 difference is that the site south of town is a little bit  
25 higher in elevation than the measurement where it's taken at

1 the airport.

2 Q Do you know approximately the elevation change?

3 A The elevation change, it's about 170 feet, in that  
4 ballpark.

5 Q Okay. How would that affect things?

6 A The air is going to behave in what's -- it's called,  
7 it's a big word, the normal adiabatic lapse rate. In other  
8 words, air goes up, it's going to cool, air goes down, it's  
9 going to get warmer.

10 And in a 200-foot level change in these conditions,  
11 it equates to about 0.6 Fahrenheit, so at 200 feet -- so 170.4,  
12 .5 Fahrenheit, it's in that ballpark. In other words, the  
13 site being higher will have an air temperature in the ballpark  
14 of a half degree cooler than the measurements taken at the  
15 airport.

16 Q Okay. A half a degree cooler?

17 A A half a degree cooler.

18 Q Now, how can you say that the weather out there is  
19 generally going to be comparable to the weather at the  
20 airport?

21 A Well, it -- the only way we can get a division, in  
22 other words, having a total different weather situation, ten  
23 miles away, would be to have our frontal situation right  
24 between the two sites.

25 And, yes, if a front comes through, and there are

1 only some minor things, there is nothing here that says, that  
2 I saw, there was any major front. How long does it take a  
3 front to go ten miles even if it's going? Usually it's coming  
4 sideways to it.

5 So we're only talking a few minutes that we could  
6 have a different regime. And it doesn't affect the data when  
7 we're looking at hourly data. In other words, the hourly  
8 window of the data, any weather change in this thing is going  
9 to change both stations at the same time with locations.

10 Q Okay. And will you expect the same thing with regard  
11 to precipitation at mile marker 6.7, and here in the city, and  
12 also with relative cloud cover that you've already discussed?

13 A Yes. I would expect the same thing, in cloud cover,  
14 in wind speed, air temperature, and precipitation. So the  
15 measurement at the airport is representative of quite a wide  
16 area around the airport.

17 Q And, finally, so considering all things, cloud cover,  
18 precipitation, temperature, in your opinion, September 18th  
19 through the 22nd, was the 19th what we would refer to as the  
20 nicest day?

21 A Yes. I am sure if anyone could go back to the 19th  
22 and drive around at that time, you would have found it's a  
23 nice day anywhere you go within a normal distance.

24 MR. SOUZA: I have nothing further. Thank you.

25 THE WITNESS: Thank you.

1 THE COURT: Cross-exam?

2 MR. WALD: Thank you, sir.

3 CROSS-EXAMINATION

4 BY MR. WALD:

5 Q Dr. Berry; is that right?

6 A Yes, sir.

7 Q Dr. Berry, my name is Matt Wald. Just a couple  
8 questions if you don't mind.

9 First, I understand you're a physicist, but you're  
10 speaking to weather like it really behaves like science wants  
11 it to behave, right? Does that make sense to you?

12 A No, science doesn't really want anything. Science is  
13 just the whole process of discovering and measuring.

14 Q How come we watch the evening news and the weather  
15 man tells us what's going to happen, and about half the time  
16 they're wrong?

17 A They are trying to make a forecast, and it's pretty  
18 hard to make a forecast.

19 Q Have you ever lived in Billings?

20 A I'm sorry, sir?

21 Q Have you ever lived in Billings?

22 A No, I have not lived in Billings.

23 Q Spent a lot of time here?

24 A No, I have not.

25 Q Have you lived in central or eastern Montana?

1 A No.

2 Q Okay. You understand that the weather station here  
3 is on the Rims? We call it the Rims where the airport is.

4 A Well, we call at the Rims, but it still happens to be  
5 infield, it's not right on the cliff if that's what you're --

6 Q No, no, I'm just saying it's not downtown, it's up --

7 A Yes, yes.

8 Q Okay. Did you ever live at Blue -- you didn't live  
9 at Blue Creek, right, because that would be near Billings,  
10 right?

11 A You say, did I live there?

12 Q Did you, yeah?

13 A No, I've not lived here. I live in Kalispell,  
14 Montana.

15 Q Okay. You have not spent a lot of time around Blue  
16 Creek, the site that you went --

17 A No.

18 Q -- with Sergeant Bofto?

19 A No.

20 Q So you've never really experienced that locale of  
21 weather for yourself except for that one day?

22 A Around here, you're right, that's true.

23 Q Okay. Well, I've always found Montana weather to be  
24 kind of temperamental?

25 A Um-hum.

1 Q Would you agree with that?

2 A You say you found it to be simple --

3 Q Temperamental.

4 A Temperamental. Are you talking time variation --

5 Q Well --

6 A -- not space variation?

7 Q Well, I don't know. I mean, I've just lived in  
8 eastern Montana (laughter). And I'm telling -- I understand  
9 you're a physicist, but I have lived here, but there is  
10 variations, sir, in temperatures within miles of places within  
11 this geographic area. Are you disputing that?

12 A Well, you could show me some data, and I could  
13 probably help explain it. But when you say variation in  
14 temperature, you could be -- I have no idea where you're going  
15 to get this variation.

16 Q Okay. Sure. Let me tell you something, I live in  
17 the Lodge Grass Creek Valley.

18 A Okay.

19 Q I live -- it goes kind of towards the Big Horns. I  
20 live about ten miles out of town. We have friends that live  
21 another ten miles closer to the mountain at a higher  
22 elevation.

23 A Um-hum.

24 Q Several times this winter they didn't have any snow  
25 and we did.

1           A     All right.

2           Q     Several times, different times you go, there is  
3 appreciable difference in temperatures.

4           MR. SOUZA: I need to object. I think counsel is  
5 testifying.

6           MR. WALD: He asked for an example. (Laughter.)

7           THE COURT: Yes. But the purpose of the attorney is  
8 to ask questions, not testify.

9           MR. WALD: Trying to make --

10          THE COURT: You can -- you need to ask him the  
11 questions.

12          MR. WALD: Okay, sir. Sure.

13          Q     (By Mr. Wald) Would you agree or disagree that  
14 within ten miles in eastern Montana there can be a variation  
15 of at least several degrees between two points ten miles  
16 apart, same time?

17          A     If we were at exactly the same elevation with no  
18 mountain ranges in between, then I will say it would be very,  
19 very unusual to find any major temperature difference; okay.  
20 Your case about snowing at a lower elevation than higher,  
21 that's easily explained.

22                 It takes a certain temperature to develop snow in a  
23 cloud, and sometimes that will not occur at a high elevation.  
24 So these things mean nothing as far as this situation is  
25 concerned.

1           Q       Well, certainly there's areas that are within ten  
2 miles that get appreciable precipitation compared to another  
3 place without a mountain range in the way.

4           A       There will be times of the year, mostly in the spring  
5 time and early summer, when you have convective clouds causing  
6 local rain showers, then you will find rain in one place and  
7 not so much in another place.

8                   In the fall, the situation we're talking about here,  
9 it's a major weather situation that covers 50 to a hundred  
10 miles or more that we're experiencing, and a ten-mile distance  
11 within 50 to a hundred is negligible.

12           Q       Doctor, well, I can see you believe your science, but  
13 I don't believe your weather (laughter).

14                   MR. WALD: I'm sorry, I'll withdraw that, Your Honor.  
15 Sorry.

16                   THE COURT: Thank you.

17                   THE WITNESS: It's okay.

18                   MR. WALD: Nothing further, Your Honor.

19                   THE COURT: Redirect?

20                                   REDIRECT EXAMINATION

21 BY MR. SOUZA:

22           Q       These aren't forecasts you are looking at, this is  
23 actual data that was checked; is that right?

24           A       That's right, we're not forecasting. And you can say  
25 a lot -- much more definitive about the past when you've

1 measured the data than you can say about the future.

2 Q And Mr. Wald was referencing mountains versus the  
3 valley where he may live in. How does that differ from this  
4 particular situation looking at the rimrocks and then looking  
5 at the scene?

6 A Well, overall the -- I don't assign very much  
7 relevance to what he was talking about on the differences in  
8 the weather data. There will be times, no doubt, you know --  
9 see, I can say these things without having to have lived here.

10 There's certain principals that the air will follow  
11 and the atmosphere does. You will find times where there will  
12 be an inversion in this valley and it could be warmer up there  
13 and cooler down here during an inversion.

14 But typically when the air is mixed, it's going to  
15 get warmer as it comes off the hill. So most of the time it  
16 will be warmer in the middle of the city than it is up at the  
17 airport.

18 Now, no matter what is in -- around in the city of  
19 Billings terrain-wise, we come from the airport elevation  
20 called the rim, and it's almost a straight shot  
21 elevation-wise, ten miles south until you hit some mountains  
22 and go up about 170 feet, and what's underneath that isn't  
23 going to have any major effect.

24 In other words, the air could do few things. But by  
25 the time it gets back to there, it's essentially the same

1 temperature air over here.

2           So it's easy to draw this direct association with  
3 what's out there. If it were situated in a much different  
4 location, maybe behind trees, down by a creek in a valley, I  
5 might have to say a lot of different things, but we're talking  
6 about an open exposure in all cases here.

7           Q     And so when you went out and you looked at the scene,  
8 did you consider things like foliage and that sort of thing?

9           A     You say foliage?

10          Q     Yes, um-hum.

11          A     Well, it was mostly a dry grass site at the time I  
12 looked at it, so it's a grassy slope. Yeah, I considered  
13 that.

14          Q     Okay. Now, people who have lived in Billings know  
15 that there are temperature differences between downtown  
16 Billings and the Heights.

17          A     Sure.

18          Q     Why is that?

19          A     Well, when the air is mixed, meaning good convection,  
20 typically in the afternoon, and not fog in the valley, the --  
21 there's going to be a general change in the air temperature  
22 getting warmer as you go down, okay, roughly by this .6  
23 degrees Farenheit for 200 feet.

24                 You can have times, however, when you have the ground  
25 cooling off down low and cool air flowing into the valley

1 developing an inversion during the night. Which an inversion  
2 just means it's like a bowl of cool air.

3 The cool air is heavier, it came down the mountain  
4 slopes, it cooled by radiation in the valley, and you can end  
5 up in the mornings, at least, it may usually change during the  
6 day, and the mornings can be much cooler than what you would  
7 find up at the airport.

8 So, yes, there are differences that can happen just  
9 because of terrain.

10 Q But you didn't note any of those differences between  
11 the data collection point and the homicide scene at mile  
12 marker 6.7?

13 A No, that's correct. Even though we crossed the  
14 valley, if there were an inversion, it would have no effect  
15 whatsoever on the temperature that goes right over the top.  
16 In other words, there's a direct line of sight, essentially,  
17 from the airport right at a certain level right out to the  
18 site.

19 And whether there's an inversion, or we have good  
20 mixing in the valley, the conclusion is still the same, okay.  
21 It's the same air mass and we can -- we will always find the  
22 weather situation there will be representative of all that  
23 kind of a situation.

24 Q So I think you said, if anything, it might be a touch  
25 cooler?

1           A       That's correct.

2           Q       Can you ever imagine a situation where it would be  
3 warmer out there?

4           A       If it were -- yeah, I can imagine a situation. It  
5 would take -- it would be a temporary situation, such as  
6 scattered clouds, and we could have an opening of nice  
7 sunshine ten miles south. We could have scattered clouds over  
8 the airport. It won't remain that way very long.

9                    In other words, this is part of a fluctuation. These  
10 scattered clouds will blow over there eventually, but you will  
11 find if you look at short-term intervals, yes, you can find  
12 some fluctuations just because of the solar radiation  
13 difference.

14                   Neglecting the solar radiation, just as far as air  
15 mass, I cannot see any time, any situation that would cause a  
16 major temperature difference between the airport and the site,  
17 other than about the half of degree that I mentioned.

18          Q       And that would, generally speaking, always be cooler  
19 not warmer?

20          A       It will always be cooler, because it's at a higher  
21 elevation.

22          Q       Thank you.

23                   MR. SOUZA: That's all I have.

24                   THE WITNESS: Okay.

25                   MR. WALD: I'd like to continue the argument, but I

1 won't do it today.

2 THE COURT: Thank you, Doctor. You can step down.

3 THE WITNESS: Okay.

4 THE COURT: This witness can be excused.

5 THE WITNESS: Thank you.

6 MR. SOUZA: We have no more witnesses today.

7 THE COURT: Okay. We'll take our evening recess at  
8 this point.

9 Ladies and gentlemen, I will admonish you in the  
10 usual fashion that it is your duty not to converse among  
11 yourselves or among yourselves -- damn, I will admonish you in  
12 the usual fashion: It is your duty not to converse among  
13 yourselves or with anyone else on any subject connected with  
14 this trial or to form or express an opinion thereon, until  
15 this case is finally submitted to you.

16 We'll be in recess until 8:30 tomorrow morning.

17 (Whereupon, the jury exited the courtroom at  
18 4:11 p.m., and court recessed for the day at 4:11 p.m.)

19

20

21

22

23

24

25

**REPORTER'S CERTIFICATE**

1  
2 I, Kim Marchwick, one of the duly-appointed,  
3 qualified, and certified acting Official Court Reporters in  
4 and for the Thirteenth Judicial District of the State of  
5 Montana, do hereby certify that the foregoing 237 pages were  
6 reduced to typewritten form using Computer Aided Transcription  
7 and constitute a full, true, and correct transcription of my  
8 stenographic notes transcribed to the best of my ability.

9 Signed this January 30, 2007.

10  
11  
12  
13  
14  
15 Kim Marchwick, RPR, FCRR, CRR, CSR-CO  
16 Registered Professional Reporter  
17 Federal Certified Realtime Reporter  
18 Certified Realtime Reporter  
19 Post Office Box 51183  
20 Billings, Montana 59105-0904  
21 (406) 256-2915 Courthouse  
22 (406) 671-2307 Cellular  
23 (406) 256-8399 Facsimile  
24  
25

•				
<b>'06</b> [1] - 122:5				
<b>0</b>				
<p><b>0.6</b> [1] - 198:11  <b>0056</b> [1] - 184:20  <b>01</b> [1] - 105:14  <b>02</b> [3] - 104:17,  105:6, 105:14  <b>03</b> [2] - 86:7, 104:23  <b>04</b> [1] - 104:23</p> <p style="text-align: center;"><b>1</b></p> <p><b>1</b> [18] - 27:3, 65:2,  80:11, 80:17, 96:25,  97:1, 116:9, 132:16,  145:6, 149:19,  149:21, 153:14,  158:1, 162:8, 162:12,  163:5, 163:15, 195:4  <b>1,000</b> [1] - 113:24  <b>10</b> [4] - 12:19, 33:6,  127:10, 190:16  <b>10-15</b> [2] - 152:13,  152:14  <b>100</b> [3] - 82:4,  129:23, 129:24  <b>103</b> [2] - 19:21, 20:8  <b>104</b> [4] - 19:23, 20:9,  22:22, 23:5  <b>104.1</b> [2] - 83:7,  83:19  <b>10:00</b> [1] - 196:12  <b>10:07</b> [1] - 49:5  <b>10:09</b> [1] - 50:7  <b>10th</b> [1] - 190:4  <b>11</b> [9] - 7:13, 65:7,  75:12, 75:13, 75:14,  75:16, 75:17, 79:1  <b>11-12</b> [1] - 79:1  <b>11-13</b> [2] - 78:25  <b>114</b> [7] - 133:24,  162:13, 162:15,  172:17, 173:2, 173:4,  173:23  <b>12</b> [3] - 8:11, 97:8,  115:20  <b>12,000</b> [3] - 189:18,  189:21, 190:8  <b>126</b> [2] - 160:11,  160:13  <b>127</b> [1] - 163:11  <b>12:01</b> [1] - 120:4  <b>12:56</b> [1] - 184:20  <b>12th</b> [1] - 190:4</p>	<p><b>13</b> [2] - 121:12, 153:8  <b>131</b> [1] - 152:24  <b>134</b> [2] - 173:11,  173:23  <b>1358</b> [1] - 74:5  <b>13th</b> [2] - 8:15, 19:16  <b>14</b> [5] - 53:4, 82:15,  84:1, 108:2, 153:8  <b>142</b> [3] - 154:2,  155:17, 164:22  <b>149</b> [1] - 46:1  <b>15</b> [19] - 65:2, 65:3,  70:25, 78:1, 78:7,  78:15, 78:16, 97:8,  97:12, 97:25, 98:2,  98:3, 107:21, 108:1,  172:8, 172:9, 182:25  <b>152</b> [1] - 151:18  <b>152,000</b> [1] - 80:12  <b>156</b> [1] - 39:1  <b>157</b> [3] - 39:16,  40:17, 103:6  <b>157.2</b> [1] - 83:1  <b>157.3</b> [1] - 83:1  <b>15th</b> [5] - 5:10,  187:14, 194:6, 195:1,  195:13  <b>16</b> [35] - 58:22,  64:18, 68:10, 77:13,  77:14, 78:1, 78:7,  84:1, 84:23, 84:24,  96:25, 97:12, 98:1,  98:3, 140:2, 140:3,  144:22, 144:24,  145:4, 146:7, 146:14,  146:21, 147:1,  148:15, 159:18,  160:1, 163:18,  163:19, 172:6, 172:8,  172:9  <b>164.01</b> [1] - 40:3  <b>16807</b> [2] - 147:13,  147:17  <b>16808</b> [1] - 155:10  <b>16810</b> [1] - 148:23  <b>16813</b> [2] - 151:12,  151:15  <b>16816</b> [1] - 150:7  <b>16818</b> [1] - 151:12  <b>16819</b> [2] - 150:12,  152:4  <b>16th</b> [1] - 195:16  <b>17</b> [7] - 78:19, 78:20,  97:7, 98:1, 98:3,  99:24  <b>170</b> [2] - 198:3,  205:22  <b>170.4</b> [1] - 198:11  <b>17126</b> [1] - 168:8  <b>17131</b> [1] - 171:24</p>	<p><b>174</b> [1] - 158:8  <b>17th</b> [1] - 195:23  <b>18</b> [10] - 22:18, 65:3,  65:4, 77:13, 77:14,  97:7, 98:2, 98:3,  191:13  <b>181</b> [3] - 30:19, 31:4,  71:13  <b>18th</b> [10] - 41:3,  187:23, 188:4, 191:5,  191:9, 191:23,  191:24, 192:9, 199:18  <b>19</b> [5] - 82:15,  153:13, 184:16,  184:17, 191:13  <b>192</b> [3] - 158:23,  158:24, 159:23  <b>1972</b> [1] - 179:9  <b>198</b> [3] - 131:22,  156:4, 156:18  <b>199</b> [2] - 168:16,  170:2  <b>19th</b> [15] - 127:21,  158:1, 183:14,  184:18, 184:19,  188:1, 188:10, 191:9,  191:17, 191:23,  191:24, 192:3,  199:19, 199:21  <b>1:00</b> [1] - 40:21  <b>1:30</b> [1] - 120:1  <b>1:34</b> [2] - 120:4,  120:15  <b>1:56</b> [1] - 184:21  <b>1A</b> [2] - 186:9,  186:18  <b>1st</b> [3] - 30:2, 41:5,  129:13</p>	<p>39:23, 40:20, 40:23,  41:3, 41:7, 68:8,  127:10, 127:14,  164:17, 167:15,  171:19  <b>2008</b> [2] - 70:25, 91:7  <b>201</b> [1] - 170:18  <b>2010</b> [2] - 5:1, 79:5  <b>202</b> [1] - 170:19  <b>203</b> [2] - 131:25,  170:23  <b>204</b> [2] - 131:25,  172:11  <b>205</b> [1] - 172:17  <b>206</b> [1] - 173:3  <b>207</b> [3] - 134:2,  162:14, 162:15  <b>208</b> [1] - 173:12  <b>209</b> [1] - 173:12  <b>20th</b> [6] - 190:12,  191:9, 192:1, 192:2,  196:2  <b>21</b> [2] - 78:16  <b>210</b> [1] - 158:8  <b>211</b> [1] - 158:24  <b>212</b> [4] - 95:13,  95:14, 96:1, 158:24  <b>215</b> [2] - 160:12,  160:17  <b>216</b> [3] - 134:8,  160:16, 161:2  <b>217</b> [2] - 163:9,  163:12  <b>21st</b> [4] - 191:11,  191:25, 196:19  <b>223</b> [1] - 163:10  <b>22nd</b> [10] - 122:5,  125:20, 187:24,  188:4, 191:5, 191:9,  191:11, 191:12,  192:9, 199:19  <b>23</b> [8] - 53:13, 54:15,  131:20, 131:23,  156:3, 156:8, 156:18  <b>24</b> [1] - 195:4  <b>24th</b> [1] - 126:10  <b>25</b> [3] - 179:21,  189:10, 190:6  <b>2500</b> [1] - 190:23  <b>26</b> [1] - 39:23  <b>28</b> [1] - 121:10  <b>283</b> [1] - 23:9  <b>286,000</b> [1] - 80:11  <b>28th</b> [1] - 127:14  <b>29</b> [3] - 19:1, 79:5,  175:11  <b>2:56</b> [1] - 184:21  <b>2nd</b> [1] - 41:7</p>	<b>3</b>
				<p><b>3</b> [13] - 86:21, 87:10,  103:19, 122:10,  128:2, 128:3, 131:17,  153:15, 162:13,  163:5, 164:17,  167:15, 167:23  <b>30</b> [4] - 78:13,  152:14, 180:5  <b>31</b> [1] - 128:15  <b>31.2</b> [4] - 78:13,  78:14, 152:14  <b>32</b> [1] - 123:4  <b>329</b> [3] - 182:15,  183:7, 183:10  <b>347</b> [3] - 186:24,  187:16, 187:19  <b>348</b> [3] - 193:20,  194:20, 194:23  <b>350</b> [1] - 8:8  <b>351</b> [4] - 14:12,  14:24, 15:2, 15:5  <b>352</b> [3] - 15:2, 15:17,  15:18  <b>353</b> [2] - 15:2, 16:25  <b>354</b> [6] - 14:12,  14:24, 15:2, 17:12,  32:13, 32:15  <b>354-A</b> [5] - 32:12,  32:14, 32:19, 32:21,  33:9  <b>354-B</b> [6] - 32:12,  32:14, 32:19, 33:7,  33:10, 35:6  <b>354A</b> [2] - 31:21,  36:6  <b>354B</b> [2] - 31:22,  33:24  <b>355</b> [5] - 60:4, 60:8,  60:23, 61:3, 62:22  <b>356</b> [3] - 60:10, 61:3,  62:9  <b>357</b> [3] - 60:13, 61:3,  63:13  <b>358</b> [5] - 60:4, 60:16,  60:23, 61:4, 64:3  <b>359</b> [4] - 73:1, 73:15,  73:18, 99:5  <b>365</b> [3] - 114:22,  115:22  <b>372</b> [4] - 9:14, 10:6,  10:9, 17:22  <b>3800</b> [1] - 190:19  <b>3:00</b> [2] - 177:1,  196:13  <b>3:20</b> [1] - 177:1  <b>3:21</b> [1] - 177:10</p>
		<b>2</b>		
		<p><b>2</b> [6] - 65:6, 103:19,  103:21, 162:13,  163:5, 184:16  <b>20</b> [9] - 49:2, 78:20,  162:7, 163:4, 176:23,  178:10, 180:6, 191:13  <b>200</b> [3] - 170:17,  198:11, 206:23  <b>200-foot</b> [1] - 198:10  <b>2006</b> [18] - 6:16, 8:2,  8:7, 68:8, 89:24,  127:21, 128:15,  132:16, 138:10,  153:14, 158:1, 162:7,  163:4, 182:25, 183:1,  184:19, 187:14, 194:9  <b>2007</b> [15] - 19:16,  22:18, 23:18, 27:3,</p>		

<b>4</b>	<p><b>69</b> [1] - 188:1 <b>6:00</b> [1] - 195:14 <b>6:20</b> [1] - 122:4</p>	<p><b>78.2</b> [2] - 81:1, 106:10 <b>7810</b> [1] - 21:10 <b>79</b> [5] - 19:23, 19:25, 21:17, 41:10, 45:14 <b>79.01</b> [2] - 21:19, 105:24 <b>79.02</b> [2] - 21:21, 85:2 <b>79.1</b> [4] - 87:25, 91:20, 106:8, 106:9 <b>79.4</b> [1] - 89:12 <b>7901</b> [1] - 41:12 <b>7902</b> [1] - 41:13 <b>7904</b> [1] - 92:10</p>	<b>A</b>	<p><b>additional</b> [3] - 46:1, 57:23, 107:18 <b>address</b> [1] - 84:16 <b>adiabatic</b> [1] - 198:7 <b>adjacent</b> [1] - 74:8 <b>Administration</b> [1] - 179:14 <b>admission</b> [9] - 10:5, 14:24, 32:12, 60:22, 73:14, 125:3, 145:16, 183:7, 194:20 <b>admitted</b> [1] - 67:4 <b>admonish</b> [5] - 48:22, 119:22, 176:18, 209:9, 209:11 <b>affect</b> [7] - 42:10, 94:2, 96:1, 148:3, 192:14, 198:5, 199:6 <b>affected</b> [2] - 42:6, 192:19 <b>affects</b> [1] - 192:18 <b>African</b> [1] - 80:12 <b>afternoon</b> [5] - 175:9, 175:10, 176:17, 189:24, 206:20 <b>age</b> [2] - 110:5, 118:14 <b>agencies</b> [2] - 7:17, 56:15 <b>agency</b> [11] - 11:11, 20:10, 20:13, 24:10, 24:12, 25:20, 30:8, 38:13, 41:23, 44:21, 57:12 <b>agency's</b> [1] - 25:18 <b>agent</b> [1] - 94:20 <b>agents</b> [1] - 96:6 <b>ago</b> [4] - 60:18, 91:12, 180:6 <b>agree</b> [6] - 110:11, 112:11, 115:16, 116:16, 202:1, 203:13 <b>agreed</b> [1] - 101:2 <b>ahead</b> [3] - 28:12, 42:3, 68:7 <b>air</b> [27] - 61:13, 61:23, 62:14, 184:6, 192:17, 192:22, 192:25, 193:1, 197:18, 197:20, 197:22, 198:6, 198:8, 198:13, 199:14, 205:10, 205:14, 205:24, 206:1, 206:19, 206:21, 206:25, 207:2, 207:3, 207:21, 208:14 <b>airplane</b> [1] - 181:21 <b>airplanes</b> [1] - 180:1</p>
<p><b>4</b> [7] - 5:1, 40:20, 87:24, 153:15, 162:13, 171:19, 183:1 <b>400</b> [1] - 82:7 <b>415</b> [5] - 144:13, 144:14, 145:16, 145:20, 145:22 <b>416</b> [7] - 144:13, 145:17, 145:20, 150:4, 150:6, 152:6 <b>421</b> [9] - 130:5, 131:4, 131:7, 156:12, 158:9, 159:2, 169:23, 170:14, 173:20 <b>422</b> [4] - 124:19, 125:3, 125:6, 125:8 <b>423</b> [6] - 124:20, 125:3, 125:6, 125:12, 166:17, 166:18 <b>424</b> [6] - 132:21, 133:11, 133:14, 160:19, 162:24, 164:11 <b>44</b> [1] - 25:21 <b>48</b> [2] - 168:16, 170:1 <b>4800</b> [1] - 190:24 <b>497</b> [2] - 135:10, 135:11 <b>4:00</b> [1] - 196:13 <b>4:11</b> [2] - 209:18 <b>4th</b> [3] - 187:14, 194:7, 194:8</p>	<b>7</b>	<p><b>7</b> [2] - 23:18, 41:7 <b>70</b> [2] - 99:24, 115:8 <b>73</b> [5] - 38:3, 44:17, 103:13, 103:18, 104:22 <b>73.01</b> [3] - 44:15, 85:10, 104:8 <b>73.02</b> [1] - 85:10 <b>73.03</b> [3] - 85:10, 104:1, 105:5 <b>73.1</b> [2] - 103:19, 103:21 <b>73.2</b> [1] - 85:19 <b>73.3</b> [2] - 103:21, 103:22 <b>74</b> [7] - 25:20, 44:23, 86:6, 87:4, 104:11, 105:10, 105:11 <b>74.01</b> [4] - 85:10, 85:25, 86:1, 104:17 <b>74.02</b> [3] - 85:10, 86:1, 86:3 <b>74.03</b> [5] - 86:6, 86:18, 86:23, 104:23, 104:24 <b>74.04</b> [5] - 86:8, 86:18, 86:23, 104:23, 104:24 <b>74.3</b> [1] - 105:11 <b>74.4</b> [1] - 105:11 <b>7401</b> [1] - 105:6 <b>75</b> [1] - 38:12 <b>7500</b> [1] - 190:7 <b>76</b> [9] - 30:4, 31:6, 32:1, 32:2, 32:8, 41:5, 45:5, 47:13, 71:8 <b>76.01</b> [9] - 34:23, 35:4, 71:7, 73:5, 74:24, 96:23, 106:7, 109:11, 117:15 <b>76.02</b> [2] - 36:18, 85:10 <b>76.03</b> [1] - 37:2 <b>76.1</b> [4] - 88:24, 106:15, 107:4, 109:14 <b>7601</b> [1] - 47:19 <b>77</b> [2] - 38:24, 45:10 <b>77.01</b> [1] - 87:12 <b>78</b> [5] - 19:21, 19:25, 20:16, 21:1, 21:3 <b>78.01</b> [1] - 21:5 <b>78.02</b> [3] - 21:6, 106:12, 106:15</p>	<b>8</b>	<p><b>8</b> [1] - 90:22 <b>80</b> [2] - 37:9, 85:3 <b>80.1</b> [1] - 85:4 <b>80.2</b> [1] - 85:4 <b>82</b> [1] - 45:23 <b>82.03</b> [1] - 106:24 <b>83</b> [2] - 25:9, 40:24 <b>8500</b> [1] - 190:24 <b>88,000</b> [6] - 80:11, 80:17, 97:1, 114:18, 116:9, 116:13 <b>89</b> [2] - 170:7, 170:17 <b>8:00</b> [2] - 190:16, 190:18 <b>8:28</b> [1] - 125:22 <b>8:30</b> [1] - 209:16 <b>8:39</b> [1] - 5:2 <b>8:40</b> [1] - 5:13 <b>8:41</b> [1] - 125:22</p>
<b>5</b>	<p><b>5</b> [1] - 198:12 <b>50</b> [3] - 190:7, 204:9, 204:11 <b>501</b> [2] - 135:10, 135:11 <b>52</b> [2] - 30:8, 123:4 <b>55</b> [2] - 193:4 <b>56</b> [1] - 184:23 <b>560</b> [1] - 84:23 <b>5th</b> [1] - 40:23</p>	<b>9</b>	<p><b>9</b> [2] - 97:8, 97:12 <b>9,000</b> [1] - 189:20 <b>9-28-07</b> [1] - 127:15 <b>9.3</b> [2] - 97:8, 97:12 <b>90</b> [1] - 56:10 <b>900</b> [1] - 130:21 <b>91</b> [4] - 170:8, 170:18 <b>93</b> [4] - 170:23, 172:4, 172:11, 173:23 <b>99</b> [4] - 166:4, 166:6, 166:7, 167:24 <b>999</b> [3] - 145:7, 149:19, 149:21 <b>9:00</b> [3] - 190:16, 190:18, 196:11 <b>9:47</b> [1] - 49:5</p>	
<b>6</b>	<p><b>6</b> [1] - 206:22 <b>6.7</b> [4] - 196:24, 197:12, 199:11, 207:12 <b>60</b> [1] - 115:8 <b>6000</b> [2] - 190:21, 190:22 <b>68</b> [2] - 184:7, 184:8</p>	<p><b>8</b> [1] - 90:22 <b>80</b> [2] - 37:9, 85:3 <b>80.1</b> [1] - 85:4 <b>80.2</b> [1] - 85:4 <b>82</b> [1] - 45:23 <b>82.03</b> [1] - 106:24 <b>83</b> [2] - 25:9, 40:24 <b>8500</b> [1] - 190:24 <b>88,000</b> [6] - 80:11, 80:17, 97:1, 114:18, 116:9, 116:13 <b>89</b> [2] - 170:7, 170:17 <b>8:00</b> [2] - 190:16, 190:18 <b>8:28</b> [1] - 125:22 <b>8:30</b> [1] - 209:16 <b>8:39</b> [1] - 5:2 <b>8:40</b> [1] - 5:13 <b>8:41</b> [1] - 125:22</p>	<p><b>9</b> [2] - 97:8, 97:12 <b>9,000</b> [1] - 189:20 <b>9-28-07</b> [1] - 127:15 <b>9.3</b> [2] - 97:8, 97:12 <b>90</b> [1] - 56:10 <b>900</b> [1] - 130:21 <b>91</b> [4] - 170:8, 170:18 <b>93</b> [4] - 170:23, 172:4, 172:11, 173:23 <b>99</b> [4] - 166:4, 166:6, 166:7, 167:24 <b>999</b> [3] - 145:7, 149:19, 149:21 <b>9:00</b> [3] - 190:16, 190:18, 196:11 <b>9:47</b> [1] - 49:5</p>	<p><b>9</b> [2] - 97:8, 97:12 <b>9,000</b> [1] - 189:20 <b>9-28-07</b> [1] - 127:15 <b>9.3</b> [2] - 97:8, 97:12 <b>90</b> [1] - 56:10 <b>900</b> [1] - 130:21 <b>91</b> [4] - 170:8, 170:18 <b>93</b> [4] - 170:23, 172:4, 172:11, 173:23 <b>99</b> [4] - 166:4, 166:6, 166:7, 167:24 <b>999</b> [3] - 145:7, 149:19, 149:21 <b>9:00</b> [3] - 190:16, 190:18, 196:11 <b>9:47</b> [1] - 49:5</p>

<p><b>Airport</b> [1] - 182:22  <b>airport</b> [20] - 180:2, 180:13, 181:18, 182:3, 197:13, 197:15, 197:21, 197:23, 198:1, 198:15, 198:20, 199:15, 199:16, 201:3, 205:17, 205:19, 207:7, 207:17, 208:8, 208:16  <b>alcohol</b> [1] - 27:21  <b>alerted</b> [1] - 90:3  <b>Alice</b> [1] - 134:17  <b>alike</b> [1] - 114:13  <b>allele</b> [12] - 54:21, 54:22, 75:10, 76:18, 80:21, 81:17, 99:22, 107:21, 145:11, 148:14, 151:10, 152:10  <b>alleles</b> [22] - 55:4, 55:6, 72:1, 72:5, 76:1, 76:4, 76:8, 76:10, 76:11, 90:10, 92:18, 99:11, 99:23, 100:7, 101:11, 101:16, 144:21, 144:25, 145:1, 152:15, 169:10, 172:20  <b>allow</b> [2] - 16:10, 49:11  <b>allows</b> [1] - 57:3  <b>almost</b> [3] - 55:20, 188:17, 205:20  <b>alternate</b> [7] - 27:8, 27:16, 27:17, 34:12, 34:14, 46:23, 47:14  <b>altitude</b> [3] - 189:14, 189:15  <b>Alvin</b> [3] - 70:3, 79:8, 91:16  <b>amelogenin</b> [2] - 74:16  <b>amendment</b> [1] - 59:20  <b>American</b> [6] - 57:6, 137:8, 178:20, 178:21, 178:22, 179:3  <b>Americans</b> [1] - 80:12  <b>Ammen</b> [1] - 134:17  <b>amount</b> [3] - 100:20, 165:20, 191:5  <b>amplification</b> [10] - 60:11, 60:15, 62:13, 62:16, 63:6, 63:14, 63:18, 68:10, 83:24, 95:6  <b>amplified</b> [4] - 58:25,</p>	<p>63:7, 82:4, 82:7  <b>amplify</b> [6] - 58:21, 58:23, 82:3, 82:8, 82:9, 94:11  <b>analyses</b> [3] - 22:23, 66:22, 97:4  <b>analysis</b> [31] - 10:14, 10:16, 10:17, 13:10, 13:20, 13:21, 16:19, 19:11, 19:19, 20:21, 23:1, 26:8, 26:14, 28:12, 30:15, 31:9, 37:25, 41:23, 52:22, 53:7, 69:17, 96:11, 108:10, 127:14, 138:13, 138:21, 138:25, 141:21, 150:19, 158:16, 170:3  <b>analyst</b> [11] - 24:24, 43:19, 48:19, 100:2, 134:17, 136:10, 137:15, 137:16, 138:4, 138:8, 159:19  <b>analysts</b> [2] - 52:6, 101:1  <b>analyze</b> [1] - 93:24  <b>analyzed</b> [1] - 19:6  <b>analyzing</b> [1] - 56:1  <b>ankles</b> [1] - 132:3  <b>annual</b> [1] - 56:24  <b>answer</b> [1] - 192:3  <b>anyway</b> [1] - 186:17  <b>apart</b> [3] - 89:5, 121:16, 203:16  <b>apartment</b> [6] - 118:17, 119:7, 124:5, 131:17, 174:21, 175:1  <b>Apartment</b> [1] - 128:3  <b>apartments</b> [1] - 128:1  <b>apologize</b> [1] - 105:9  <b>apparent</b> [5] - 20:19, 37:20, 38:8, 42:2, 167:6  <b>appear</b> [11] - 23:10, 61:5, 67:7, 68:3, 68:24, 73:11, 144:4, 153:14, 164:13, 166:18, 169:24  <b>appeared</b> [2] - 39:11, 174:22  <b>apples</b> [2] - 139:25  <b>application</b> [1] - 178:24  <b>applicator</b> [1] - 143:7  <b>Applied</b> [1] - 146:24  <b>applied</b> [1] - 104:9  <b>appreciable</b> [2] - 203:3, 204:2</p>	<p><b>approach</b> [8] - 9:9, 9:12, 14:4, 14:8, 124:15, 130:2, 132:18, 182:12  <b>approaches</b> [1] - 171:11  <b>approaching</b> [1] - 171:12  <b>appropriate</b> [1] - 141:12  <b>approval</b> [1] - 57:3  <b>approximate</b> [1] - 181:9  <b>April</b> [1] - 127:10  <b>area</b> [45] - 10:18, 10:20, 10:23, 12:16, 13:2, 13:5, 14:17, 14:19, 15:7, 15:20, 15:21, 17:1, 17:3, 18:11, 28:5, 33:6, 34:8, 37:16, 37:17, 43:1, 122:23, 123:15, 132:11, 138:16, 139:3, 150:24, 151:2, 154:2, 154:13, 154:14, 154:18, 155:8, 155:14, 155:22, 164:25, 165:6, 165:12, 165:18, 165:21, 165:22, 166:19, 173:3, 199:16, 202:11  <b>areas</b> [24] - 10:19, 10:23, 14:20, 19:3, 22:25, 28:1, 34:7, 38:7, 38:15, 38:16, 38:17, 39:6, 65:18, 101:15, 129:1, 137:18, 150:21, 154:14, 166:12, 166:13, 166:24, 171:1, 174:23, 204:1  <b>argument</b> [2] - 113:19, 208:25  <b>arm</b> [10] - 21:20, 21:21, 22:8, 22:11, 22:14, 22:15, 41:11, 41:13, 85:3, 88:2  <b>armed</b> [2] - 17:11, 18:12  <b>armpit</b> [1] - 86:3  <b>arms</b> [1] - 111:4  <b>arrows</b> [2] - 15:23, 16:1  <b>artifact</b> [1] - 107:19  <b>ascertain</b> [1] - 141:3  <b>ASCLD</b> [5] - 57:7, 137:8, 138:3, 142:2  <b>Asheville</b> [1] - 182:20</p>	<p><b>aspects</b> [1] - 52:10  <b>assess</b> [2] - 80:2, 113:17  <b>assign</b> [4] - 21:2, 25:8, 40:1, 205:6  <b>assigned</b> [5] - 20:24, 21:5, 40:3, 121:8, 122:6  <b>assist</b> [3] - 59:11, 122:6, 145:11  <b>assisted</b> [1] - 122:8  <b>associated</b> [1] - 20:7  <b>association</b> [1] - 206:2  <b>assume</b> [3] - 28:6, 115:18, 191:17  <b>assuming</b> [1] - 159:9  <b>assurance</b> [1] - 56:20  <b>atmosphere</b> [1] - 205:11  <b>Atmospheric</b> [1] - 179:14  <b>atmospheric</b> [6] - 177:23, 178:3, 178:13, 178:18, 181:7, 181:13  <b>attached</b> [3] - 161:4, 161:6, 161:8  <b>attended</b> [1] - 7:16  <b>attention</b> [19] - 19:15, 22:17, 23:17, 27:2, 30:1, 79:5, 83:6, 87:24, 150:3, 153:5, 153:9, 153:13, 157:25, 162:6, 163:4, 164:17, 166:1, 167:14, 171:18  <b>attorney</b> [1] - 203:7  <b>attributable</b> [1] - 100:17  <b>audit</b> [1] - 56:21  <b>audited</b> [2] - 56:24, 57:11  <b>audits</b> [2] - 57:2, 58:1  <b>August</b> [1] - 23:18  <b>automated</b> [4] - 180:3, 180:6, 182:4, 184:25  <b>autopsy</b> [2] - 124:12, 148:2  <b>available</b> [2] - 52:13, 147:2  <b>aware</b> [3] - 63:1, 66:13, 186:3  <b>axis</b> [2] - 195:2, 195:7</p>	<p><b>B</b></p> <p><b>B-r-a-y</b> [1] - 6:7  <b>B-u-x-b-a-u-m</b> [1] - 121:3  <b>bachelor's</b> [2] - 7:6, 178:1  <b>bachelors</b> [1] - 51:24  <b>background</b> [8] - 7:4, 26:14, 51:21, 58:15, 138:6, 139:6, 177:25, 179:6  <b>bacteria</b> [2] - 96:9, 96:12  <b>bacterial</b> [5] - 96:13, 96:14, 96:17, 161:17  <b>bad</b> [1] - 160:3  <b>bag</b> [9] - 123:13, 123:14, 123:24, 123:25, 124:1, 124:3, 124:4, 124:11, 129:21  <b>bagged</b> [2] - 123:5, 124:9  <b>ballpark</b> [4] - 100:15, 198:4, 198:12, 198:13  <b>band</b> [1] - 107:24  <b>base</b> [1] - 174:4  <b>based</b> [5] - 23:14, 26:6, 33:11, 102:4, 179:11  <b>bases</b> [3] - 82:4, 82:5, 82:7  <b>basis</b> [2] - 56:25, 59:23  <b>Baugh</b> [1] - 50:10  <b>bear</b> [2] - 68:16, 128:5  <b>beat</b> [1] - 137:3  <b>became</b> [4] - 7:5, 7:9, 51:22, 194:1  <b>become</b> [3] - 98:7, 138:8, 179:1  <b>becomes</b> [2] - 84:11, 97:13  <b>becoming</b> [1] - 8:7  <b>bed</b> [7] - 38:24, 45:4, 45:8, 45:9, 45:11, 45:13  <b>bedroom</b> [6] - 122:20, 122:25, 123:2, 123:5, 123:6, 131:18  <b>begin</b> [2] - 26:14, 161:25  <b>beginning</b> [1] - 55:9  <b>begins</b> [3] - 164:17, 167:15, 171:19  <b>behalf</b> [5] - 5:24, 50:13, 120:21, 136:2,</p>
--	---	--	---	--

<p>177:15  <b>behave</b> [2] - 198:6, 200:11  <b>behaves</b> [1] - 200:10  <b>behind</b> [3] - 43:18, 122:18, 206:4  <b>belonged</b> [2] - 106:5, 127:7  <b>below</b> [1] - 74:6  <b>bench</b> [1] - 66:5  <b>benchtop</b> [2] - 61:25, 63:17  <b>Bennett</b> [1] - 132:12  <b>Berry</b> [1] - 177:13  <b>BERRY</b> [1] - 177:14  <b>berry</b> [6] - 177:21, 181:12, 184:15, 188:12, 200:5, 200:7  <b>best</b> [5] - 46:10, 52:17, 118:6, 187:9, 194:14  <b>bet</b> [3] - 36:14, 68:17, 78:4  <b>better</b> [2] - 15:24, 62:5  <b>between</b> [17] - 55:3, 64:22, 68:12, 79:2, 93:1, 181:22, 186:16, 188:24, 191:13, 191:23, 194:6, 198:24, 203:15, 203:18, 206:15, 207:10, 208:16  <b>beyond</b> [6] - 141:25, 178:24, 189:1, 189:3, 189:5, 197:23  <b>Big</b> [1] - 202:19  <b>big</b> [8] - 16:4, 33:16, 94:14, 94:16, 98:20, 139:14, 161:23, 198:7  <b>bigger</b> [3] - 113:24, 188:6  <b>Billings</b> [28] - 20:13, 25:1, 25:13, 121:5, 121:6, 127:5, 130:18, 133:6, 142:22, 144:3, 151:15, 151:17, 152:24, 154:2, 155:18, 156:3, 156:11, 158:7, 181:19, 182:1, 182:22, 200:19, 200:21, 200:22, 201:9, 205:19, 206:14, 206:16  <b>billions</b> [3] - 63:7, 63:22, 95:9  <b>bindings</b> [1] - 132:12  <b>biological</b> [7] - 6:20, 8:3, 43:25, 140:21,</p>	<p>140:22, 141:4, 141:6  <b>Biological</b> [1] - 136:10  <b>biology</b> [6] - 7:6, 7:7, 51:6, 51:25, 136:24, 137:17  <b>Biosystems</b> [1] - 146:24  <b>birth</b> [3] - 114:21, 115:1, 115:25  <b>birthday</b> [2] - 115:21, 115:23  <b>bit</b> [36] - 9:6, 18:8, 36:13, 36:25, 39:12, 40:10, 41:8, 42:20, 54:9, 56:11, 58:4, 58:14, 59:9, 59:20, 63:21, 64:19, 65:11, 68:1, 76:5, 81:22, 82:10, 89:4, 93:2, 95:5, 97:2, 101:22, 101:24, 107:8, 107:23, 108:3, 109:19, 117:9, 118:5, 151:7, 196:5, 197:24  <b>black</b> [6] - 27:8, 27:10, 33:16, 39:17, 40:17, 127:2  <b>bleach</b> [9] - 12:16, 12:19, 12:20, 28:19, 33:6, 94:21, 94:23, 94:24, 96:5  <b>bleached</b> [1] - 92:10  <b>bleeding</b> [1] - 26:11  <b>blip</b> [1] - 108:2  <b>blood</b> [73] - 7:12, 7:14, 16:9, 20:17, 22:14, 22:16, 24:3, 24:5, 24:6, 24:12, 24:17, 25:21, 33:23, 34:8, 34:10, 34:13, 34:15, 35:11, 35:13, 37:15, 38:8, 38:17, 46:23, 47:3, 47:4, 53:20, 53:21, 53:22, 65:13, 109:7, 109:20, 109:21, 110:15, 110:19, 110:20, 110:24, 110:25, 111:1, 114:6, 124:23, 125:9, 139:19, 140:22, 140:25, 141:1, 141:9, 141:17, 143:1, 143:24, 144:1, 144:4, 147:17, 147:21, 147:25, 148:4, 148:6, 150:8, 150:12, 154:21, 155:2, 165:4, 165:10, 165:14, 165:17,</p>	<p>165:20, 166:12, 166:14, 166:19, 166:24, 167:6, 175:2  <b>bloody</b> [1] - 154:22  <b>blow</b> [3] - 78:2, 208:10  <b>blowing</b> [1] - 197:18  <b>blue</b> [5] - 16:4, 37:14, 37:16, 85:4, 124:7  <b>Blue</b> [3] - 201:8, 201:9, 201:15  <b>bodies</b> [5] - 95:16, 123:17, 123:20, 124:2, 124:4  <b>bodily</b> [1] - 47:6  <b>body</b> [8] - 53:13, 53:18, 103:15, 124:9, 127:22, 137:10, 140:24, 196:23  <b>Bofto</b> [2] - 197:3, 201:18  <b>boiling</b> [2] - 95:10, 95:11  <b>bold</b> [1] - 146:10  <b>bore</b> [1] - 102:21  <b>bottom</b> [10] - 74:13, 82:5, 86:2, 87:13, 145:23, 152:23, 158:13, 159:5, 160:23, 195:2  <b>bowl</b> [1] - 207:2  <b>box</b> [1] - 76:17  <b>boxes</b> [1] - 15:15  <b>BPD</b> [6] - 123:4, 130:13, 130:14, 133:3, 133:24, 170:1  <b>Bray</b> [40] - 5:22, 6:7, 6:8, 6:14, 7:3, 7:19, 8:9, 8:23, 9:4, 9:12, 10:12, 14:10, 15:5, 19:15, 23:8, 30:17, 31:3, 31:17, 31:20, 31:22, 32:23, 37:3, 40:9, 41:8, 42:14, 42:18, 47:13, 48:20, 58:8, 58:10, 65:25, 71:7, 81:3, 83:1, 83:7, 85:1, 88:1, 94:23, 103:14, 109:9  <b>BRAY</b> [1] - 5:23  <b>Bray's</b> [2] - 93:9, 109:18  <b>break</b> [1] - 76:15  <b>briefly</b> [12] - 6:18, 11:9, 20:15, 47:10, 49:11, 72:1, 79:4, 88:18, 117:2, 117:3, 121:19, 139:7  <b>bright</b> [3] - 27:11,</p>	<p>27:12, 101:7  <b>brighter</b> [2] - 28:5, 28:11  <b>brightness</b> [1] - 28:2  <b>bring</b> [4] - 5:10, 13:2, 50:4, 120:13  <b>broader</b> [1] - 89:19  <b>broken</b> [5] - 188:17, 189:4, 190:20, 190:24, 191:12  <b>brother</b> [1] - 112:13  <b>brought</b> [1] - 123:24  <b>brown</b> [7] - 33:12, 35:12, 38:11, 38:16, 38:17, 39:6, 39:12  <b>brushed</b> [2] - 110:23, 110:24  <b>buccal</b> [17] - 16:17, 25:5, 65:16, 143:5, 143:6, 143:10, 143:14, 143:15, 143:17, 143:19, 143:21, 144:3, 148:13, 148:19, 148:24, 150:2, 150:15  <b>building</b> [4] - 9:21, 10:13, 11:1, 182:1  <b>buildings</b> [1] - 181:23  <b>bulb</b> [8] - 183:22, 183:23, 183:24, 184:1, 184:2, 184:4, 184:5, 184:10  <b>bunch</b> [2] - 17:5, 161:23  <b>Burlington</b> [1] - 136:17  <b>burn</b> [2] - 35:7, 36:8  <b>burned</b> [14] - 32:24, 33:8, 33:9, 33:10, 33:12, 33:13, 36:4, 39:12, 71:8, 95:16, 95:17, 109:14, 154:21  <b>business</b> [1] - 178:11  <b>butcher</b> [4] - 12:17, 13:5, 33:2, 33:5  <b>button</b> [2] - 185:2  <b>Buxbaum</b> [10] - 120:19, 121:2, 122:2, 123:17, 124:12, 124:17, 125:16, 126:21, 130:6, 132:22  <b>BUXBAUM</b> [1] - 120:20  <b>buying</b> [1] - 117:8  <b>BY</b> [14] - 6:2, 42:17, 47:12, 48:3, 50:16, 98:16, 117:5, 119:6, 120:24, 136:5, 175:8,</p>	<p>177:18, 200:4, 204:21  <h2 style="text-align: center;">C</h2> <b>cabinets</b> [1] - 18:13  <b>cables</b> [1] - 17:8  <b>Cal</b> [1] - 178:1  <b>calculate</b> [2] - 149:10, 186:17  <b>calculation</b> [1] - 189:6  <b>calibration</b> [1] - 180:11  <b>California</b> [1] - 51:25  <b>camera</b> [1] - 12:19  <b>campfire</b> [2] - 192:25  <b>Canada</b> [2] - 137:1, 137:2  <b>cannot</b> [16] - 44:2, 72:16, 76:23, 77:10, 77:16, 79:15, 81:13, 85:17, 86:14, 87:20, 88:7, 116:19, 118:14, 169:6, 172:24, 208:15  <b>capillary</b> [4] - 59:1, 60:17, 63:16, 75:23  <b>captures</b> [1] - 193:3  <b>car</b> [1] - 111:17  <b>careful</b> [1] - 168:23  <b>Carolina</b> [6] - 134:13, 136:17, 138:18, 180:19, 182:20, 185:3  <b>carried</b> [1] - 61:13  <b>cart</b> [2] - 123:24  <b>case</b> [71] - 9:5, 12:21, 18:18, 18:19, 18:22, 19:18, 22:8, 22:12, 26:6, 26:9, 26:10, 26:14, 28:10, 28:25, 29:3, 29:12, 29:14, 30:10, 41:25, 49:1, 59:7, 65:21, 66:5, 66:13, 68:8, 70:18, 70:21, 71:22, 80:7, 80:25, 81:8, 98:20, 105:4, 119:25, 122:3, 124:13, 134:16, 141:2, 141:8, 141:9, 141:17, 142:4, 142:6, 142:15, 142:21, 151:24, 153:7, 156:1, 157:10, 159:21, 160:4, 161:9, 163:17, 163:22, 165:10, 169:12, 169:16, 173:15, 173:17, 174:17, 176:22, 180:1,</p>
--	---	---	--	---

<p>180:17, 182:9, 186:4, 189:4, 189:18, 190:25, 203:20, 209:15</p> <p><b>Case</b> [6] - 70:12, 91:24, 143:18, 149:17, 150:2, 176:6</p> <p><b>cases</b> [7] - 7:13, 7:16, 8:4, 8:6, 121:13, 121:15, 206:6</p> <p><b>casework</b> [1] - 137:22</p> <p><b>catch</b> [1] - 193:12</p> <p><b>Caucasian</b> [1] - 84:21</p> <p><b>Caucasians</b> [2] - 80:11, 84:24</p> <p><b>causing</b> [2] - 44:4, 204:5</p> <p><b>caution</b> [1] - 171:11</p> <p><b>cautious</b> [1] - 171:10</p> <p><b>cell</b> [5] - 53:19, 54:1, 54:12, 54:13, 140:24</p> <p><b>cells</b> [22] - 53:13, 53:20, 53:22, 53:23, 53:24, 92:25, 111:8, 111:11, 111:15, 111:18, 118:11, 140:24, 140:25, 141:1, 143:8, 154:9, 154:10, 154:11, 154:17, 155:15</p> <p><b>cellular</b> [1] - 58:16</p> <p><b>Center</b> [4] - 95:16, 95:20, 180:18, 182:21</p> <p><b>center</b> [4] - 180:20, 180:25, 181:24</p> <p><b>centers</b> [1] - 180:21</p> <p><b>centimeter</b> [1] - 28:24</p> <p><b>central</b> [1] - 200:25</p> <p><b>centrifuge</b> [1] - 24:7</p> <p><b>certain</b> [18] - 65:1, 66:15, 84:7, 99:17, 100:7, 100:20, 102:8, 111:6, 137:18, 137:19, 140:16, 153:9, 161:15, 182:24, 184:3, 203:22, 205:10, 207:17</p> <p><b>certainly</b> [10] - 69:24, 84:22, 95:23, 96:1, 98:22, 109:20, 109:23, 180:6, 186:20, 204:1</p> <p><b>certification</b> [1] - 183:4</p> <p><b>certified</b> [12] - 178:23, 179:2, 179:8,</p>	<p>179:9, 181:1, 182:8, 182:10, 183:3, 193:10, 193:13, 193:15, 194:12</p> <p><b>cetera</b> [1] - 190:24</p> <p><b>chain</b> [6] - 12:4, 12:6, 16:21, 18:5, 20:6, 37:23</p> <p><b>chance</b> [1] - 150:25</p> <p><b>chances</b> [3] - 114:20, 114:25, 149:20</p> <p><b>change</b> [9] - 12:24, 93:13, 198:2, 198:3, 198:10, 199:8, 199:9, 206:21, 207:5</p> <p><b>changes</b> [3] - 52:18, 92:25, 93:2</p> <p><b>changing</b> [1] - 52:15</p> <p><b>characterized</b> [1] - 107:19</p> <p><b>charge</b> [1] - 182:2</p> <p><b>chart</b> [9] - 75:1, 79:4, 81:17, 81:23, 82:18, 150:14, 151:3, 174:1, 195:3</p> <p><b>charts</b> [4] - 193:22, 193:23, 193:25, 194:11</p> <p><b>check</b> [2] - 11:20, 185:1</p> <p><b>checked</b> [4] - 91:8, 91:12, 193:17, 204:23</p> <p><b>cheek</b> [2] - 16:18, 143:7</p> <p><b>chemical</b> [6] - 12:22, 12:23, 13:7, 13:8, 34:10, 139:14</p> <p><b>chemistry</b> [1] - 137:17</p> <p><b>choice</b> [1] - 26:2</p> <p><b>chose</b> [3] - 25:25, 26:1, 112:21</p> <p><b>chromosome</b> [4] - 54:23, 74:3, 74:5, 74:21</p> <p><b>chromosomes</b> [6] - 53:13, 53:14, 54:15, 74:17, 74:23</p> <p><b>circle</b> [3] - 33:16, 33:22, 34:8</p> <p><b>circled</b> [2] - 34:7, 166:13</p> <p><b>circular</b> [1] - 54:11</p> <p><b>circumstances</b> [4] - 26:10, 29:22, 94:17, 111:6</p> <p><b>cite</b> [1] - 84:20</p> <p><b>City</b> [1] - 125:24</p> <p><b>city</b> [3] - 199:11,</p>	<p>205:16, 205:18</p> <p><b>classes</b> [2] - 52:11, 121:22</p> <p><b>clean</b> [10] - 12:15, 12:17, 12:19, 17:15, 17:17, 17:19, 17:20, 94:24, 128:12, 128:13</p> <p><b>cleaned</b> [1] - 28:22</p> <p><b>cleaning</b> [3] - 94:20, 96:6, 128:2</p> <p><b>clear</b> [6] - 38:21, 96:21, 107:4, 188:15, 188:23, 189:6</p> <p><b>cleared</b> [1] - 123:15</p> <p><b>clerk</b> [2] - 5:15, 5:17</p> <p><b>cliff</b> [1] - 201:5</p> <p><b>Climatic</b> [1] - 182:21</p> <p><b>climatology</b> [3] - 178:19, 181:5, 181:12</p> <p><b>close</b> [5] - 48:15, 64:4, 95:15, 115:19, 124:22</p> <p><b>close-up</b> [2] - 64:4, 124:22</p> <p><b>closed</b> [1] - 16:13</p> <p><b>closer</b> [1] - 202:21</p> <p><b>closet</b> [1] - 174:21</p> <p><b>clothing</b> [5] - 13:12, 140:19, 154:8, 154:12</p> <p><b>cloud</b> [13] - 190:6, 190:7, 190:16, 190:24, 191:5, 191:8, 191:21, 192:13, 192:14, 199:12, 199:13, 199:17, 203:23</p> <p><b>cloudier</b> [3] - 188:17, 189:25, 191:1</p> <p><b>clouds</b> [12] - 189:24, 190:23, 191:12, 191:19, 191:24, 192:2, 192:4, 192:21, 204:5, 208:6, 208:7, 208:10</p> <p><b>cloudy</b> [4] - 191:2, 193:4, 196:15</p> <p><b>CLR</b> [1] - 188:15</p> <p><b>clump</b> [2] - 162:15, 162:18</p> <p><b>coat</b> [9] - 12:14, 110:24, 127:3, 128:23, 128:24, 131:11, 131:13, 131:21, 160:5</p> <p><b>coated</b> [1] - 154:21</p> <p><b>code</b> [1] - 140:3</p> <p><b>CODIS</b> [3] - 89:20, 89:21, 89:24</p> <p><b>coin</b> [2] - 29:2, 29:13</p> <p><b>cold</b> [1] - 192:25</p>	<p><b>collect</b> [15] - 13:9, 13:15, 13:16, 21:12, 21:18, 22:2, 25:23, 26:2, 26:7, 28:9, 28:12, 128:8, 155:15, 179:18, 183:4</p> <p><b>collected</b> [23] - 20:18, 20:23, 21:2, 21:9, 21:19, 22:3, 28:21, 37:13, 37:15, 37:17, 38:10, 38:17, 42:9, 43:16, 46:24, 65:25, 132:2, 154:1, 156:2, 179:24, 180:15, 180:17, 180:24</p> <p><b>collecting</b> [4] - 13:19, 24:22, 122:11, 141:12</p> <p><b>collection</b> [4] - 179:22, 180:11, 197:9, 207:11</p> <p><b>collects</b> [1] - 185:1</p> <p><b>College</b> [1] - 136:20</p> <p><b>college</b> [2] - 113:2, 178:3</p> <p><b>color</b> [3] - 30:12, 165:23, 165:24</p> <p><b>column</b> [13] - 73:23, 74:12, 75:11, 131:14, 146:4, 146:9, 146:13, 146:17, 147:20, 152:6, 152:10, 184:15, 184:16</p> <p><b>columns</b> [4] - 146:6, 147:9, 163:1, 185:15</p> <p><b>combine</b> [1] - 98:5</p> <p><b>combined</b> [2] - 65:10, 98:5</p> <p><b>Combined</b> [1] - 89:21</p> <p><b>combines</b> [1] - 139:16</p> <p><b>comfortable</b> [3] - 61:17, 69:7, 171:3</p> <p><b>coming</b> [10] - 17:8, 21:4, 51:15, 52:13, 83:12, 150:25, 190:18, 192:23, 193:2, 199:3</p> <p><b>commercially</b> [2] - 146:22, 146:23</p> <p><b>common</b> [9] - 13:13, 21:13, 22:10, 26:22, 65:18, 80:17, 98:8, 111:21, 149:11</p> <p><b>commonly</b> [2] - 6:12, 57:7</p> <p><b>community</b> [6] - 52:14, 52:15, 56:4,</p>	<p>142:10, 147:6, 168:24</p> <p><b>company</b> [1] - 146:24</p> <p><b>comparable</b> [1] - 198:19</p> <p><b>comparative</b> [1] - 66:22</p> <p><b>compare</b> [18] - 59:4, 59:13, 59:17, 67:16, 67:19, 68:7, 68:23, 69:5, 72:8, 79:8, 91:15, 133:5, 140:5, 141:22, 168:19, 171:14, 174:11, 195:21</p> <p><b>compared</b> [20] - 64:17, 69:3, 72:10, 90:15, 91:5, 92:3, 130:17, 155:8, 162:20, 163:21, 164:4, 167:12, 169:12, 172:19, 186:8, 191:24, 192:4, 197:15, 204:2</p> <p><b>comparing</b> [5] - 59:24, 80:22, 130:25, 139:25, 171:4</p> <p><b>comparison</b> [6] - 81:20, 150:19, 151:20, 151:21, 171:16, 191:22</p> <p><b>comparisons</b> [6] - 68:2, 142:17, 159:14, 159:20, 164:7, 171:6</p> <p><b>compartment</b> [1] - 54:1</p> <p><b>complete</b> [9] - 7:21, 12:24, 13:21, 88:21, 137:18, 145:5, 148:25, 149:16, 164:5</p> <p><b>completed</b> [5] - 7:10, 7:13, 7:14, 17:18, 136:24</p> <p><b>completely</b> [3] - 87:11, 150:21, 150:24</p> <p><b>completion</b> [1] - 144:17</p> <p><b>complex</b> [1] - 161:15</p> <p><b>complicated</b> [2] - 88:23, 100:4</p> <p><b>comprehensive</b> [3] - 7:14, 179:7, 179:22</p> <p><b>computer</b> [1] - 90:11</p> <p><b>computers</b> [1] - 63:17</p> <p><b>conceive</b> [1] - 114:20</p> <p><b>concentration</b> [1] - 137:18</p> <p><b>concept</b> [1] - 94:7</p>
---	--	--	---	--

<p><b>concerned</b> [1] - 203:25</p> <p><b>conclusion</b> [11] - 76:22, 77:20, 77:21, 77:24, 79:14, 79:17, 79:18, 102:6, 106:15, 186:12, 207:20</p> <p><b>conclusions</b> [2] - 77:1, 186:10</p> <p><b>conclusive</b> [3] - 159:14, 164:7, 171:5</p> <p><b>condition</b> [2] - 13:6, 39:8</p> <p><b>conditions</b> [2] - 188:7, 198:10</p> <p><b>conduct</b> [5] - 10:1, 10:15, 10:17, 38:5, 58:1</p> <p><b>conducted</b> [2] - 10:2, 32:8</p> <p><b>conducting</b> [1] - 35:17</p> <p><b>confident</b> [2] - 84:9, 108:7</p> <p><b>confused</b> [1] - 105:7</p> <p><b>conjunction</b> [1] - 137:12</p> <p><b>connected</b> [4] - 48:24, 119:24, 176:20, 209:13</p> <p><b>consent</b> [1] - 135:5</p> <p><b>conservative</b> [4] - 98:8, 108:9, 108:14, 171:11</p> <p><b>consider</b> [4] - 97:21, 97:25, 98:2, 206:8</p> <p><b>considered</b> [6] - 54:3, 64:8, 84:3, 137:10, 138:3, 206:12</p> <p><b>considering</b> [1] - 199:17</p> <p><b>consist</b> [1] - 55:10</p> <p><b>consistent</b> [17] - 83:12, 92:14, 116:6, 140:6, 152:18, 155:9, 155:22, 162:21, 165:15, 167:13, 168:3, 169:3, 170:10, 172:10, 173:6, 174:18, 174:23</p> <p><b>consists</b> [2] - 90:19, 182:22</p> <p><b>constantly</b> [2] - 91:1, 154:10</p> <p><b>constitutes</b> [1] - 109:6</p> <p><b>consulted</b> [1] - 39:13</p> <p><b>consulting</b> [4] - 178:12, 178:23, 179:2, 181:1</p>	<p><b>contact</b> [3] - 110:13, 150:25, 154:15</p> <p><b>contacted</b> [2] - 127:17, 134:17</p> <p><b>contain</b> [3] - 130:13, 193:10, 193:14</p> <p><b>contained</b> [5] - 16:12, 53:12, 103:24, 130:22, 169:17</p> <p><b>container</b> [4] - 134:7, 134:8, 160:11, 161:1</p> <p><b>containing</b> [1] - 172:18</p> <p><b>contaminants</b> [1] - 16:11</p> <p><b>contaminate</b> [1] - 63:10</p> <p><b>contaminating</b> [1] - 11:3</p> <p><b>contamination</b> [3] - 14:2, 63:2, 63:12</p> <p><b>contempt</b> [1] - 49:14</p> <p><b>contend</b> [1] - 96:7</p> <p><b>continually</b> [3] - 90:16, 91:5, 91:8</p> <p><b>continuation</b> [5] - 5:5, 49:7, 120:10, 167:22, 177:5</p> <p><b>continue</b> [5] - 57:3, 71:17, 75:20, 78:21, 208:25</p> <p><b>continuing</b> [4] - 7:20, 14:8, 52:7, 121:23</p> <p><b>contributed</b> [1] - 88:24</p> <p><b>contributing</b> [2] - 92:5, 106:17</p> <p><b>contribution</b> [3] - 72:18, 81:10, 85:19</p> <p><b>contributor</b> [23] - 77:7, 77:11, 77:16, 77:17, 78:9, 78:23, 79:12, 82:14, 83:4, 85:18, 85:20, 85:25, 87:20, 88:7, 88:8, 88:17, 89:2, 89:4, 91:21, 99:20, 168:21, 172:21, 172:25</p> <p><b>contributors</b> [22] - 72:4, 72:17, 72:19, 76:24, 77:9, 79:16, 79:25, 80:2, 80:10, 81:9, 81:12, 81:14, 85:22, 86:15, 86:17, 87:23, 88:11, 99:20, 100:4, 157:13, 173:17</p> <p><b>convection</b> [1] - 206:19</p> <p><b>convective</b> [1] -</p>	<p>204:5</p> <p><b>converse</b> [5] - 48:23, 119:23, 176:19, 209:10, 209:12</p> <p><b>convicted</b> [3] - 90:17, 90:20, 90:24</p> <p><b>convolute</b> [1] - 100:3</p> <p><b>cool</b> [6] - 192:22, 192:24, 198:8, 206:25, 207:2, 207:3</p> <p><b>cooled</b> [1] - 207:4</p> <p><b>cooler</b> [8] - 198:14, 198:16, 198:17, 205:13, 207:6, 207:25, 208:18, 208:20</p> <p><b>cooling</b> [1] - 206:25</p> <p><b>cooperative</b> [1] - 135:4</p> <p><b>copies</b> [15] - 54:11, 54:12, 63:7, 63:23, 65:5, 65:7, 74:21, 75:9, 95:9, 97:23, 97:25, 98:1, 98:2, 107:23</p> <p><b>copy</b> [1] - 108:3</p> <p><b>copying</b> [2] - 92:24, 107:20</p> <p><b>cord</b> [4] - 133:20, 151:17, 152:2, 153:1</p> <p><b>coroner</b> [1] - 123:22</p> <p><b>correct</b> [102] - 22:4, 25:12, 35:5, 42:23, 43:1, 44:15, 44:23, 45:5, 45:6, 45:18, 45:21, 46:1, 46:2, 46:11, 46:21, 47:1, 47:16, 48:6, 48:11, 59:14, 64:9, 64:11, 66:12, 66:24, 69:15, 76:20, 86:4, 90:6, 91:18, 91:19, 103:16, 103:24, 104:19, 104:21, 105:5, 108:21, 109:21, 109:23, 110:9, 110:17, 112:15, 113:9, 113:16, 115:24, 116:21, 117:13, 118:15, 118:20, 118:24, 119:11, 119:13, 119:14, 125:9, 127:16, 128:14, 129:9, 130:1, 130:20, 130:24, 131:14, 131:16, 131:19, 132:1, 132:4, 132:7, 132:13, 132:17, 133:19, 133:25,</p>	<p>134:3, 134:14, 134:15, 138:22, 142:20, 144:6, 149:6, 150:20, 152:7, 152:8, 153:20, 153:21, 156:17, 157:24, 160:8, 160:17, 168:2, 172:12, 173:21, 173:23, 173:24, 175:19, 175:21, 175:22, 176:1, 176:6, 176:7, 186:18, 194:10, 196:13, 196:21, 207:13, 208:1</p> <p><b>corrected</b> [3] - 41:13, 88:1, 160:21</p> <p><b>correction</b> [1] - 21:22</p> <p><b>correctly</b> [5] - 5:8, 44:13, 85:2, 117:14, 186:14</p> <p><b>correspond</b> [8] - 156:18, 158:14, 160:21, 163:1, 169:25, 170:16, 173:25, 185:15</p> <p><b>corresponding</b> [1] - 164:10</p> <p><b>corresponds</b> [3] - 147:17, 148:24, 150:7</p> <p><b>cotton</b> [4] - 13:18, 16:17, 21:24, 143:7</p> <p><b>cotton-tipped</b> [1] - 16:17</p> <p><b>counsel</b> [5] - 5:7, 49:8, 120:12, 177:6, 203:4</p> <p><b>count</b> [2] - 49:20, 49:21</p> <p><b>counter</b> [1] - 11:12</p> <p><b>counties</b> [2] - 138:18</p> <p><b>counting</b> [1] - 5:8</p> <p><b>country</b> [2] - 80:16, 80:19</p> <p><b>County</b> [4] - 8:15, 25:2, 25:11, 25:12</p> <p><b>couple</b> [14] - 56:17, 62:4, 63:16, 82:5, 108:12, 118:22, 121:20, 122:24, 124:19, 128:24, 129:15, 130:10, 147:4, 200:7</p> <p><b>coupled</b> [1] - 118:13</p> <p><b>couples</b> [1] - 115:19</p> <p><b>course</b> [4] - 122:9, 127:5, 176:2, 179:21</p> <p><b>courses</b> [1] - 137:19</p> <p><b>court</b> [4] - 5:2, 8:10, 52:21, 209:18</p>	<p><b>Court</b> [2] - 118:16, 138:20</p> <p><b>COURT</b> [68] - 5:5, 5:14, 5:18, 9:1, 9:11, 10:8, 14:6, 14:9, 15:1, 31:2, 32:18, 40:8, 42:15, 48:1, 48:12, 48:15, 48:22, 49:6, 49:11, 49:16, 49:18, 50:3, 50:8, 53:9, 60:25, 73:17, 93:19, 98:14, 99:7, 116:24, 117:3, 119:1, 119:3, 119:16, 119:20, 120:5, 120:9, 120:16, 124:16, 125:5, 130:3, 131:6, 132:19, 132:25, 133:13, 135:21, 135:23, 139:2, 145:19, 174:8, 175:6, 176:15, 177:2, 177:4, 177:11, 181:15, 182:13, 183:9, 187:18, 194:22, 200:1, 203:7, 203:10, 204:16, 204:19, 209:2, 209:4, 209:7</p> <p><b>Court's</b> [4] - 49:2, 120:1, 176:23</p> <p><b>courtroom</b> [8] - 5:12, 49:4, 50:6, 120:3, 120:14, 176:25, 177:9, 209:17</p> <p><b>courts</b> [2] - 8:20, 52:25</p> <p><b>cover</b> [15] - 178:19, 182:25, 187:13, 188:18, 188:19, 188:25, 190:16, 191:6, 191:8, 191:22, 192:13, 192:14, 199:12, 199:13, 199:17</p> <p><b>coverage</b> [3] - 189:10, 190:7, 190:8</p> <p><b>covers</b> [1] - 204:9</p> <p><b>Covington</b> [43] - 5:6, 18:18, 25:6, 40:25, 49:7, 49:8, 70:7, 70:16, 72:12, 72:16, 73:7, 75:7, 76:23, 79:15, 83:3, 85:21, 86:16, 87:21, 88:10, 103:11, 103:15, 104:5, 104:17, 106:6, 117:16, 120:11, 126:24, 127:7, 143:12, 143:16, 148:18, 148:19,</p>
---	---	---	---	--

<p>148:20, 148:25, 149:16, 149:17, 157:19, 175:21, 176:5, 177:5, 177:6 <b>Covington's</b> [6] - 41:2, 80:21, 81:10, 103:9, 106:16, 127:11 <b>Cowboy</b> [1] - 127:3 <b>create</b> [3] - 73:12, 117:11, 129:24 <b>created</b> [2] - 129:21, 186:24 <b>creating</b> [1] - 11:14 <b>creek</b> [1] - 206:4 <b>Creek</b> [3] - 201:9, 201:16, 202:17 <b>Crime</b> [29] - 6:13, 6:15, 6:23, 7:11, 9:7, 9:8, 9:18, 9:20, 9:23, 11:2, 11:9, 11:16, 14:21, 18:2, 19:7, 50:25, 51:2, 51:15, 51:16, 52:3, 55:25, 57:6, 60:20, 66:10, 127:13, 134:18, 135:13, 137:9, 140:10 <b>crime</b> [11] - 24:13, 32:15, 37:25, 46:5, 93:11, 121:24, 122:12, 123:16, 129:1, 139:5, 140:20 <b>criteria</b> [1] - 179:3 <b>cross</b> [6] - 11:3, 14:2, 42:15, 98:14, 175:6, 200:1 <b>CROSS</b> [4] - 42:16, 98:15, 175:7, 200:3 <b>cross-</b> <b>contaminating</b> [1] - 11:3 <b>cross-</b> <b>contamination</b> [1] - 14:2 <b>cross-exam</b> [4] - 42:15, 98:14, 175:6, 200:1 <b>CROSS-</b> <b>EXAMINATION</b> [4] - 42:16, 98:15, 175:7, 200:3 <b>crossed</b> [1] - 207:13 <b>cuff</b> [8] - 21:20, 21:21, 22:9, 41:12, 41:13, 85:3, 88:2, 106:9 <b>cuffs</b> [4] - 22:11, 22:14, 22:15, 45:15 <b>cumbersome</b> [2] - 130:20, 186:21 <b>cup</b> [18] - 22:23,</p>	<p>22:24, 23:3, 23:10, 83:8, 83:20, 84:2, 84:13, 94:7, 96:22, 97:1, 113:3, 117:24, 117:25, 118:1, 158:8 <b>current</b> [5] - 6:8, 6:16, 50:23, 52:17, 136:9 <b>custody</b> [5] - 12:4, 12:6, 18:5, 20:6, 37:23 <b>cut</b> [10] - 19:4, 28:23, 28:24, 29:24, 35:22, 37:5, 96:15, 110:14, 134:25, 141:11 <b>cuts</b> [3] - 132:9, 132:11, 135:6 <b>cutting</b> [10] - 13:17, 28:15, 28:16, 28:18, 132:12, 164:18, 164:21, 165:2, 165:11, 165:18 <b>cuttings</b> [2] - 37:7, 174:20</p>	<p>180:25, 181:2, 181:20, 181:24, 182:2, 182:4, 182:9, 182:20, 182:21, 182:23, 183:13, 184:23, 185:1, 185:2, 185:3, 185:6, 185:8, 185:12, 185:15, 185:17, 186:1, 186:9, 186:13, 186:15, 186:20, 187:5, 187:7, 187:12, 187:22, 188:6, 189:22, 191:13, 193:11, 193:14, 193:17, 194:1, 194:3, 194:17, 194:19, 197:9, 197:15, 199:6, 199:7, 199:8, 202:12, 204:23, 205:1, 205:8, 207:11 <b>Data</b> [2] - 180:18, 182:21 <b>database</b> [14] - 56:19, 57:4, 59:22, 59:25, 89:8, 89:11, 89:15, 89:17, 89:18, 89:20, 90:13, 90:15, 90:21, 90:23 <b>databases</b> [1] - 80:3 <b>date</b> [20] - 23:15, 29:1, 29:4, 29:5, 29:13, 29:15, 40:19, 57:13, 114:21, 115:1, 116:1, 127:9, 127:20, 128:14, 138:8, 153:13, 184:14, 187:2, 187:13 <b>dated</b> [12] - 19:16, 22:18, 27:3, 39:22, 41:4, 79:5, 158:1, 162:7, 163:4, 164:17, 167:15, 171:19 <b>dates</b> [4] - 40:11, 40:12, 194:6 <b>daughter</b> [1] - 112:16 <b>days</b> [7] - 114:22, 126:7, 148:1, 192:4, 192:12, 194:4 <b>de</b> [1] - 100:3 <b>de-convolute</b> [1] - 100:3 <b>dead</b> [3] - 61:13, 61:23, 62:14 <b>deal</b> [2] - 95:21, 112:22 <b>dealing</b> [1] - 98:19 <b>dealt</b> [1] - 175:11 <b>death</b> [1] - 148:1</p>	<p><b>debris</b> [12] - 20:20, 20:21, 21:13, 26:16, 26:22, 37:19, 37:21, 38:9, 38:19, 128:6, 128:10, 161:23 <b>debt</b> [1] - 113:5 <b>December</b> [4] - 153:13, 158:1, 162:7, 163:4 <b>decided</b> [1] - 39:14 <b>decision</b> [1] - 39:9 <b>decomposition</b> [1] - 148:2 <b>deduce</b> [1] - 89:5 <b>defendant</b> [2] - 91:22, 119:4 <b>defense</b> [1] - 38:22 <b>define</b> [1] - 64:12 <b>definitely</b> [2] - 162:3, 191:23 <b>definitive</b> [1] - 204:25 <b>degradation</b> [17] - 93:21, 93:23, 94:1, 94:4, 94:13, 94:14, 94:18, 94:20, 95:21, 95:22, 96:4, 96:18, 98:20, 98:23, 99:1, 161:14, 162:3 <b>degrade</b> [6] - 94:22, 95:2, 96:15, 161:11, 161:17, 161:19 <b>degrading</b> [1] - 96:13 <b>degree</b> [11] - 7:6, 136:24, 137:17, 178:1, 178:2, 178:25, 187:25, 198:14, 198:16, 198:17, 208:17 <b>degrees</b> [12] - 51:25, 95:13, 96:1, 184:8, 187:3, 187:4, 188:1, 193:4, 203:15, 206:23 <b>deionized</b> [1] - 29:8 <b>demonstrate</b> [1] - 138:1 <b>demonstrative</b> [2] - 10:5, 145:16 <b>denote</b> [1] - 74:2 <b>deodorant</b> [1] - 27:22 <b>department</b> [18] - 11:23, 11:24, 12:2, 19:9, 24:10, 24:11, 24:13, 24:14, 41:19, 42:12, 51:5, 65:25, 70:22, 121:9, 125:24, 140:12, 179:12 <b>Department</b> [18] -</p>	<p>20:13, 25:2, 25:13, 121:5, 121:7, 127:5, 130:18, 133:6, 142:22, 144:3, 151:16, 151:18, 152:24, 154:2, 155:18, 156:4, 156:11, 158:7 <b>departments</b> [5] - 11:7, 12:5, 15:11, 18:1, 19:7 <b>depict</b> [3] - 14:20, 60:19, 124:24 <b>deputy</b> [1] - 197:2 <b>describe</b> [12] - 6:17, 7:5, 11:9, 28:3, 30:6, 37:11, 87:12, 121:19, 137:5, 159:7, 177:24, 179:1 <b>described</b> [20] - 17:14, 21:24, 37:25, 55:7, 64:2, 64:5, 81:15, 86:1, 87:12, 155:12, 156:7, 158:7, 158:23, 159:6, 160:10, 162:12, 166:6, 166:8, 168:15, 173:4 <b>description</b> [3] - 29:4, 29:14, 109:14 <b>descriptions</b> [1] - 29:19 <b>design</b> [2] - 63:3, 63:4 <b>designates</b> [1] - 74:7 <b>designation</b> [1] - 188:14 <b>designed</b> [1] - 96:15 <b>despite</b> [1] - 43:8 <b>detail</b> [4] - 24:25, 51:23, 63:21, 191:14 <b>details</b> [1] - 56:22 <b>detect</b> [2] - 58:23, 96:11 <b>detected</b> [1] - 20:17 <b>detectible</b> [1] - 74:22 <b>detective</b> [4] - 121:8, 121:11, 121:13, 125:16 <b>Detective</b> [22] - 30:20, 120:19, 122:2, 122:6, 122:8, 122:16, 122:18, 123:7, 123:13, 123:17, 124:12, 124:17, 125:16, 126:21, 128:2, 128:21, 129:10, 130:5, 132:22, 134:21, 135:2, 197:3</p>
<b>D</b>				
	<p><b>D.C</b> [4] - 178:8, 179:13, 180:16, 180:20 <b>D18</b> [1] - 78:16 <b>D21</b> [1] - 78:13 <b>D3</b> [6] - 77:13, 78:1, 99:24, 102:1, 102:17, 107:21 <b>D3S</b> [1] - 74:5 <b>D5</b> [1] - 79:1 <b>dad</b> [7] - 54:15, 64:20, 65:4, 65:5, 139:16, 157:3, 157:6 <b>dad's</b> [1] - 53:15 <b>daily</b> [1] - 184:11 <b>Dallas</b> [1] - 127:3 <b>damage</b> [3] - 95:4, 95:5, 144:8 <b>damaged</b> [2] - 94:5, 144:4 <b>damn</b> [1] - 209:11 <b>Dartmouth</b> [1] - 178:2 <b>data</b> [70] - 59:23, 67:25, 75:22, 84:1, 91:4, 101:21, 179:18, 179:21, 179:22, 179:24, 179:25, 180:1, 180:11, 180:15, 180:17, 180:19, 180:20, 180:21, 180:23,</p>			

<p><b>detectives</b> [2] - 122:14, 123:1</p> <p><b>determination</b> [2] - 26:5, 109:7</p> <p><b>determine</b> [12] - 28:7, 33:9, 43:23, 47:16, 58:17, 58:20, 74:18, 81:4, 93:6, 109:2, 141:5, 165:9</p> <p><b>determined</b> [2] - 33:12, 41:16</p> <p><b>determining</b> [2] - 64:22, 109:1</p> <p><b>develop</b> [19] - 66:3, 69:13, 70:2, 70:3, 70:6, 71:14, 83:10, 84:5, 84:12, 88:4, 89:2, 94:4, 99:20, 100:3, 105:25, 117:17, 118:11, 144:10, 203:22</p> <p><b>developed</b> [22] - 66:4, 67:12, 67:19, 68:18, 68:19, 69:18, 69:21, 70:9, 70:14, 71:16, 72:7, 75:5, 83:11, 85:15, 86:12, 87:1, 88:9, 88:12, 92:14, 95:19, 98:22, 180:5</p> <p><b>developing</b> [2] - 95:6, 207:1</p> <p><b>develops</b> [1] - 59:3</p> <p><b>deviate</b> [3] - 56:6, 56:9, 56:13</p> <p><b>device</b> [1] - 124:23</p> <p><b>diagram</b> [8] - 9:14, 9:15, 9:25, 10:15, 158:10, 159:4, 169:25, 170:16</p> <p><b>differ</b> [1] - 205:3</p> <p><b>difference</b> [9] - 35:17, 55:2, 93:1, 96:25, 197:24, 203:3, 203:19, 208:13, 208:16</p> <p><b>differences</b> [6] - 55:2, 100:16, 205:7, 206:15, 207:8, 207:10</p> <p><b>different</b> [46] - 28:2, 28:5, 54:7, 54:22, 54:24, 55:1, 55:4, 55:5, 56:17, 58:24, 59:2, 63:23, 64:15, 64:18, 68:10, 68:11, 68:15, 71:22, 72:1, 72:5, 75:8, 76:8, 76:9, 80:14, 84:14, 89:5, 90:10, 93:2, 95:9, 97:21, 105:15, 129:1,</p>	<p>140:2, 146:5, 146:15, 146:23, 166:23, 173:3, 185:14, 197:12, 198:22, 199:6, 203:2, 206:3, 206:5</p> <p><b>differently</b> [4] - 27:23, 34:17, 54:9, 68:1</p> <p><b>difficult</b> [4] - 32:22, 36:18, 95:18, 161:3</p> <p><b>digging</b> [1] - 122:17</p> <p><b>direct</b> [8] - 81:20, 110:8, 110:13, 110:15, 110:25, 153:9, 206:2, 207:16</p> <p><b>DIRECT</b> [5] - 6:1, 50:15, 120:23, 136:4, 177:17</p> <p><b>direction</b> [3] - 63:5, 179:20, 197:23</p> <p><b>directly</b> [6] - 18:3, 24:10, 61:14, 63:19, 193:2, 194:18</p> <p><b>director</b> [3] - 56:21, 57:2, 139:5</p> <p><b>Directors</b> [2] - 57:6, 137:9</p> <p><b>disagree</b> [1] - 203:13</p> <p><b>disaster</b> [1] - 95:16</p> <p><b>discolorations</b> [1] - 33:11</p> <p><b>discovering</b> [1] - 200:13</p> <p><b>discuss</b> [5] - 9:4, 27:3, 155:2, 170:5, 173:9</p> <p><b>discussed</b> [4] - 73:9, 144:10, 196:14, 199:12</p> <p><b>disease</b> [1] - 92:22</p> <p><b>disparity</b> [1] - 100:1</p> <p><b>displayed</b> [1] - 38:18</p> <p><b>disposal</b> [1] - 61:15</p> <p><b>disputing</b> [1] - 202:11</p> <p><b>distance</b> [2] - 199:23, 204:10</p> <p><b>distinguish</b> [3] - 64:16, 88:24, 100:3</p> <p><b>district</b> [1] - 8:15</p> <p><b>District</b> [1] - 8:16</p> <p><b>divide</b> [1] - 92:25</p> <p><b>division</b> [3] - 37:24, 121:8, 198:21</p> <p><b>Division</b> [1] - 6:12</p> <p><b>DNA</b> [328] - 6:24, 10:18, 11:25, 13:1, 13:9, 13:20, 13:21, 16:19, 16:22, 17:11,</p>	<p>18:12, 23:1, 24:23, 24:24, 26:8, 28:12, 34:21, 40:5, 42:11, 46:24, 48:19, 50:24, 51:2, 51:7, 51:15, 51:19, 51:22, 52:6, 52:8, 52:22, 53:7, 53:11, 53:12, 53:22, 54:2, 54:3, 54:4, 54:5, 54:6, 54:7, 54:12, 54:13, 54:14, 54:18, 54:20, 54:23, 55:10, 55:15, 55:17, 55:19, 55:24, 56:1, 56:16, 56:17, 56:18, 56:20, 56:23, 58:4, 58:10, 58:16, 58:17, 58:20, 58:21, 58:22, 58:23, 59:2, 59:3, 59:5, 59:21, 59:22, 59:23, 60:8, 60:11, 60:20, 61:10, 61:12, 62:2, 62:12, 62:25, 63:5, 63:7, 63:8, 63:23, 64:8, 64:12, 64:13, 64:14, 64:15, 64:16, 64:17, 64:18, 64:19, 64:20, 64:21, 64:22, 64:23, 64:25, 65:5, 65:6, 65:10, 66:4, 66:5, 66:14, 66:17, 66:18, 67:1, 67:2, 67:9, 67:18, 67:23, 68:3, 68:18, 68:19, 68:22, 69:3, 69:4, 70:9, 70:14, 71:16, 71:20, 71:22, 71:25, 72:4, 72:17, 72:20, 73:5, 73:8, 74:1, 74:7, 74:18, 74:22, 75:24, 75:25, 76:1, 76:6, 76:19, 77:2, 77:3, 77:7, 77:10, 78:24, 79:6, 79:24, 80:5, 80:9, 81:7, 81:20, 82:3, 82:4, 82:5, 82:8, 82:10, 82:11, 82:15, 82:16, 82:19, 82:23, 83:11, 83:14, 84:7, 84:9, 84:17, 84:19, 85:15, 86:12, 87:1, 88:6, 88:13, 88:20, 88:21, 88:22, 88:25, 89:3, 89:4, 89:5, 89:7, 89:19, 89:21, 90:16, 90:22, 90:23, 90:24, 91:1, 92:1, 92:2, 92:3, 92:5, 92:14, 92:21, 92:24, 93:3, 94:2, 94:4, 94:5, 94:6, 94:8, 94:10, 94:11, 94:13,</p>	<p>94:15, 94:18, 94:20, 94:22, 95:3, 95:4, 95:6, 95:8, 95:9, 95:11, 95:18, 95:22, 96:13, 96:15, 97:16, 97:18, 98:7, 98:19, 98:22, 99:15, 100:2, 104:6, 104:24, 105:3, 105:23, 106:18, 106:19, 107:5, 107:16, 107:20, 108:23, 109:19, 109:21, 110:5, 110:8, 110:12, 111:13, 112:7, 112:9, 112:14, 113:15, 116:20, 118:14, 119:8, 119:10, 129:4, 129:8, 134:13, 134:14, 136:10, 136:16, 137:7, 137:11, 137:22, 138:9, 138:13, 138:21, 138:25, 139:6, 139:13, 139:14, 139:15, 139:16, 139:18, 139:22, 139:25, 140:3, 140:7, 140:9, 140:14, 140:18, 140:23, 140:24, 140:25, 141:7, 141:13, 141:21, 142:12, 144:20, 146:4, 147:14, 148:3, 148:7, 148:25, 149:1, 149:7, 149:9, 149:12, 149:18, 149:22, 150:20, 151:12, 152:19, 152:25, 155:9, 156:24, 158:17, 159:15, 161:5, 161:6, 161:14, 161:17, 161:18, 161:19, 162:4, 165:12, 170:10, 171:15, 172:18, 174:23, 175:1</p> <p><b>DNA's</b> [1] - 54:10</p> <p><b>Doctor</b> [1] - 204:12</p> <p><b>doctor</b> [1] - 209:2</p> <p><b>doctoral</b> [2] - 51:24, 52:1</p> <p><b>document</b> [9] - 13:2, 13:5, 42:2, 56:22, 130:22, 133:2, 186:24, 187:2, 187:13</p> <p><b>documented</b> [2] - 46:7, 97:10</p> <p><b>done</b> [23] - 7:24,</p>	<p>12:17, 28:20, 39:14, 53:3, 66:5, 68:9, 82:9, 89:9, 103:1, 117:10, 123:20, 127:11, 129:6, 130:11, 138:1, 142:5, 142:6, 144:17, 151:1, 169:20, 178:12, 186:11</p> <p><b>donor</b> [1] - 74:18</p> <p><b>door</b> [2] - 11:19, 118:22</p> <p><b>Doris</b> [4] - 70:15, 143:12, 148:20, 176:5</p> <p><b>dot</b> [1] - 17:7</p> <p><b>dotted</b> [4] - 34:8, 34:11, 34:12, 35:1</p> <p><b>double</b> [1] - 193:17</p> <p><b>doubt</b> [1] - 205:8</p> <p><b>down</b> [50] - 12:9, 12:17, 18:16, 30:25, 33:3, 33:5, 35:9, 37:3, 48:12, 49:16, 74:11, 74:12, 76:15, 78:11, 78:19, 82:18, 102:8, 119:16, 122:4, 128:1, 128:3, 128:5, 134:10, 135:23, 146:6, 147:7, 147:20, 152:9, 152:11, 152:16, 156:15, 156:17, 165:24, 168:7, 170:1, 176:15, 184:7, 184:16, 189:19, 190:4, 190:5, 190:19, 196:22, 198:8, 205:13, 206:4, 206:22, 206:25, 207:3, 209:2</p> <p><b>downtown</b> [2] - 201:6, 206:15</p> <p><b>dozen</b> [1] - 181:10</p> <p><b>Dr</b> [10] - 132:12, 177:13, 181:12, 184:15, 186:6, 186:9, 186:13, 188:12, 200:5, 200:7</p> <p><b>dramatic</b> [1] - 96:18</p> <p><b>draw</b> [3] - 77:2, 101:7, 206:2</p> <p><b>dried</b> [1] - 96:10</p> <p><b>dripped</b> [1] - 110:14</p> <p><b>drive</b> [2] - 111:17, 199:22</p> <p><b>drives</b> [1] - 184:2</p> <p><b>drop</b> [1] - 110:19</p> <p><b>drove</b> [1] - 128:3</p> <p><b>dry</b> [12] - 16:10, 35:15, 35:16, 35:18, 111:22, 183:22, 183:23, 183:24,</p>
---	--	--	--	--

<p>184:4, 184:5, 184:10, 206:11</p> <p><b>duct</b> [3] - 19:12, 133:20, 163:9</p> <p><b>due</b> [3] - 39:7, 94:10, 162:1</p> <p><b>duly</b> [5] - 5:25, 50:14, 120:22, 136:3, 177:16</p> <p><b>During</b> [1] - 187:23</p> <p><b>during</b> [13] - 20:21, 31:9, 71:3, 134:16, 166:9, 191:4, 192:8, 192:20, 195:10, 195:12, 205:13, 207:1, 207:5</p> <p><b>duty</b> [5] - 48:23, 119:23, 176:19, 209:10, 209:12</p> <p style="text-align: center;"><b>E</b></p> <p><b>early</b> [2] - 195:13, 204:5</p> <p><b>earth</b> [1] - 145:9</p> <p><b>easier</b> [8] - 31:15, 94:11, 94:16, 105:1, 111:21, 112:4, 118:7, 171:23</p> <p><b>easiest</b> [1] - 188:20</p> <p><b>easily</b> [3] - 97:4, 97:9, 203:21</p> <p><b>eastern</b> [3] - 200:25, 202:8, 203:14</p> <p><b>easy</b> [7] - 43:11, 43:14, 44:14, 97:7, 124:10, 186:17, 206:2</p> <p><b>eaten</b> [1] - 161:19</p> <p><b>edge</b> [1] - 28:23</p> <p><b>education</b> [10] - 7:5, 7:20, 51:23, 52:4, 52:7, 121:23, 137:15, 137:20, 138:6, 178:4</p> <p><b>educational</b> [3] - 51:21, 177:24, 179:6</p> <p><b>Edwin</b> [2] - 177:13, 177:21</p> <p><b>EDWIN</b> [1] - 177:14</p> <p><b>effect</b> [5] - 93:16, 94:3, 94:13, 205:23, 207:14</p> <p><b>efficient</b> [1] - 82:8</p> <p><b>efficiently</b> [1] - 82:9</p> <p><b>eight</b> [10] - 51:17, 130:21, 166:14, 166:23, 166:24, 167:10, 168:2, 195:20</p> <p><b>eighth</b> [2] - 189:7, 189:8</p>	<p><b>eighths</b> [2] - 189:4, 189:5</p> <p><b>either</b> [18] - 7:12, 13:17, 19:3, 22:7, 27:6, 29:7, 69:11, 75:7, 75:21, 76:1, 83:4, 86:13, 98:23, 119:9, 137:17, 141:20, 144:3, 180:24</p> <p><b>EKG</b> [1] - 99:16</p> <p><b>elaborate</b> [1] - 179:20</p> <p><b>electronic</b> [1] - 183:25</p> <p><b>electrophoresis</b> [4] - 59:1, 60:17, 63:16, 75:23</p> <p><b>elevated</b> [1] - 62:4</p> <p><b>elevation</b> [10] - 197:25, 198:2, 198:3, 202:22, 203:17, 203:20, 203:23, 205:19, 205:21, 208:21</p> <p><b>elevation-wise</b> [1] - 205:21</p> <p><b>eliminated</b> [1] - 150:24</p> <p><b>emphasis</b> [1] - 7:7</p> <p><b>employed</b> [1] - 6:22</p> <p><b>enable</b> [1] - 64:15</p> <p><b>enabled</b> [1] - 76:6</p> <p><b>enamel</b> [1] - 74:17</p> <p><b>encased</b> [1] - 30:19</p> <p><b>encountered</b> [1] - 170:24</p> <p><b>encouraged</b> [1] - 7:23</p> <p><b>end</b> [9] - 82:2, 82:6, 132:9, 146:25, 161:7, 184:1, 189:13, 190:22, 207:4</p> <p><b>ended</b> [1] - 125:22</p> <p><b>ends</b> [6] - 158:23, 159:6, 170:8, 170:18, 170:23, 173:11</p> <p><b>Enforcement</b> [1] - 121:17</p> <p><b>engineering</b> [1] - 178:1</p> <p><b>enlarge</b> [2] - 187:21, 188:10</p> <p><b>entail</b> [1] - 137:14</p> <p><b>enter</b> [1] - 16:20</p> <p><b>entered</b> [16] - 5:12, 11:12, 50:6, 88:12, 89:8, 89:24, 90:1, 90:11, 90:16, 90:18, 91:4, 91:6, 91:7, 91:9, 120:14, 177:9</p>	<p><b>entire</b> [2] - 57:9, 152:16</p> <p><b>entomologist</b> [1] - 186:4</p> <p><b>envelope</b> [7] - 28:25, 29:2, 29:11, 29:13, 129:20</p> <p><b>enzyme</b> [2] - 107:23, 107:25</p> <p><b>enzymes</b> [1] - 96:15</p> <p><b>epithelial</b> [2] - 154:9</p> <p><b>equate</b> [1] - 184:8</p> <p><b>equates</b> [1] - 198:11</p> <p><b>err</b> [1] - 171:10</p> <p><b>error</b> [3] - 21:20, 160:14, 163:10</p> <p><b>essentially</b> [12] - 27:12, 64:21, 74:21, 99:17, 113:19, 114:5, 117:16, 139:13, 179:13, 185:6, 205:25, 207:16</p> <p><b>established</b> [1] - 55:25</p> <p><b>estimate</b> [2] - 8:6, 115:6</p> <p><b>estimated</b> [2] - 80:8, 83:13</p> <p><b>et</b> [1] - 190:24</p> <p><b>Eugene</b> [1] - 52:2</p> <p><b>evening</b> [2] - 200:14, 209:7</p> <p><b>event</b> [5] - 63:2, 63:12, 92:18, 94:14, 97:15</p> <p><b>events</b> [1] - 93:5</p> <p><b>eventually</b> [1] - 208:10</p> <p><b>evidence</b> [93] - 6:21, 10:10, 11:4, 11:5, 11:12, 11:15, 11:23, 12:1, 12:2, 13:1, 13:4, 14:17, 14:18, 15:3, 15:7, 15:8, 17:25, 18:9, 20:5, 29:5, 29:15, 32:20, 37:24, 42:20, 42:22, 43:9, 43:11, 43:17, 44:21, 45:8, 56:1, 58:16, 58:18, 59:4, 59:5, 59:24, 61:4, 63:4, 63:10, 66:15, 73:19, 78:7, 78:14, 78:18, 96:8, 96:9, 96:12, 97:6, 103:5, 106:16, 122:12, 122:19, 125:7, 128:18, 128:25, 129:7, 129:21, 129:24, 129:25, 130:18,</p>	<p>130:21, 130:25, 131:8, 133:3, 133:6, 133:15, 135:7, 139:21, 140:4, 140:19, 144:7, 145:21, 150:23, 150:25, 151:13, 151:23, 152:12, 152:13, 152:14, 152:17, 153:1, 169:2, 170:9, 174:13, 174:18, 175:18, 175:20, 183:11, 187:20, 194:24</p> <p><b>evidentiary</b> [13] - 58:12, 59:16, 66:22, 77:4, 77:14, 83:19, 93:24, 99:10, 101:14, 101:25, 112:12, 141:21, 151:10</p> <p><b>exact</b> [5] - 69:13, 147:1, 152:11, 152:25, 165:24</p> <p><b>exactly</b> [7] - 48:4, 54:25, 74:8, 130:8, 165:25, 175:13, 203:17</p> <p><b>exam</b> [6] - 7:15, 42:15, 98:14, 137:25, 175:6, 200:1</p> <p><b>EXAMINATION</b> [14] - 6:1, 42:16, 47:11, 48:2, 50:15, 98:15, 117:4, 119:5, 120:23, 136:4, 175:7, 177:17, 200:3, 204:20</p> <p><b>examination</b> [7] - 15:19, 17:3, 24:25, 32:9, 58:5, 71:4, 140:18</p> <p><b>examine</b> [13] - 12:12, 13:12, 13:13, 14:13, 18:19, 18:20, 19:20, 27:25, 33:3, 41:24, 41:25, 82:24, 141:8</p> <p><b>examined</b> [9] - 11:4, 14:3, 17:18, 19:2, 27:7, 31:17, 34:11, 35:11, 165:5</p> <p><b>examining</b> [3] - 30:15, 46:19, 197:5</p> <p><b>example</b> [22] - 13:11, 21:7, 46:19, 77:23, 82:20, 94:19, 104:1, 110:14, 110:15, 110:23, 111:16, 114:19, 118:9, 131:12, 148:14, 149:15, 180:22, 183:13, 186:16,</p>	<p>189:9, 190:19, 203:6</p> <p><b>examples</b> [1] - 27:22</p> <p><b>except</b> [5] - 49:9, 50:4, 120:12, 177:7, 201:21</p> <p><b>exception</b> [4] - 89:12, 117:24, 140:25, 173:15</p> <p><b>excise</b> [1] - 28:22</p> <p><b>excited</b> [1] - 137:2</p> <p><b>exclude</b> [5] - 83:3, 104:1, 104:3, 171:16, 174:4</p> <p><b>excluded</b> [67] - 72:16, 72:19, 76:23, 77:7, 77:9, 77:10, 77:16, 77:17, 78:9, 79:12, 79:15, 80:10, 81:9, 81:11, 81:14, 81:15, 81:19, 82:14, 82:21, 85:17, 85:20, 85:22, 85:24, 86:15, 86:16, 87:7, 87:20, 87:22, 88:7, 88:11, 91:20, 91:22, 91:24, 92:5, 92:7, 100:24, 101:8, 103:15, 103:21, 103:22, 104:4, 104:5, 104:17, 105:17, 105:21, 106:7, 106:11, 106:17, 117:16, 117:20, 118:2, 157:13, 157:15, 157:17, 157:19, 157:21, 168:21, 169:6, 171:8, 171:9, 172:21, 172:24, 173:17, 175:24, 176:16</p> <p><b>excluding</b> [1] - 106:6</p> <p><b>excuse</b> [4] - 25:11, 41:7, 118:16, 173:1</p> <p><b>excused</b> [5] - 48:13, 119:17, 135:24, 176:16, 209:4</p> <p><b>exemplified</b> [1] - 83:24</p> <p><b>exhibit</b> [7] - 73:2, 73:4, 130:17, 132:22, 164:13, 182:19, 187:7</p> <p><b>Exhibit</b> [30] - 9:14, 10:9, 15:2, 23:9, 30:19, 31:4, 31:21, 32:12, 32:19, 60:8, 60:13, 61:3, 62:9, 71:13, 73:1, 73:15, 73:18, 125:6, 130:5, 131:7, 132:21, 133:14, 144:14,</p>
--	---	---	---	---

<p>145:20, 182:15, 183:7, 183:10, 186:24, 187:19, 194:23</p> <p><b>exhibits</b> [1] - 173:1</p> <p><b>Exhibits</b> [7] - 14:11, 14:24, 60:4, 60:23, 124:19, 144:13, 145:16</p> <p><b>exited</b> [4] - 49:4, 120:3, 176:25, 209:17</p> <p><b>expect</b> [4] - 82:17, 84:17, 199:10, 199:13</p> <p><b>expectation</b> [1] - 111:15</p> <p><b>expected</b> [2] - 83:14, 84:19</p> <p><b>experience</b> [5] - 51:14, 137:20, 137:22, 178:5, 179:5</p> <p><b>experienced</b> [2] - 192:12, 201:20</p> <p><b>experiencing</b> [1] - 204:10</p> <p><b>experiment</b> [1] - 58:19</p> <p><b>expert</b> [13] - 8:19, 8:23, 52:20, 52:24, 53:6, 138:11, 138:12, 138:15, 138:21, 138:24, 139:3, 181:4, 181:12</p> <p><b>expertise</b> [1] - 9:2</p> <p><b>explain</b> [11] - 12:11, 28:16, 73:22, 77:5, 81:21, 94:1, 97:2, 117:6, 144:22, 188:12, 202:13</p> <p><b>explained</b> [1] - 203:21</p> <p><b>explaining</b> [3] - 9:25, 63:20, 145:12</p> <p><b>explanation</b> [1] - 72:22</p> <p><b>exposed</b> [3] - 95:25, 162:1, 181:23</p> <p><b>exposure</b> [2] - 161:16, 206:6</p> <p><b>express</b> [4] - 48:25, 119:24, 176:21, 209:14</p> <p><b>extensive</b> [2] - 7:10, 165:20</p> <p><b>extensively</b> [1] - 142:3</p> <p><b>extent</b> [2] - 109:13</p> <p><b>extra</b> [3] - 56:11, 107:8, 147:4</p> <p><b>extract</b> [2] - 58:15, 58:18</p>	<p><b>extracted</b> [1] - 62:3</p> <p><b>extraction</b> [4] - 60:9, 61:10, 61:12, 62:21</p> <p><b>extracts</b> [1] - 58:20</p> <p><b>extreme</b> [1] - 192:24</p> <p><b>extremely</b> [1] - 63:1</p> <p><b>eye</b> [1] - 27:7</p> <p style="text-align: center;"><b>F</b></p> <p><b>face</b> [1] - 12:14</p> <p><b>facility</b> [1] - 11:17</p> <p><b>faciling</b> [1] - 61:14</p> <p><b>fact</b> [8] - 10:22, 21:9, 93:12, 96:13, 104:20, 141:10, 141:18, 196:9</p> <p><b>factor</b> [1] - 95:22</p> <p><b>factors</b> [3] - 96:4, 161:13, 161:19</p> <p><b>facts</b> [1] - 26:13</p> <p><b>Fahrenheit</b> [2] - 95:13, 198:12</p> <p><b>fair</b> [4] - 11:5, 59:18, 190:2, 192:8</p> <p><b>fairly</b> [8] - 14:20, 22:10, 26:22, 60:19, 83:23, 124:24, 128:13, 189:23</p> <p><b>fall</b> [1] - 204:8</p> <p><b>familiar</b> [4] - 9:19, 34:23, 66:17, 93:21</p> <p><b>far</b> [9] - 19:19, 29:18, 46:7, 73:23, 83:13, 122:20, 197:8, 203:24, 208:14</p> <p><b>Farenheit</b> [8] - 184:8, 187:3, 187:4, 188:1, 188:2, 198:11, 206:23</p> <p><b>fashion</b> [5] - 48:23, 119:22, 176:19, 209:10, 209:12</p> <p><b>FBI</b> [4] - 56:21, 57:2, 113:21, 137:12</p> <p><b>February</b> [4] - 6:16, 8:2, 70:25, 138:10</p> <p><b>federal</b> [2] - 56:19, 57:3</p> <p><b>feet</b> [12] - 131:18, 189:14, 189:18, 189:20, 189:21, 190:7, 190:8, 190:20, 198:3, 198:11, 205:22, 206:23</p> <p><b>fellow</b> [1] - 52:2</p> <p><b>felony</b> [1] - 121:15</p> <p><b>felt</b> [1] - 114:11</p> <p><b>female</b> [4] - 44:22, 74:19, 74:20, 92:15</p> <p><b>FEW</b> [1] - 188:15</p>	<p><b>few</b> [26] - 18:20, 19:9, 59:25, 77:5, 84:11, 88:15, 90:23, 97:11, 118:10, 147:4, 153:6, 174:14, 185:12, 188:15, 188:25, 189:9, 189:17, 189:19, 189:23, 190:5, 190:23, 191:10, 192:21, 199:5, 205:24</p> <p><b>fewer</b> [3] - 191:19, 191:24, 192:4</p> <p><b>fibers</b> [3] - 134:22, 134:25, 135:6</p> <p><b>field</b> [10] - 6:9, 6:11, 6:17, 6:19, 7:19, 8:23, 9:2, 55:15, 113:15, 138:21</p> <p><b>file</b> [3] - 11:14, 103:8, 109:11</p> <p><b>filter</b> [2] - 16:9, 24:18</p> <p><b>filtered</b> [1] - 16:9</p> <p><b>final</b> [1] - 162:14</p> <p><b>finally</b> [10] - 11:23, 41:4, 49:1, 119:25, 150:14, 160:9, 164:7, 176:22, 199:17, 209:15</p> <p><b>findings</b> [1] - 71:1</p> <p><b>fine</b> [4] - 49:14, 49:18, 95:11, 117:25</p> <p><b>finger</b> [1] - 110:14</p> <p><b>Finnegan</b> [2] - 122:16, 123:7</p> <p><b>fire</b> [6] - 126:1, 126:19, 161:23, 162:2, 193:3</p> <p><b>firearms</b> [1] - 11:20</p> <p><b>fires</b> [1] - 122:4</p> <p><b>first</b> [34] - 5:24, 12:14, 19:18, 26:25, 41:9, 41:10, 41:25, 50:13, 58:14, 58:15, 74:4, 76:16, 119:3, 120:21, 122:23, 123:19, 125:16, 125:19, 133:17, 136:2, 137:22, 137:23, 140:17, 140:20, 146:9, 146:13, 147:9, 153:6, 168:6, 177:15, 183:21, 188:14, 191:22, 200:9</p> <p><b>fit</b> [2] - 90:2, 97:20</p> <p><b>five</b> [15] - 46:14, 52:1, 57:11, 72:5, 74:13, 76:8, 76:9, 76:11, 115:19,</p>	<p>118:18, 153:14, 162:10, 173:22, 180:22, 190:5</p> <p><b>fledged</b> [2] - 7:9, 8:7</p> <p><b>floor</b> [5] - 44:22, 125:24, 128:6, 128:10, 174:21</p> <p><b>flow</b> [3] - 61:11, 61:22, 197:18</p> <p><b>flowing</b> [1] - 206:25</p> <p><b>flows</b> [1] - 63:4</p> <p><b>fluctuation</b> [1] - 208:9</p> <p><b>fluctuations</b> [1] - 208:12</p> <p><b>fluid</b> [1] - 27:21</p> <p><b>fluids</b> [9] - 6:21, 8:3, 27:20, 47:6, 140:21, 140:22, 140:23, 141:4, 141:6</p> <p><b>fluoresce</b> [10] - 27:20, 27:23, 28:1, 28:4, 34:17, 44:4, 47:18, 47:21, 48:4</p> <p><b>fluoresced</b> [2] - 34:13, 48:4</p> <p><b>fluorescence</b> [3] - 38:7, 38:16, 38:18</p> <p><b>fluoresces</b> [2] - 27:12, 28:11</p> <p><b>fluorescing</b> [7] - 27:5, 27:6, 27:15, 28:7, 28:14, 43:20, 43:24</p> <p><b>focus</b> [8] - 35:4, 131:11, 131:25, 133:17, 134:5, 183:21, 184:14, 194:25</p> <p><b>fog</b> [1] - 206:20</p> <p><b>foliage</b> [2] - 206:8, 206:9</p> <p><b>follow</b> [4] - 44:14, 56:20, 137:13, 205:10</p> <p><b>followed</b> [3] - 56:23, 57:20, 74:4</p> <p><b>following</b> [6] - 5:3, 57:14, 57:21, 72:11, 97:21</p> <p><b>follows</b> [5] - 5:25, 50:14, 120:22, 136:3, 177:16</p> <p><b>foot</b> [1] - 92:23</p> <p><b>forceps</b> [1] - 28:24</p> <p><b>forecast</b> [2] - 200:17, 200:18</p> <p><b>forecasting</b> [1] - 204:24</p> <p><b>forecasts</b> [1] - 204:22</p>	<p><b>forensic</b> [27] - 6:9, 6:10, 6:20, 6:22, 8:19, 8:23, 50:24, 51:1, 51:6, 51:18, 51:22, 52:14, 52:15, 52:21, 53:6, 64:17, 74:1, 136:10, 136:22, 137:11, 137:21, 138:2, 138:12, 138:24, 140:18, 168:24, 186:3</p> <p><b>Forensic</b> [1] - 6:12</p> <p><b>forever</b> [1] - 90:13</p> <p><b>form</b> [10] - 9:2, 48:25, 54:22, 119:24, 139:17, 156:5, 160:16, 166:8, 176:21, 209:14</p> <p><b>formality</b> [1] - 5:15</p> <p><b>forms</b> [1] - 144:6</p> <p><b>forth</b> [6] - 26:23, 56:20, 137:12, 138:3, 141:13, 157:23</p> <p><b>forward</b> [1] - 128:15</p> <p><b>forwarded</b> [1] - 13:9</p> <p><b>Foundations</b> [1] - 178:7</p> <p><b>four</b> [11] - 14:11, 25:25, 46:14, 51:3, 52:1, 60:4, 63:19, 63:22, 87:4, 126:7, 135:15</p> <p><b>fourth</b> [2] - 5:8, 170:1</p> <p><b>fragments</b> [6] - 59:2, 64:23, 82:4, 82:6, 82:9, 94:11</p> <p><b>frankly</b> [1] - 115:21</p> <p><b>freezer</b> [1] - 17:7</p> <p><b>freezers</b> [1] - 17:6</p> <p><b>frequencies</b> [1] - 98:5</p> <p><b>frequency</b> [2] - 84:16, 97:13</p> <p><b>frequently</b> [1] - 89:1</p> <p><b>fresh</b> [2] - 12:15, 12:25</p> <p><b>Friday</b> [2] - 195:13, 196:19</p> <p><b>fridge</b> [1] - 18:10</p> <p><b>friends</b> [1] - 202:20</p> <p><b>front</b> [15] - 11:19, 86:2, 86:3, 104:15, 109:12, 123:9, 123:10, 123:13, 135:16, 153:16, 167:19, 171:21, 198:25, 199:2, 199:3</p> <p><b>frontal</b> [1] - 198:23</p> <p><b>full</b> [39] - 6:5, 6:24,</p>
---	--	---	---	--

<p>6:25, 7:1, 7:9, 8:7, 50:19, 86:13, 88:21, 94:4, 94:6, 95:18, 100:8, 136:6, 138:8, 147:22, 148:7, 148:15, 148:18, 148:25, 150:9, 150:12, 150:16, 152:2, 152:8, 155:7, 155:21, 159:16, 162:19, 162:20, 164:2, 165:14, 167:11, 168:3, 169:17, 170:9, 173:5, 173:14, 188:18</p> <p><b>full-fledged</b> [2] - 7:9, 8:7</p> <p><b>full-scale</b> [1] - 138:8</p> <p><b>full-time</b> [3] - 6:24, 6:25, 7:1</p> <p><b>fully</b> [2] - 137:23, 138:4</p> <p><b>funding</b> [1] - 56:19</p> <p><b>funds</b> [1] - 57:4</p> <p><b>fungus</b> [2] - 96:11, 96:18</p> <p><b>fungi</b> [1] - 96:12</p> <p><b>fungus</b> [2] - 96:9, 96:12</p> <p><b>furniture</b> [1] - 126:24</p> <p><b>future</b> [1] - 205:1</p>	<p>18:22, 19:18, 20:2, 74:9, 92:2</p> <p><b>genes</b> [2] - 54:24</p> <p><b>genetic</b> [49] - 53:12, 65:1, 72:2, 73:25, 74:9, 76:2, 76:5, 80:4, 82:1, 82:17, 83:22, 88:19, 92:19, 92:20, 97:22, 97:24, 102:5, 102:14, 102:15, 140:3, 144:23, 144:24, 145:2, 146:7, 146:14, 146:15, 147:23, 148:15, 152:10, 157:2, 157:5, 157:6, 159:13, 159:18, 159:22, 160:1, 163:18, 163:20, 164:3, 169:8, 169:14, 169:17, 171:2, 172:6, 173:15</p> <p><b>genetics</b> [1] - 74:10</p> <p><b>genotype</b> [3] - 55:9, 76:19, 77:13</p> <p><b>gentlemen</b> [4] - 48:22, 119:20, 176:18, 209:9</p> <p><b>geographic</b> [1] - 202:11</p> <p><b>Gerald</b> [29] - 23:24, 24:19, 69:18, 72:13, 72:18, 77:8, 81:11, 83:12, 85:21, 86:16, 87:21, 88:10, 92:6, 104:6, 113:4, 116:19, 117:23, 118:1, 118:21, 126:3, 126:23, 128:9, 142:24, 143:2, 147:18, 148:9, 157:21, 176:5, 196:23</p> <p><b>Gerald's</b> [1] - 127:22</p> <p><b>gigantic</b> [2] - 115:12, 115:16</p> <p><b>given</b> [9] - 26:13, 39:16, 143:1, 143:5, 143:11, 143:18, 143:20, 146:17, 147:14</p> <p><b>glove</b> [5] - 40:20, 40:23, 46:1, 82:25</p> <p><b>gloves</b> [16] - 12:15, 12:25, 15:14, 39:17, 39:20, 40:17, 40:19, 45:25, 82:24, 103:5, 103:9, 106:5, 117:15, 127:6, 127:12</p> <p><b>glycine</b> [3] - 28:25, 29:2, 29:11</p> <p><b>gold</b> [1] - 137:10</p>	<p><b>gotcha</b> [1] - 75:20</p> <p><b>grabbed</b> [1] - 129:23</p> <p><b>grabbing</b> [1] - 118:9</p> <p><b>grad</b> [1] - 136:23</p> <p><b>grandchildren</b> [1] - 112:18</p> <p><b>graph</b> [3] - 195:21</p> <p><b>graphs</b> [1] - 195:20</p> <p><b>Grass</b> [1] - 202:17</p> <p><b>grass</b> [1] - 206:11</p> <p><b>grassy</b> [1] - 206:12</p> <p><b>gray</b> [2] - 39:17, 40:17</p> <p><b>great</b> [3] - 29:16, 78:5, 154:11</p> <p><b>green</b> [9] - 17:7, 30:13, 41:5, 44:18, 45:8, 46:13, 46:19, 47:13</p> <p><b>ground</b> [4] - 179:25, 189:15, 189:20, 206:24</p> <p><b>group</b> [1] - 115:20</p> <p><b>groups</b> [2] - 81:25, 82:6</p> <p><b>growing</b> [1] - 92:23</p> <p><b>growth</b> [4] - 96:8, 96:11, 96:17, 96:18</p> <p><b>guess</b> [16] - 7:4, 28:2, 33:15, 45:11, 57:23, 64:3, 69:9, 99:9, 100:19, 101:6, 111:10, 114:12, 129:1, 144:19, 160:20, 172:1</p> <p><b>guidelines</b> [3] - 137:13, 137:14, 142:2</p> <p><b>guns</b> [1] - 11:20</p> <p><b>gutted</b> [1] - 128:4</p>	<p>164:8, 164:9</p> <p><b>hairs</b> [18] - 20:19, 26:16, 26:22, 38:8, 41:14, 41:15, 41:16, 42:2, 129:11, 132:16, 134:11, 161:3, 161:25, 162:12, 162:18, 162:20, 163:5, 163:9</p> <p><b>half</b> [21] - 15:13, 34:4, 51:3, 64:19, 64:20, 97:1, 122:10, 126:1, 126:7, 136:18, 139:15, 139:16, 184:7, 188:22, 189:2, 189:3, 198:14, 198:16, 198:17, 200:15, 208:17</p> <p><b>Hall</b> [1] - 125:24</p> <p><b>hand</b> [8] - 9:13, 23:8, 30:17, 60:2, 66:25, 124:18, 146:17, 182:14</p> <p><b>handing</b> [8] - 14:10, 31:20, 72:25, 124:19, 130:4, 132:20, 144:12, 193:19</p> <p><b>handling</b> [1] - 111:12</p> <p><b>handy</b> [1] - 67:13</p> <p><b>hanger</b> [3] - 131:12, 131:13, 131:21</p> <p><b>hangers</b> [4] - 128:23, 128:24, 128:25, 160:5</p> <p><b>hard</b> [9] - 31:11, 49:19, 61:24, 101:20, 101:21, 114:19, 183:17, 190:20, 200:18</p> <p><b>hardest</b> [1] - 49:23</p> <p><b>Harris</b> [5] - 70:10, 91:25, 143:20, 150:15, 176:6</p> <p><b>Haskell</b> [3] - 186:6, 186:9, 186:13</p> <p><b>head</b> [4] - 45:4, 92:23, 98:24, 103:11</p> <p><b>heard</b> [7] - 53:10, 58:8, 89:22, 118:16, 118:21, 139:4, 140:11</p> <p><b>heat</b> [10] - 95:2, 95:4, 95:5, 95:21, 95:22, 96:1, 96:5, 161:11, 161:16</p> <p><b>heating</b> [1] - 193:1</p> <p><b>heavier</b> [1] - 207:3</p> <p><b>heavy</b> [1] - 123:25</p> <p><b>height</b> [1] - 99:25</p> <p><b>Heights</b> [1] - 206:16</p> <p><b>heights</b> [1] - 100:1</p> <p><b>held</b> [1] - 111:14</p>	<p><b>help</b> [6] - 30:22, 56:12, 100:2, 100:5, 180:7, 202:13</p> <p><b>helped</b> [1] - 95:19</p> <p><b>helpful</b> [2] - 9:25, 16:3</p> <p><b>hemoglobin</b> [1] - 165:7</p> <p><b>high</b> [10] - 95:14, 95:25, 100:9, 100:13, 159:20, 161:16, 186:16, 186:18, 191:18, 203:23</p> <p><b>higher</b> [9] - 36:21, 82:10, 96:1, 115:2, 197:25, 198:13, 202:21, 203:20, 208:20</p> <p><b>highlight</b> [2] - 74:14, 147:8</p> <p><b>highlighted</b> [1] - 52:9</p> <p><b>highs</b> [1] - 186:14</p> <p><b>hill</b> [1] - 205:15</p> <p><b>hired</b> [2] - 52:3, 121:21</p> <p><b>Hispanics</b> [1] - 80:13</p> <p><b>hit</b> [3] - 90:8, 94:16, 205:21</p> <p><b>hold</b> [5] - 30:21, 54:1, 102:15, 102:16, 123:8</p> <p><b>holding</b> [3] - 31:3, 49:13, 118:10</p> <p><b>holds</b> [1] - 62:13</p> <p><b>home</b> [2] - 103:9, 103:12</p> <p><b>homicide</b> [1] - 207:11</p> <p><b>Honor</b> [42] - 5:21, 8:22, 9:3, 9:10, 10:4, 10:11, 14:4, 14:23, 31:1, 32:11, 40:7, 47:10, 47:23, 48:14, 53:5, 60:22, 61:2, 71:12, 73:14, 93:18, 116:23, 117:2, 119:19, 124:15, 125:4, 130:2, 131:5, 132:18, 133:12, 135:20, 135:22, 138:23, 145:15, 174:7, 176:14, 181:11, 181:14, 181:17, 182:12, 183:6, 204:14, 204:18</p> <p><b>HOOD</b> [27] - 8:25, 10:7, 14:25, 32:13, 32:15, 32:17, 42:17, 47:9, 47:25, 48:3,</p>
<b>G</b>	<b>H</b>			
<p><b>Gammage</b> [20] - 40:3, 69:21, 72:13, 72:19, 77:9, 81:11, 81:19, 82:15, 85:22, 86:16, 87:22, 88:10, 92:6, 104:6, 117:20, 118:17, 127:18, 127:24, 135:4</p> <p><b>Gammage's</b> [2] - 82:13, 134:23</p> <p><b>garbage</b> [1] - 160:5</p> <p><b>Gazette</b> [2] - 5:9, 49:20</p> <p><b>gene</b> [7] - 54:22, 55:3, 55:4, 72:2, 74:8, 74:9, 74:16</p> <p><b>general</b> [5] - 84:17, 145:6, 149:12, 149:18, 206:21</p> <p><b>generally</b> [5] - 12:10, 142:9, 160:2, 198:19, 208:18</p> <p><b>generate</b> [4] - 65:10, 79:17, 93:1, 146:2</p> <p><b>generated</b> [5] -</p>		<p><b>hair</b> [43] - 21:12, 37:19, 37:20, 41:19, 41:20, 41:21, 42:1, 42:4, 42:6, 43:15, 89:12, 89:13, 92:10, 93:6, 93:8, 93:12, 117:20, 129:15, 129:16, 129:19, 132:23, 132:25, 133:3, 133:18, 133:20, 134:1, 153:15, 158:2, 160:11, 161:1, 161:4, 161:7, 161:10, 161:22, 162:8, 162:15, 162:19, 163:15, 163:24,</p>		

<p>49:17, 49:25, 53:8, 60:24, 73:16, 98:16, 99:9, 103:3, 116:22, 116:25, 119:2, 119:6, 119:15, 139:1, 145:18, 175:8, 176:13</p> <p><b>hood</b> [10] - 16:6, 16:10, 16:12, 16:13, 61:14, 61:23, 117:9, 117:14, 118:5, 118:14</p> <p><b>Hood</b> [2] - 99:10, 103:4</p> <p><b>hoods</b> [3] - 61:11, 61:22, 62:14</p> <p><b>hope</b> [1] - 97:3</p> <p><b>horizontal</b> [2] - 81:25, 163:1</p> <p><b>Horns</b> [1] - 202:19</p> <p><b>Hospital</b> [2] - 22:22, 23:2</p> <p><b>hour</b> [11] - 184:24, 185:7, 185:16, 185:17, 185:22, 190:13, 190:23, 195:4, 195:9</p> <p><b>hourly</b> [6] - 182:23, 185:6, 185:25, 193:25, 199:7</p> <p><b>hours</b> [7] - 62:4, 63:22, 121:22, 122:24, 126:1, 184:17, 194:3</p> <p><b>house</b> [1] - 7:10</p> <p><b>Hubbert</b> [41] - 23:24, 45:17, 65:22, 66:3, 67:9, 67:13, 67:17, 68:20, 68:25, 69:11, 72:12, 72:15, 73:6, 75:6, 76:16, 76:23, 79:15, 81:8, 85:17, 86:14, 87:21, 88:7, 103:15, 104:2, 104:3, 105:20, 124:22, 124:25, 125:14, 143:25, 150:8, 157:15, 166:8, 167:13, 168:1, 168:4, 168:21, 170:11, 172:10, 174:12, 174:15</p> <p><b>Hubbert's</b> [9] - 24:1, 24:9, 76:18, 77:12, 85:7, 124:8, 131:18, 132:3, 169:13</p> <p><b>huge</b> [8] - 97:14, 97:15, 113:4, 113:6, 113:23, 116:2, 116:18</p> <p><b>hum</b> [7] - 61:7, 110:21, 148:21, 160:23, 201:25,</p>	<p>202:23, 206:10</p> <p><b>human</b> [3] - 7:6, 53:18, 192:14</p> <p><b>humans</b> [2] - 192:16, 192:17</p> <p><b>hundred</b> [7] - 7:12, 82:5, 90:23, 115:8, 115:10, 204:9, 204:11</p> <p><b>hundredth</b> [1] - 196:7</p> <p><b>hunt</b> [1] - 68:1</p> <p><b>husband</b> [1] - 115:19</p> <p><b>Hutchinson</b> [1] - 66:6</p> <p style="text-align: center;"><b>I</b></p> <p><b>idea</b> [2] - 44:7, 202:14</p> <p><b>ideal</b> [1] - 147:25</p> <p><b>identification</b> [8] - 6:20, 9:13, 30:18, 31:21, 60:3, 73:1, 95:20, 156:6</p> <p><b>identified</b> [26] - 8:3, 19:24, 22:8, 23:2, 25:21, 41:12, 42:6, 71:6, 74:10, 83:1, 83:7, 83:8, 85:1, 85:2, 85:9, 108:13, 153:24, 163:7, 164:13, 164:20, 167:21, 168:8, 168:14, 169:19, 170:21, 172:14</p> <p><b>identifies</b> [1] - 164:18</p> <p><b>Identifiler</b> [2] - 146:22, 147:5</p> <p><b>identify</b> [20] - 19:3, 21:4, 22:15, 30:3, 31:8, 64:4, 88:20, 107:9, 113:20, 124:20, 132:21, 140:21, 148:9, 152:21, 158:21, 162:11, 170:4, 172:1, 173:9, 182:19</p> <p><b>identifying</b> [1] - 40:16</p> <p><b>illuminates</b> [1] - 27:9</p> <p><b>images</b> [1] - 31:23</p> <p><b>imagine</b> [2] - 208:2, 208:4</p> <p><b>immune</b> [2] - 53:23, 96:14</p> <p><b>impact</b> [2] - 96:18, 112:12</p> <p><b>important</b> [6] -</p>	<p>10:25, 29:17, 57:15, 62:24, 84:11</p> <p><b>impression</b> [1] - 41:22</p> <p><b>in-house</b> [1] - 7:10</p> <p><b>INC's</b> [1] - 147:21</p> <p><b>inch</b> [5] - 188:21, 188:22, 196:7, 196:8</p> <p><b>inches</b> [1] - 195:8</p> <p><b>included</b> [10] - 7:11, 7:13, 85:25, 100:24, 101:8, 112:1, 115:6, 157:12, 194:4, 194:6</p> <p><b>inconclusive</b> [10] - 72:18, 77:21, 81:10, 81:14, 85:19, 100:24, 101:9, 106:8, 106:12, 117:16</p> <p><b>Incorporated</b> [1] - 136:17</p> <p><b>incubate</b> [1] - 62:3</p> <p><b>incubators</b> [1] - 61:25</p> <p><b>independent</b> [1] - 101:2</p> <p><b>Index</b> [2] - 89:19, 89:21</p> <p><b>index</b> [2] - 88:13, 88:14</p> <p><b>indicate</b> [3] - 99:22, 111:20, 151:14</p> <p><b>indicated</b> [7] - 34:11, 34:12, 37:8, 71:1, 85:16, 157:11, 163:25</p> <p><b>indicates</b> [2] - 84:8, 152:18</p> <p><b>indicating</b> [6] - 23:15, 35:2, 36:17, 61:14, 82:2, 113:8</p> <p><b>indicating</b>) [5] - 11:13, 31:10, 97:12, 98:9, 133:1</p> <p><b>indication</b> [10] - 44:19, 46:6, 76:4, 77:14, 77:25, 78:14, 82:16, 92:15, 103:8, 103:10</p> <p><b>indications</b> [17] - 20:17, 22:13, 22:14, 22:16, 25:21, 33:23, 34:8, 34:10, 35:12, 37:15, 38:8, 38:17, 46:22, 72:5, 76:9, 108:5, 108:14</p> <p><b>indirect</b> [1] - 111:1</p> <p><b>individual</b> [16] - 16:18, 28:20, 89:23, 133:6, 139:17, 139:21, 143:10, 145:3, 147:23, 148:8,</p>	<p>149:1, 157:8, 168:19, 169:9, 169:11, 172:18</p> <p><b>individual's</b> [1] - 140:5</p> <p><b>individualized</b> [2] - 20:3, 29:12</p> <p><b>individually</b> [1] - 144:19</p> <p><b>individuals</b> [5] - 86:14, 87:19, 92:2, 92:16, 150:23</p> <p><b>industry</b> [1] - 52:13</p> <p><b>infected</b> [1] - 96:16</p> <p><b>infield</b> [1] - 201:5</p> <p><b>information</b> [35] - 45:3, 55:11, 58:24, 64:14, 64:25, 65:4, 65:6, 65:10, 77:3, 77:6, 81:21, 83:20, 84:10, 88:19, 88:20, 90:10, 92:1, 94:8, 94:9, 97:13, 106:4, 107:5, 107:6, 107:8, 107:9, 107:15, 107:18, 108:5, 108:11, 130:22, 147:23, 159:22, 164:3, 171:2</p> <p><b>informed</b> [1] - 160:15</p> <p><b>inherit</b> [2] - 139:15, 152:16</p> <p><b>inheritance</b> [1] - 80:4</p> <p><b>inherited</b> [1] - 112:10</p> <p><b>initial</b> [1] - 92:13</p> <p><b>initials</b> [7] - 23:15, 29:1, 29:4, 29:5, 29:13, 29:14, 29:15</p> <p><b>inside</b> [18] - 16:18, 123:25, 124:2, 124:5, 128:2, 129:21, 143:7, 143:8, 154:1, 154:5, 154:6, 154:8, 154:11, 154:13, 155:6, 155:13, 174:24, 175:2</p> <p><b>insight</b> [1] - 113:10</p> <p><b>insignia</b> [1] - 193:16</p> <p><b>instance</b> [5] - 77:8, 77:12, 78:1, 82:13, 107:3</p> <p><b>instead</b> [2] - 54:10, 92:18</p> <p><b>instrument</b> [7] - 58:25, 59:1, 60:18, 63:16, 64:1, 64:4, 75:23</p> <p><b>instrumentations</b> [1] - 52:12</p> <p><b>instruments</b> [2] - 63:19, 63:22</p>	<p><b>integrity</b> [1] - 42:10</p> <p><b>intended</b> [1] - 100:2</p> <p><b>interchangeably</b> [1] - 55:21</p> <p><b>interest</b> [1] - 28:8</p> <p><b>interested</b> [1] - 5:3</p> <p><b>interesting</b> [2] - 149:3</p> <p><b>intermediate</b> [1] - 121:20</p> <p><b>internal</b> [4] - 12:4, 12:6, 20:5, 58:1</p> <p><b>International</b> [6] - 66:18, 67:2, 68:22, 69:4, 92:3, 182:22</p> <p><b>interpret</b> [2] - 181:2, 186:21</p> <p><b>interpretation</b> [3] - 100:23, 101:21, 117:10</p> <p><b>interpretations</b> [2] - 66:9, 79:20</p> <p><b>interrupt</b> [1] - 146:19</p> <p><b>interval</b> [1] - 192:5</p> <p><b>intervals</b> [1] - 208:11</p> <p><b>introduced</b> [6] - 23:9, 30:18, 94:7, 156:10, 158:11, 193:9</p> <p><b>introduction</b> [3] - 131:4, 133:11, 187:16</p> <p><b>inventory</b> [2] - 11:13, 11:14</p> <p><b>inversion</b> [6] - 205:12, 205:13, 207:1, 207:14, 207:19</p> <p><b>investgate</b> [1] - 121:14</p> <p><b>investigation</b> [5] - 121:24, 122:9, 127:6, 127:17, 134:16</p> <p><b>investigators</b> [1] - 123:1</p> <p><b>involved</b> [7] - 26:10, 26:12, 59:6, 122:11, 122:14, 128:17, 160:6</p> <p><b>involvement</b> [1] - 9:5</p> <p><b>Irvine</b> [1] - 52:1</p> <p><b>issue</b> [3] - 41:24, 98:20, 126:12</p> <p><b>issued</b> [1] - 153:6</p> <p><b>it'd</b> [1] - 171:23</p> <p><b>it'll</b> [2] - 68:1, 94:3</p> <p><b>item</b> [189] - 11:5, 11:10, 11:11, 12:1, 12:12, 13:4, 13:6, 13:7, 13:8, 13:12, 13:13, 13:17, 13:24, 14:2, 17:15, 17:16, 17:17, 17:18, 17:20, 17:24, 19:21, 19:22,</p>
--	--	---	---	--

<p>19:23, 19:25, 20:2, 20:16, 20:20, 20:21, 20:22, 21:1, 21:9, 21:17, 22:10, 22:20, 22:22, 23:5, 25:8, 25:17, 26:17, 26:23, 27:25, 28:5, 28:6, 29:1, 29:3, 29:4, 29:12, 29:14, 29:24, 30:3, 30:6, 30:20, 31:6, 31:9, 31:17, 32:1, 32:2, 32:8, 33:4, 34:11, 34:20, 35:25, 36:3, 37:9, 37:11, 37:19, 37:21, 37:22, 38:3, 38:6, 38:9, 38:10, 38:11, 38:12, 38:14, 38:15, 38:20, 38:24, 39:1, 39:16, 40:1, 40:15, 40:16, 40:24, 41:1, 41:5, 41:10, 41:22, 41:24, 42:7, 44:7, 45:22, 46:1, 46:9, 47:13, 59:17, 71:7, 71:8, 71:10, 73:5, 81:1, 82:25, 83:11, 85:3, 85:8, 85:9, 85:19, 86:5, 87:4, 87:12, 87:25, 94:10, 103:13, 103:19, 105:3, 105:15, 106:10, 111:12, 117:17, 117:19, 119:12, 123:11, 123:14, 129:5, 129:22, 129:23, 129:24, 130:9, 130:13, 131:15, 131:22, 132:6, 133:24, 139:21, 140:4, 140:13, 141:5, 141:14, 141:18, 147:13, 149:13, 151:15, 151:18, 151:22, 152:1, 152:25, 153:24, 154:2, 154:7, 154:19, 154:20, 155:12, 155:17, 155:19, 156:4, 156:12, 157:10, 158:8, 158:13, 158:18, 159:8, 159:22, 160:12, 160:17, 162:2, 162:13, 162:15, 162:22, 163:10, 163:11, 164:22, 166:4, 166:6, 166:7, 166:9, 167:24, 168:16, 169:1, 169:2,</p>	<p>170:1, 170:17, 170:23, 172:11, 172:17, 172:24, 173:2, 173:6  <b>items</b> <sup>[80]</sup> - 6:21, 11:22, 18:11, 18:18, 18:20, 18:24, 18:25, 19:2, 19:6, 19:10, 19:20, 25:1, 25:15, 27:23, 30:2, 38:23, 40:13, 41:9, 42:8, 42:22, 42:25, 43:20, 43:21, 43:23, 44:5, 44:12, 46:10, 59:11, 66:15, 70:22, 82:23, 85:5, 85:8, 85:15, 87:1, 89:9, 93:14, 93:24, 95:25, 108:23, 122:19, 123:4, 123:5, 123:8, 127:9, 129:2, 129:15, 129:16, 135:7, 139:19, 140:13, 140:16, 140:19, 141:9, 142:15, 144:18, 150:23, 150:25, 151:1, 151:10, 151:11, 151:13, 152:12, 152:13, 152:14, 152:17, 152:19, 153:14, 156:18, 158:24, 162:12, 163:7, 169:10, 170:9, 170:18, 173:12, 174:16, 175:11  <b>itself</b> <sup>[5]</sup> - 18:11, 53:22, 56:18, 89:18, 180:19</p>	<p><b>jeans</b> <sup>[1]</sup> - 127:2  <b>jersey</b> <sup>[1]</sup> - 127:3  <b>Jim</b> <sup>[3]</sup> - 69:21, 81:18, 117:20  <b>job</b> <sup>[5]</sup> - 16:20, 96:12, 107:25, 137:21, 138:5  <b>John</b> <sup>[6]</sup> - 70:10, 91:25, 128:4, 143:20, 150:15, 176:6  <b>John's</b> <sup>[1]</sup> - 136:25  <b>Judge</b> <sup>[8]</sup> - 48:18, 50:2, 50:10, 103:3, 117:2, 120:7, 120:8, 131:9  <b>judgment</b> <sup>[1]</sup> - 46:10  <b>judicial</b> <sup>[1]</sup> - 8:15  <b>Judicial</b> <sup>[1]</sup> - 8:16  <b>July</b> <sup>[1]</sup> - 41:2  <b>junction</b> <sup>[1]</sup> - 78:22  <b>June</b> <sup>[3]</sup> - 19:16, 22:18, 121:12  <b>jurisdictions</b> <sup>[1]</sup> - 138:15  <b>jury</b> <sup>[45]</sup> - 5:11, 5:12, 5:14, 5:16, 5:18, 6:17, 8:9, 15:6, 20:15, 23:13, 33:20, 34:5, 38:1, 40:18, 45:5, 46:14, 46:20, 49:4, 49:21, 50:4, 50:5, 50:6, 50:9, 58:5, 61:8, 64:12, 73:24, 74:25, 89:22, 96:22, 108:22, 118:16, 120:3, 120:13, 120:14, 120:17, 121:19, 176:25, 177:7, 177:8, 177:9, 177:12, 177:24, 209:17  <b>jury's</b> <sup>[1]</sup> - 118:21</p>	<p><b>keeping</b> <sup>[1]</sup> - 16:10  <b>Keith</b> <sup>[2]</sup> - 120:19, 121:2  <b>KEITH</b> <sup>[2]</sup> - 120:20, 121:2  <b>key</b> <sup>[3]</sup> - 17:10, 18:14, 197:23  <b>kimberly</b> <sup>[1]</sup> - 162:24  <b>Kimberly</b> <sup>[31]</sup> - 17:22, 18:16, 33:17, 34:2, 34:3, 74:14, 76:15, 125:11, 131:11, 131:24, 133:16, 134:5, 147:8, 151:5, 153:4, 156:16, 158:9, 159:1, 164:11, 169:22, 170:13, 173:20, 183:12, 183:21, 184:13, 188:9, 190:11, 194:25, 195:11, 195:18, 196:17  <b>kind</b> <sup>[32]</sup> - 29:9, 43:17, 58:14, 61:24, 78:11, 78:17, 78:25, 79:2, 81:22, 81:25, 82:17, 96:14, 99:15, 101:9, 107:25, 108:8, 122:17, 122:22, 124:3, 137:14, 138:6, 142:4, 159:14, 159:18, 166:24, 171:5, 178:24, 182:1, 190:20, 201:24, 202:19, 207:23  <b>kinds</b> <sup>[1]</sup> - 169:8  <b>King's</b> <sup>[1]</sup> - 136:19  <b>Kinsey</b> <sup>[24]</sup> - 39:14, 48:19, 50:11, 50:21, 50:23, 52:20, 53:6, 57:15, 60:2, 61:5, 61:15, 64:3, 65:21, 66:25, 69:16, 70:18, 72:25, 83:16, 90:7, 93:20, 96:20, 98:11, 139:5  <b>KINSEY</b> <sup>[1]</sup> - 50:12  <b>kit</b> <sup>[3]</sup> - 68:10, 146:22, 146:24  <b>kitchen</b> <sup>[2]</sup> - 122:23, 123:14  <b>kits</b> <sup>[3]</sup> - 68:12, 68:14, 147:2  <b>KM</b> <sup>[1]</sup> - 34:9  <b>knowledge</b> <sup>[5]</sup> - 42:10, 42:13, 43:7, 187:10, 194:14  <b>known</b> <sup>[35]</sup> - 16:16, 16:18, 24:6, 54:7, 59:13, 59:17, 59:18,</p>	<p>59:21, 59:24, 63:19, 65:13, 65:15, 65:19, 66:2, 67:8, 69:13, 72:9, 80:22, 89:18, 89:20, 90:19, 91:1, 139:19, 141:22, 144:25, 146:22, 147:5, 149:5, 150:23, 151:21, 152:15, 159:20, 174:5, 184:6  <b>knowns</b> <sup>[3]</sup> - 66:21, 67:17, 68:24</p>
<b>J</b>		<b>K</b>		
<p><b>jacket</b> <sup>[5]</sup> - 110:24, 110:25, 111:1, 127:6, 127:11  <b>Jackson</b> <sup>[1]</sup> - 136:11  <b>James</b> <sup>[14]</sup> - 40:3, 69:21, 72:12, 72:19, 77:8, 81:11, 85:22, 86:16, 87:22, 88:10, 92:6, 104:6, 118:17, 127:18  <b>Jamie</b> <sup>[10]</sup> - 5:22, 6:7, 36:11, 58:8, 65:25, 81:3, 93:9, 103:14, 109:9, 109:18  <b>JAMIE</b> <sup>[1]</sup> - 5:23  <b>January</b> <sup>[6]</sup> - 79:5, 89:24, 91:18, 164:17, 167:15, 171:19</p>	<p><b>K-i-n-s-e-y</b> <sup>[1]</sup> - 50:22  <b>Kalispell</b> <sup>[2]</sup> - 178:17, 201:13  <b>Kastle</b> <sup>[1]</sup> - 34:9  <b>Kastle-Meyer</b> <sup>[1]</sup> - 34:9  <b>Kathryn</b> <sup>[2]</sup> - 135:25, 136:8  <b>KATHRYN</b> <sup>[1]</sup> - 136:1  <b>keep</b> <sup>[9]</sup> - 20:5, 52:17, 62:1, 62:24, 73:12, 117:8, 121:22, 149:2, 164:10</p>	<p><b>Lab</b> <sup>[28]</sup> - 6:13, 6:15, 6:23, 7:11, 9:7, 9:8, 9:18, 9:20, 9:23, 11:2, 11:10, 11:16, 14:21, 18:2, 19:7, 50:25, 51:2, 51:15, 51:16, 52:3, 55:25, 57:6, 60:20, 66:11, 127:13, 134:18, 135:14, 140:10  <b>lab</b> <sup>[48]</sup> - 10:1, 10:13, 10:19, 10:23, 11:6, 12:5, 12:14, 15:15, 16:22, 18:1, 24:13, 25:8, 30:3, 30:15, 32:15, 37:25, 42:6, 43:1, 46:5, 51:17, 55:24, 56:14, 56:21, 57:11, 57:21, 58:1, 58:2, 58:9, 60:20, 62:19, 66:7, 66:8, 66:18, 67:13, 67:23, 67:25, 68:4, 68:19, 83:7, 87:4, 93:11, 109:9, 134:14, 139:5, 150:7, 168:8  <b>lab's</b> <sup>[1]</sup> - 66:17  <b>label</b> <sup>[2]</sup> - 10:18, 32:16  <b>labeled</b> <sup>[3]</sup> - 28:25, 44:18, 45:12  <b>labeling</b> <sup>[1]</sup> - 13:3  <b>laboratories</b> <sup>[11]</sup> - 9:20, 63:3, 66:14, 80:16, 80:18, 113:18, 136:15, 137:8, 137:13, 146:23, 146:25  <b>Laboratory</b> <sup>[3]</sup> - 136:11, 137:7, 137:9  <b>laboratory</b> <sup>[49]</sup> - 11:12, 17:1, 20:3, 20:19, 23:1, 23:6, 24:23, 25:20, 38:19, 48:19, 51:14, 52:12,</p>	<b>L</b>	

<p>56:23, 56:24, 57:1, 57:5, 57:9, 57:10, 57:18, 57:23, 58:22, 60:9, 60:12, 61:11, 63:3, 63:5, 63:9, 63:24, 64:18, 66:6, 68:9, 68:14, 68:19, 74:1, 75:9, 84:8, 96:7, 101:2, 113:17, 113:21, 136:19, 137:6, 142:2, 144:18, 146:5, 147:13, 150:22, 151:2, 170:15</p> <p><b>Labs</b> [5] - 66:18, 67:2, 68:22, 69:3, 92:3</p> <p><b>labs</b> [8] - 15:9, 66:20, 67:5, 68:15, 69:10, 100:13, 113:22, 146:20</p> <p><b>lack</b> [3] - 83:20, 153:11, 192:13</p> <p><b>ladies</b> [4] - 48:22, 119:20, 176:18, 209:9</p> <p><b>laid</b> [2] - 33:3, 33:5</p> <p><b>laminar</b> [2] - 61:11, 61:22</p> <p><b>lapse</b> [1] - 198:7</p> <p><b>large</b> [7] - 30:20, 33:15, 54:11, 64:23, 70:21, 79:25</p> <p><b>larger</b> [5] - 10:18, 82:12, 83:22, 84:1, 94:12</p> <p><b>largest</b> [1] - 80:19</p> <p><b>laser</b> [3] - 16:2, 61:16, 61:20</p> <p><b>last</b> [15] - 6:5, 6:7, 50:20, 91:17, 96:20, 119:1, 122:9, 126:17, 126:23, 134:5, 136:7, 151:11, 171:18, 178:10, 179:21</p> <p><b>late</b> [1] - 91:11</p> <p><b>latest</b> [1] - 11:20</p> <p><b>latex</b> [2] - 12:15, 15:14</p> <p><b>latitude</b> [1] - 185:5</p> <p><b>laughter</b> [2] - 49:15, 99:9</p> <p><b>Laughter</b> [2] - 49:24, 203:6</p> <p><b>laughter</b> [4] - 105:2, 186:22, 202:8, 204:13</p> <p><b>Laughter</b> [1] - 112:23</p> <p><b>Law</b> [1] - 121:16</p> <p><b>law</b> [2] - 8:10, 52:21</p> <p><b>laws</b> [1] - 80:3</p> <p><b>lay</b> [1] - 13:4</p>	<p><b>layers</b> [2] - 189:22, 190:25</p> <p><b>laying</b> [1] - 45:4</p> <p><b>leader</b> [2] - 39:13, 51:7</p> <p><b>lease</b> [1] - 76:8</p> <p><b>least</b> [22] - 48:10, 63:4, 71:17, 72:3, 72:5, 81:7, 85:16, 86:13, 87:3, 87:19, 88:6, 92:16, 102:4, 102:19, 107:2, 107:5, 115:7, 137:21, 175:23, 191:5, 203:15, 207:5</p> <p><b>leave</b> [2] - 26:3, 109:19</p> <p><b>left</b> [38] - 17:4, 21:20, 36:24, 39:20, 40:20, 41:11, 43:9, 43:18, 61:11, 61:23, 62:15, 73:23, 82:25, 87:13, 88:2, 89:3, 89:4, 90:15, 119:8, 119:10, 125:9, 125:13, 133:21, 134:21, 146:9, 146:13, 146:17, 166:4, 166:8, 166:22, 167:1, 167:9, 167:23, 171:5, 184:15, 195:7, 195:8</p> <p><b>left-hand</b> [1] - 146:17</p> <p><b>Leighton</b> [40] - 23:24, 45:21, 65:22, 66:3, 67:8, 67:13, 67:18, 68:20, 68:25, 69:11, 72:12, 73:6, 75:7, 81:8, 85:20, 85:25, 86:14, 87:2, 87:8, 87:20, 88:10, 104:4, 104:5, 104:21, 143:23, 150:11, 152:3, 152:9, 152:18, 152:20, 153:2, 157:17, 162:22, 172:21, 173:7, 174:12, 174:16, 176:9</p> <p><b>Leighton's</b> [6] - 24:1, 24:8, 72:17, 77:24, 85:18, 133:21</p> <p><b>length</b> [1] - 64:21</p> <p><b>lengths</b> [1] - 29:16</p> <p><b>lengthy</b> [1] - 87:11</p> <p><b>less</b> [11] - 78:17, 78:18, 83:25, 94:6, 99:24, 112:12, 145:6, 147:4, 149:19, 149:20, 189:7</p> <p><b>letter</b> [4] - 127:18,</p>	<p>128:8, 128:12, 146:16</p> <p><b>letters</b> [1] - 53:11</p> <p><b>level</b> [13] - 57:23, 83:23, 88:22, 98:23, 98:25, 99:3, 99:17, 99:23, 101:19, 189:15, 198:10, 207:17</p> <p><b>levels</b> [3] - 28:2, 94:10, 95:25</p> <p><b>lid</b> [7] - 22:24, 22:25, 23:11, 83:8, 83:20, 84:13, 94:7</p> <p><b>life</b> [1] - 96:13</p> <p><b>lift</b> [1] - 30:23</p> <p><b>light</b> [13] - 12:18, 27:8, 27:10, 27:16, 27:17, 32:22, 34:12, 34:14, 46:23, 47:14, 99:8, 161:16</p> <p><b>lights</b> [2] - 103:3, 131:9</p> <p><b>likelihood</b> [2] - 145:5, 149:17</p> <p><b>likely</b> [6] - 79:21, 94:3, 94:6, 94:14, 148:4, 157:8</p> <p><b>limit</b> [1] - 101:23</p> <p><b>line</b> [11] - 27:19, 34:11, 34:12, 35:1, 73:12, 93:20, 99:16, 168:6, 190:4, 190:5, 207:16</p> <p><b>lined</b> [1] - 29:18</p> <p><b>lines</b> [1] - 101:7</p> <p><b>liquid</b> [1] - 118:6</p> <p><b>list</b> [6] - 58:13, 65:1, 73:25, 130:9, 156:10, 190:19</p> <p><b>listed</b> [2] - 74:3, 146:17</p> <p><b>listing</b> [1] - 11:14</p> <p><b>literally</b> [1] - 161:18</p> <p><b>littler</b> [1] - 94:17</p> <p><b>live</b> [13] - 115:10, 178:14, 178:16, 178:17, 201:8, 201:11, 201:13, 202:16, 202:19, 202:20, 205:3</p> <p><b>lived</b> [10] - 118:22, 200:19, 200:21, 200:22, 200:25, 201:13, 202:7, 202:9, 205:9, 206:14</p> <p><b>loaded</b> [1] - 81:13</p> <p><b>local</b> [3] - 180:2, 184:22, 204:6</p> <p><b>locale</b> [1] - 201:20</p> <p><b>located</b> [4] - 131:15,</p>	<p>131:17, 180:13, 181:19</p> <p><b>location</b> [18] - 54:19, 55:7, 55:12, 55:19, 67:24, 71:21, 72:2, 74:2, 76:16, 76:19, 97:8, 97:9, 139:12, 140:9, 173:15, 181:23, 206:4</p> <p><b>locations</b> [20] - 55:13, 73:25, 78:22, 82:1, 139:14, 139:24, 140:2, 140:4, 144:23, 144:24, 146:8, 147:1, 147:3, 159:18, 160:1, 163:18, 163:20, 172:6, 199:9</p> <p><b>loci</b> [26] - 58:22, 67:23, 73:8, 73:25, 74:6, 76:8, 82:1, 82:5, 82:6, 82:11, 82:12, 82:19, 83:21, 83:23, 83:25, 84:1, 84:11, 94:9, 107:5, 140:2, 140:8, 144:23, 146:15, 148:15</p> <p><b>lock</b> [2] - 10:22, 17:8</p> <p><b>locked</b> [2] - 18:10, 18:13</p> <p><b>locus</b> [26] - 54:18, 54:19, 55:7, 55:18, 65:9, 74:4, 76:2, 77:13, 78:1, 78:3, 78:6, 78:16, 79:1, 88:20, 99:23, 99:24, 102:21, 102:24, 107:22, 139:10, 139:11, 139:12, 140:2, 140:8</p> <p><b>Lodge</b> [1] - 202:17</p> <p><b>Logan</b> [1] - 182:22</p> <p><b>London</b> [1] - 136:20</p> <p><b>look</b> [40] - 14:12, 18:25, 27:10, 35:10, 43:23, 59:4, 64:18, 67:3, 68:22, 74:12, 77:12, 79:24, 82:15, 93:1, 97:16, 99:5, 99:15, 101:7, 101:9, 101:25, 102:20, 106:23, 141:5, 141:9, 141:10, 144:14, 145:4, 146:15, 151:23, 152:9, 152:11, 159:18, 160:1, 172:5, 174:13, 190:15, 191:13, 191:16, 191:25, 208:11</p> <p><b>looked</b> [22] - 19:9,</p>	<p>30:6, 34:18, 44:5, 44:12, 45:15, 45:20, 46:23, 47:15, 63:18, 71:22, 82:18, 82:23, 100:14, 102:16, 102:17, 117:19, 140:1, 140:4, 206:7, 206:12</p> <p><b>Looking</b> [1] - 158:10</p> <p><b>looking</b> [41] - 17:4, 19:10, 19:12, 20:12, 33:24, 36:10, 42:22, 44:7, 45:22, 45:25, 46:9, 63:23, 64:20, 68:11, 80:3, 80:21, 87:11, 102:5, 103:13, 125:8, 139:13, 139:19, 139:24, 140:9, 140:12, 144:22, 144:24, 145:9, 145:22, 154:7, 154:8, 155:3, 169:9, 189:6, 189:23, 190:4, 194:2, 199:7, 204:22, 205:4</p> <p><b>looks</b> [19] - 5:14, 49:6, 75:22, 75:23, 76:7, 78:22, 90:12, 102:12, 102:18, 107:6, 108:14, 120:5, 132:5, 164:1, 184:16, 184:17, 190:20, 195:12, 196:18</p> <p><b>Lori</b> [2] - 66:6, 66:10</p> <p><b>lottery</b> [1] - 117:8</p> <p><b>low</b> [8] - 83:23, 94:10, 98:23, 98:25, 99:3, 186:17, 186:19, 206:25</p> <p><b>low-level</b> [3] - 98:23, 98:25, 99:3</p> <p><b>lower</b> [2] - 99:22, 203:20</p> <p><b>lows</b> [1] - 186:14</p>
<b>M</b>				
<p><b>M-o-y-s-e</b> [1] - 136:8</p> <p><b>ma'am</b> [2] - 48:12, 176:15</p> <p><b>main</b> [8] - 14:17, 15:7, 15:19, 21:3, 96:7, 122:14, 179:12, 180:25</p> <p><b>maintain</b> [3] - 12:6, 18:5, 179:18</p> <p><b>maintained</b> [1] - 90:13</p> <p><b>maintenance</b> [1] - 180:9</p>				

<p><b>major</b> <sup>[14]</sup> - 85:18, 87:20, 89:2, 89:6, 99:20, 100:3, 136:24, 155:2, 178:12, 199:2, 203:19, 204:9, 205:23, 208:16</p> <p><b>majority</b> <sup>[2]</sup> - 61:12, 140:18</p> <p><b>male</b> <sup>[10]</sup> - 45:4, 45:12, 74:19, 74:22, 88:9, 88:12, 90:2, 91:15, 92:4</p> <p><b>man</b> <sup>[1]</sup> - 200:15</p> <p><b>managed</b> <sup>[1]</sup> - 178:8</p> <p><b>manager</b> <sup>[1]</sup> - 178:8</p> <p><b>mandatory</b> <sup>[2]</sup> - 7:20, 7:22</p> <p><b>manipulated</b> <sup>[1]</sup> - 124:8</p> <p><b>manner</b> <sup>[1]</sup> - 181:16</p> <p><b>map</b> <sup>[1]</sup> - 7:4</p> <p><b>MARCH</b> <sup>[1]</sup> - 5:1</p> <p><b>Mark</b> <sup>[1]</sup> - 89:23</p> <p><b>marked</b> <sup>[15]</sup> - 9:13, 14:11, 30:17, 31:20, 38:13, 45:8, 60:3, 67:1, 72:25, 130:4, 132:20, 144:13, 182:15, 186:23, 193:19</p> <p><b>marker</b> <sup>[24]</sup> - 55:15, 55:17, 65:2, 65:6, 74:10, 74:16, 76:9, 76:11, 78:8, 78:12, 78:13, 92:19, 97:24, 102:5, 102:14, 102:15, 102:16, 102:17, 157:6, 196:24, 197:12, 199:11, 207:12</p> <p><b>markers</b> <sup>[29]</sup> - 12:18, 58:21, 58:24, 64:18, 67:23, 68:4, 68:10, 72:6, 73:8, 76:5, 82:3, 82:17, 83:22, 84:1, 95:9, 144:24, 145:2, 146:7, 146:20, 146:21, 152:10, 157:2, 157:3, 157:5, 169:8, 169:14, 169:15</p> <p><b>marking</b> <sup>[1]</sup> - 33:20</p> <p><b>markings</b> <sup>[4]</sup> - 31:8, 31:12, 33:18, 46:5</p> <p><b>Marsha</b> <sup>[13]</sup> - 70:15, 125:17, 125:19, 143:3, 143:5, 148:13, 148:16, 149:16, 155:23, 165:16, 174:11, 174:20, 176:5</p> <p><b>mask</b> <sup>[1]</sup> - 12:15</p>	<p><b>mass</b> <sup>[4]</sup> - 197:20, 197:22, 207:21, 208:15</p> <p><b>master's</b> <sup>[1]</sup> - 136:18</p> <p><b>masters</b> <sup>[4]</sup> - 136:20, 136:21, 136:22, 178:2</p> <p><b>match</b> <sup>[21]</sup> - 68:19, 84:15, 113:4, 116:20, 140:6, 149:13, 149:14, 151:25, 152:12, 152:16, 153:1, 156:12, 160:3, 160:6, 163:16, 163:21, 164:5, 166:18, 169:2</p> <p><b>matched</b> <sup>[9]</sup> - 85:6, 87:1, 91:14, 104:20, 104:24, 152:2, 155:22, 165:15, 175:20</p> <p><b>matches</b> <sup>[2]</sup> - 116:13, 174:14</p> <p><b>matching</b> <sup>[2]</sup> - 87:8, 149:20</p> <p><b>material</b> <sup>[3]</sup> - 53:12, 58:16, 159:13</p> <p><b>math</b> <sup>[1]</sup> - 115:14</p> <p><b>Matt</b> <sup>[1]</sup> - 200:7</p> <p><b>matter</b> <sup>[2]</sup> - 5:15, 205:18</p> <p><b>matters</b> <sup>[1]</sup> - 9:2</p> <p><b>maximum</b> <sup>[3]</sup> - 157:4, 187:2, 187:25</p> <p><b>mean</b> <sup>[28]</sup> - 26:9, 43:11, 43:25, 76:3, 76:24, 83:18, 88:16, 92:21, 93:3, 94:1, 101:9, 115:12, 116:9, 116:12, 122:22, 129:19, 154:5, 161:25, 186:16, 186:19, 187:4, 188:13, 188:20, 189:2, 189:12, 190:6, 202:7, 203:24</p> <p><b>meaning</b> <sup>[3]</sup> - 160:1, 196:5, 206:19</p> <p><b>means</b> <sup>[16]</sup> - 140:8, 156:24, 161:18, 179:25, 188:15, 188:16, 188:17, 188:18, 189:5, 189:17, 189:20, 190:9, 193:17, 207:2</p> <p><b>measure</b> <sup>[1]</sup> - 183:25</p> <p><b>measured</b> <sup>[2]</sup> - 182:23, 205:1</p> <p><b>measurement</b> <sup>[4]</sup> - 184:4, 197:21, 197:25, 199:15</p>	<p><b>measurements</b> <sup>[3]</sup> - 65:2, 196:6, 198:14</p> <p><b>measuring</b> <sup>[6]</sup> - 64:21, 124:23, 184:6, 185:6, 188:21, 200:13</p> <p><b>mechanisms</b> <sup>[1]</sup> - 63:10</p> <p><b>medical</b> <sup>[1]</sup> - 74:10</p> <p><b>melted</b> <sup>[2]</sup> - 134:7, 134:8</p> <p><b>member</b> <sup>[1]</sup> - 178:20</p> <p><b>Memorial</b> <sup>[1]</sup> - 136:25</p> <p><b>memory</b> <sup>[3]</sup> - 40:18, 69:25, 113:25</p> <p><b>mention</b> <sup>[2]</sup> - 150:20, 176:9</p> <p><b>mentioned</b> <sup>[17]</sup> - 16:14, 24:19, 57:25, 60:18, 64:18, 65:11, 76:5, 89:16, 95:7, 107:14, 107:18, 112:7, 112:20, 144:23, 152:11, 208:17</p> <p><b>mercury</b> <sup>[3]</sup> - 184:1, 184:2</p> <p><b>message</b> <sup>[1]</sup> - 134:21</p> <p><b>met</b> <sup>[2]</sup> - 100:7, 138:2</p> <p><b>metal</b> <sup>[2]</sup> - 132:2, 160:5</p> <p><b>Meteorological</b> <sup>[3]</sup> - 178:21, 178:22, 179:4</p> <p><b>meteorologist</b> <sup>[5]</sup> - 178:23, 179:2, 181:1, 185:1, 185:8</p> <p><b>meteorology</b> <sup>[3]</sup> - 178:19, 181:5, 181:13</p> <p><b>methanol</b> <sup>[2]</sup> - 12:20, 28:19</p> <p><b>method</b> <sup>[1]</sup> - 29:21</p> <p><b>methods</b> <sup>[4]</sup> - 55:25, 56:3, 56:7, 56:14</p> <p><b>Meyer</b> <sup>[1]</sup> - 34:9</p> <p><b>microbial</b> <sup>[1]</sup> - 96:8</p> <p><b>middle</b> <sup>[12]</sup> - 132:10, 158:24, 159:6, 159:23, 164:15, 170:8, 170:18, 172:4, 173:5, 173:12, 181:20, 205:16</p> <p><b>might</b> <sup>[28]</sup> - 11:4, 16:11, 28:11, 29:23, 31:11, 54:23, 56:11, 57:25, 59:19, 59:22, 63:9, 65:2, 65:4, 65:7, 83:14, 89:20, 96:11, 100:19, 107:8,</p>	<p>107:18, 108:2, 111:17, 116:10, 118:10, 168:6, 185:20, 206:5, 207:24</p> <p><b>mile</b> <sup>[5]</sup> - 196:24, 197:12, 199:11, 204:10, 207:11</p> <p><b>miles</b> <sup>[13]</sup> - 197:10, 197:22, 198:23, 199:3, 202:10, 202:20, 202:21, 203:14, 203:15, 204:2, 204:10, 205:21, 208:7</p> <p><b>military</b> <sup>[1]</sup> - 195:5</p> <p><b>million</b> <sup>[3]</sup> - 84:24, 90:22</p> <p><b>mind</b> <sup>[2]</sup> - 84:20, 200:8</p> <p><b>minimize</b> <sup>[1]</sup> - 63:11</p> <p><b>minimized</b> <sup>[1]</sup> - 117:10</p> <p><b>minimum</b> <sup>[1]</sup> - 187:3</p> <p><b>minor</b> <sup>[13]</sup> - 88:8, 88:12, 88:16, 88:21, 89:3, 89:6, 89:7, 90:2, 99:20, 100:4, 105:25, 199:1</p> <p><b>minute</b> <sup>[4]</sup> - 60:18, 86:20, 185:10, 188:16</p> <p><b>minutes</b> <sup>[6]</sup> - 49:2, 176:23, 184:23, 185:7, 199:5</p> <p><b>missing</b> <sup>[6]</sup> - 39:11, 77:6, 88:19, 147:23, 159:17, 164:3</p> <p><b>Mississippi</b> <sup>[2]</sup> - 136:11, 138:19</p> <p><b>Missoula</b> <sup>[2]</sup> - 9:24, 127:14</p> <p><b>mistake</b> <sup>[1]</sup> - 25:14</p> <p><b>mistaken</b> <sup>[1]</sup> - 145:23</p> <p><b>mistakes</b> <sup>[1]</sup> - 193:18</p> <p><b>mitochondria</b> <sup>[2]</sup> - 54:7, 54:9</p> <p><b>mitochondrial</b> <sup>[5]</sup> - 54:5, 54:6, 54:12, 112:7, 112:14</p> <p><b>mixed</b> <sup>[23]</sup> - 71:25, 72:4, 72:17, 72:20, 77:2, 79:22, 79:24, 80:1, 81:7, 82:20, 86:13, 88:11, 97:16, 97:18, 98:7, 99:21, 105:20, 107:3, 107:4, 133:20, 156:25, 205:14, 206:19</p> <p><b>mixers</b> <sup>[1]</sup> - 62:1</p>	<p><b>mixing</b> <sup>[1]</sup> - 207:20</p> <p><b>mixture</b> <sup>[59]</sup> - 71:17, 71:19, 71:20, 71:24, 72:7, 72:18, 74:25, 75:1, 76:3, 76:7, 79:9, 79:16, 80:6, 80:10, 80:23, 81:9, 87:19, 88:6, 92:16, 93:4, 97:2, 102:3, 102:10, 102:13, 102:18, 108:5, 156:23, 156:24, 157:11, 157:12, 157:14, 159:11, 159:12, 159:24, 163:25, 164:2, 168:18, 168:20, 168:23, 169:4, 169:7, 169:11, 169:13, 169:14, 169:17, 170:23, 170:25, 171:2, 171:15, 172:17, 172:20, 172:22, 172:23, 172:25, 173:13, 173:14, 174:3, 175:23</p> <p><b>mixtures</b> <sup>[11]</sup> - 75:3, 75:5, 85:16, 88:23, 89:1, 100:5, 103:24, 107:2, 171:12, 173:18</p> <p><b>mock</b> <sup>[3]</sup> - 7:13, 7:16</p> <p><b>moist</b> <sup>[1]</sup> - 35:18</p> <p><b>moisture</b> <sup>[2]</sup> - 161:16, 196:10</p> <p><b>mold</b> <sup>[1]</sup> - 161:17</p> <p><b>molecule</b> <sup>[7]</sup> - 54:8, 95:5, 139:14, 161:14, 161:18, 161:20</p> <p><b>molecules</b> <sup>[1]</sup> - 140:9</p> <p><b>mom</b> <sup>[8]</sup> - 53:14, 54:15, 64:20, 65:3, 65:5, 139:16, 157:2, 157:5</p> <p><b>moment</b> <sup>[8]</sup> - 31:1, 40:7, 67:16, 89:14, 93:18, 116:22, 135:20, 174:7</p> <p><b>moments</b> <sup>[1]</sup> - 60:1</p> <p><b>monitor</b> <sup>[1]</sup> - 36:11</p> <p><b>Montana</b> <sup>[39]</sup> - 6:12, 6:13, 6:14, 6:23, 7:7, 7:11, 8:12, 9:6, 9:7, 9:18, 9:19, 9:23, 9:24, 11:2, 11:9, 11:16, 14:21, 18:2, 19:7, 50:25, 51:2, 51:15, 51:17, 53:2, 55:25, 60:20, 66:10, 88:13, 121:16, 127:13,</p>
--	--	--	--	--

<p>134:17, 135:13, 138:17, 140:10, 200:25, 201:14, 201:23, 202:8, 203:14 <b>month</b> [6] - 52:9, 91:11, 114:21, 115:1, 116:1 <b>months</b> [3] - 137:21, 137:24, 137:25 <b>morgue</b> [2] - 132:2, 133:22 <b>morning</b> [17] - 6:3, 6:4, 42:18, 42:19, 48:16, 50:17, 50:18, 98:17, 98:18, 122:5, 125:20, 189:24, 190:15, 190:17, 195:13, 196:11, 209:16 <b>MORNING</b> [1] - 5:1 <b>mornings</b> [2] - 207:5, 207:6 <b>Morris</b> [24] - 23:24, 69:18, 72:13, 72:19, 77:8, 81:11, 83:12, 85:21, 86:16, 87:22, 88:10, 92:6, 104:6, 113:4, 116:19, 117:23, 118:1, 118:21, 142:25, 143:2, 147:18, 148:9, 157:21, 176:5 <b>Morris's</b> [3] - 24:19, 128:9, 196:23 <b>most</b> [17] - 71:1, 74:3, 80:17, 80:18, 82:18, 89:1, 89:3, 90:15, 94:3, 97:4, 130:11, 146:19, 148:4, 157:8, 185:25, 196:19, 205:15 <b>mostly</b> [3] - 128:23, 204:4, 206:11 <b>mother</b> [3] - 112:9, 112:10, 112:13 <b>mother's</b> [1] - 115:22 <b>motion</b> [1] - 29:9 <b>mountain</b> [4] - 202:21, 203:18, 204:3, 207:3 <b>mountains</b> [2] - 205:2, 205:21 <b>mouth</b> [3] - 124:8, 143:6, 143:8 <b>move</b> [18] - 8:22, 10:5, 14:23, 17:19, 32:11, 53:5, 60:22, 73:14, 125:2, 126:24, 131:3, 133:10, 138:23, 145:15,</p>	<p>181:12, 183:7, 187:15, 194:20 <b>moving</b> [1] - 51:17 <b>Moyses</b> [14] - 135:25, 136:8, 136:9, 138:11, 138:24, 139:4, 140:10, 141:20, 144:12, 156:9, 161:11, 161:22, 173:22, 174:9 <b>MOYSE</b> [1] - 136:1 <b>MR</b> [123] - 5:21, 6:2, 8:22, 9:3, 9:9, 10:4, 10:11, 12:9, 14:4, 14:7, 14:23, 15:4, 16:24, 17:22, 30:25, 32:11, 32:14, 32:16, 33:17, 34:2, 40:7, 47:10, 47:12, 47:23, 48:14, 48:18, 49:10, 49:13, 49:23, 50:1, 50:10, 50:16, 53:5, 60:22, 61:2, 71:12, 73:14, 74:14, 76:15, 93:18, 98:11, 117:2, 117:5, 118:25, 119:19, 120:7, 120:8, 120:19, 120:24, 124:15, 125:2, 125:4, 125:11, 130:2, 131:3, 131:5, 131:9, 131:24, 132:18, 133:10, 133:12, 133:16, 134:5, 134:10, 135:18, 135:20, 135:22, 135:25, 136:5, 138:23, 145:15, 147:8, 151:5, 153:4, 156:16, 158:9, 159:1, 160:19, 162:24, 164:10, 166:17, 169:22, 170:13, 173:20, 174:7, 175:5, 176:14, 177:3, 177:13, 177:18, 181:11, 181:14, 181:17, 182:12, 183:6, 183:8, 183:12, 183:21, 184:13, 187:15, 187:17, 187:21, 188:9, 190:11, 194:20, 194:21, 194:25, 195:11, 195:18, 196:17, 199:24, 200:2, 200:4, 203:4, 203:6, 203:9, 203:12, 204:14, 204:18, 204:21, 208:23, 208:25, 209:6</p>	<p><b>MS</b> [27] - 8:25, 10:7, 14:25, 32:13, 32:15, 32:17, 42:17, 47:9, 47:25, 48:3, 49:17, 49:25, 53:8, 60:24, 73:16, 98:16, 99:9, 103:3, 116:22, 116:25, 119:2, 119:6, 119:15, 139:1, 145:18, 175:8, 176:13 <b>multiple</b> [4] - 56:22, 58:13, 175:18, 189:22 <b>multiple-page</b> [1] - 56:22 <b>multiple-step</b> [1] - 58:13 <b>murdered</b> [1] - 118:22 <b>must</b> [2] - 7:20, 137:15</p>	<p>14:7, 36:24, 40:18, 42:1, 72:4, 72:21, 76:7, 102:8, 137:21, 141:3, 161:3, 203:4, 203:10 <b>needed</b> [4] - 12:22, 13:8, 59:12 <b>needs</b> [1] - 77:5 <b>neglecting</b> [1] - 208:14 <b>negligible</b> [1] - 204:11 <b>net</b> [3] - 41:19, 41:20, 42:2 <b>Nevada</b> [2] - 178:4, 180:23 <b>never</b> [2] - 145:9, 201:20 <b>nevertheless</b> [1] - 95:15 <b>new</b> [10] - 52:12, 90:16, 90:17, 91:3, 91:5, 95:18, 129:24, 130:14, 139:17 <b>newer</b> [1] - 182:4 <b>Newfoundland</b> [2] - 136:25, 137:1 <b>news</b> [1] - 200:14 <b>next</b> [32] - 5:20, 17:16, 17:20, 23:18, 48:19, 50:9, 58:17, 63:6, 63:15, 79:19, 99:25, 102:13, 102:14, 102:15, 102:21, 110:20, 116:10, 116:14, 116:20, 118:22, 120:6, 120:18, 129:12, 135:24, 141:13, 146:18, 147:16, 177:12, 189:9, 195:18, 196:17 <b>nice</b> [3] - 189:23, 199:23, 208:6 <b>nicest</b> [3] - 192:10, 192:11, 199:20 <b>night</b> [4] - 17:10, 192:24, 195:13, 207:1 <b>nine</b> [3] - 163:19, 163:20, 189:19 <b>nitrite</b> [1] - 15:14 <b>NOAA</b> [1] - 179:13 <b>non</b> [9] - 33:8, 33:10, 33:13, 35:7, 37:16, 37:17, 71:8, 109:14 <b>non-burn</b> [1] - 35:7 <b>non-burned</b> [5] - 33:8, 33:10, 33:13, 71:8, 109:14 <b>non-stained</b> [2] -</p>	<p>37:16, 37:17 <b>non-tagged</b> [1] - 37:16 <b>none</b> [2] - 157:11, 175:20 <b>noon</b> [2] - 119:21, 196:20 <b>Norm</b> [20] - 45:20, 65:22, 66:3, 67:8, 67:13, 67:17, 68:20, 68:24, 69:11, 69:14, 85:18, 85:25, 104:4, 104:21, 104:24, 105:12, 123:19, 157:17, 176:2, 176:10 <b>normal</b> [2] - 198:7, 199:23 <b>normally</b> [2] - 26:25 <b>Norman</b> [25] - 23:24, 23:25, 24:8, 69:1, 72:12, 72:17, 73:6, 75:7, 77:24, 78:6, 78:8, 78:12, 78:13, 78:15, 78:19, 81:8, 85:19, 86:14, 87:2, 87:7, 87:20, 88:9, 104:5, 143:22, 174:12 <b>North</b> [6] - 134:13, 136:17, 138:18, 180:19, 182:20, 185:3 <b>Nos</b> [5] - 15:2, 32:19, 61:3, 125:6, 145:20 <b>notation</b> [1] - 65:1 <b>note</b> [7] - 23:20, 26:16, 33:13, 37:19, 144:7, 167:5, 207:10 <b>note-taking</b> [1] - 33:13 <b>noted</b> [14] - 20:19, 23:22, 37:20, 37:21, 38:7, 38:9, 38:10, 38:15, 38:19, 39:6, 42:9, 43:4, 43:6, 171:9 <b>notes</b> [5] - 13:6, 23:14, 40:18, 165:20, 167:5 <b>nothing</b> [13] - 47:23, 98:11, 118:19, 118:23, 118:25, 135:18, 175:5, 176:14, 196:3, 199:1, 199:24, 203:24, 204:18 <b>notice</b> [2] - 83:22, 99:10 <b>November</b> [6] - 27:3, 30:1, 39:23, 41:5, 129:13, 132:16 <b>nowhere</b> [1] - 145:8</p>
<b>N</b>				
<p><b>naked</b> [1] - 27:7 <b>name</b> [15] - 6:5, 6:7, 50:19, 50:20, 89:23, 120:25, 128:9, 136:6, 136:7, 145:23, 146:16, 177:19, 186:6, 200:7 <b>names</b> [1] - 74:2 <b>National</b> [16] - 89:19, 178:7, 179:11, 179:12, 179:14, 179:15, 179:17, 180:12, 180:18, 181:19, 182:7, 182:21, 186:8, 193:13, 193:16, 197:8 <b>national</b> [5] - 56:18, 57:4, 88:13, 90:23, 113:5 <b>nature</b> [1] - 6:18 <b>NCDC</b> [1] - 180:18 <b>NDIS</b> [1] - 89:18 <b>Neal</b> [1] - 186:6 <b>near</b> [7] - 86:3, 95:10, 103:14, 145:8, 180:2, 181:18, 201:9 <b>necessarily</b> [5] - 7:22, 67:22, 92:22, 110:1, 111:9 <b>necessary</b> [1] - 56:8 <b>neck</b> [11] - 154:1, 154:5, 154:6, 154:13, 154:18, 154:22, 155:6, 155:8, 155:22, 164:24 <b>need</b> [14] - 13:9,</p>	<p><b>naked</b> [1] - 27:7 <b>name</b> [15] - 6:5, 6:7, 50:19, 50:20, 89:23, 120:25, 128:9, 136:6, 136:7, 145:23, 146:16, 177:19, 186:6, 200:7 <b>names</b> [1] - 74:2 <b>National</b> [16] - 89:19, 178:7, 179:11, 179:12, 179:14, 179:15, 179:17, 180:12, 180:18, 181:19, 182:7, 182:21, 186:8, 193:13, 193:16, 197:8 <b>national</b> [5] - 56:18, 57:4, 88:13, 90:23, 113:5 <b>nature</b> [1] - 6:18 <b>NCDC</b> [1] - 180:18 <b>NDIS</b> [1] - 89:18 <b>Neal</b> [1] - 186:6 <b>near</b> [7] - 86:3, 95:10, 103:14, 145:8, 180:2, 181:18, 201:9 <b>necessarily</b> [5] - 7:22, 67:22, 92:22, 110:1, 111:9 <b>necessary</b> [1] - 56:8 <b>neck</b> [11] - 154:1, 154:5, 154:6, 154:13, 154:18, 154:22, 155:6, 155:8, 155:22, 164:24 <b>need</b> [14] - 13:9,</p>	<p><b>naked</b> [1] - 27:7 <b>name</b> [15] - 6:5, 6:7, 50:19, 50:20, 89:23, 120:25, 128:9, 136:6, 136:7, 145:23, 146:16, 177:19, 186:6, 200:7 <b>names</b> [1] - 74:2 <b>National</b> [16] - 89:19, 178:7, 179:11, 179:12, 179:14, 179:15, 179:17, 180:12, 180:18, 181:19, 182:7, 182:21, 186:8, 193:13, 193:16, 197:8 <b>national</b> [5] - 56:18, 57:4, 88:13, 90:23, 113:5 <b>nature</b> [1] - 6:18 <b>NCDC</b> [1] - 180:18 <b>NDIS</b> [1] - 89:18 <b>Neal</b> [1] - 186:6 <b>near</b> [7] - 86:3, 95:10, 103:14, 145:8, 180:2, 181:18, 201:9 <b>necessarily</b> [5] - 7:22, 67:22, 92:22, 110:1, 111:9 <b>necessary</b> [1] - 56:8 <b>neck</b> [11] - 154:1, 154:5, 154:6, 154:13, 154:18, 154:22, 155:6, 155:8, 155:22, 164:24 <b>need</b> [14] - 13:9,</p>	<p><b>naked</b> [1] - 27:7 <b>name</b> [15] - 6:5, 6:7, 50:19, 50:20, 89:23, 120:25, 128:9, 136:6, 136:7, 145:23, 146:16, 177:19, 186:6, 200:7 <b>names</b> [1] - 74:2 <b>National</b> [16] - 89:19, 178:7, 179:11, 179:12, 179:14, 179:15, 179:17, 180:12, 180:18, 181:19, 182:7, 182:21, 186:8, 193:13, 193:16, 197:8 <b>national</b> [5] - 56:18, 57:4, 88:13, 90:23, 113:5 <b>nature</b> [1] - 6:18 <b>NCDC</b> [1] - 180:18 <b>NDIS</b> [1] - 89:18 <b>Neal</b> [1] - 186:6 <b>near</b> [7] - 86:3, 95:10, 103:14, 145:8, 180:2, 181:18, 201:9 <b>necessarily</b> [5] - 7:22, 67:22, 92:22, 110:1, 111:9 <b>necessary</b> [1] - 56:8 <b>neck</b> [11] - 154:1, 154:5, 154:6, 154:13, 154:18, 154:22, 155:6, 155:8, 155:22, 164:24 <b>need</b> [14] - 13:9,</p>	

<p><b>NR</b> [1] - 147:21  <b>nuclear</b> [3] - 54:3, 54:4, 54:13  <b>nucleus</b> [2] - 53:24, 53:25  <b>number</b> [139] - 8:6, 19:21, 19:23, 19:24, 19:25, 20:3, 20:4, 20:7, 20:9, 20:24, 21:1, 21:3, 23:5, 23:6, 25:8, 25:17, 25:18, 25:20, 25:21, 29:1, 29:3, 29:12, 29:14, 30:8, 32:1, 34:23, 40:1, 40:3, 40:17, 42:25, 43:21, 44:14, 45:22, 46:21, 58:21, 65:2, 70:21, 74:4, 75:8, 75:18, 75:22, 79:25, 80:1, 80:8, 80:9, 80:11, 81:1, 82:19, 83:7, 84:18, 87:25, 97:15, 97:24, 102:8, 103:6, 103:13, 104:11, 113:4, 113:6, 113:23, 115:12, 115:16, 116:2, 116:18, 129:25, 131:17, 146:6, 146:16, 147:13, 147:14, 147:15, 148:23, 149:9, 150:7, 150:12, 151:12, 151:18, 152:4, 152:24, 154:2, 155:10, 155:17, 155:19, 156:3, 156:4, 156:18, 158:8, 158:23, 158:24, 159:23, 160:11, 160:12, 160:16, 160:21, 161:2, 162:13, 162:14, 162:15, 163:9, 163:10, 163:11, 164:22, 166:4, 166:7, 167:24, 168:8, 168:16, 170:1, 170:2, 170:7, 170:8, 170:17, 170:18, 170:23, 172:4, 172:11, 172:17, 173:2, 173:4, 173:10, 181:9, 188:16, 194:2  <b>numbered</b> [1] - 44:14  <b>numbers</b> [36] - 21:8, 29:19, 38:21, 54:11, 74:13, 75:8, 80:23, 84:20, 85:10, 86:22,</p>	<p>97:9, 97:14, 101:20, 105:7, 130:9, 130:14, 135:9, 146:6, 152:15, 156:12, 158:11, 158:14, 159:4, 160:21, 162:25, 164:11, 164:12, 169:24, 170:15, 170:16, 173:25, 187:11, 189:17, 194:18</p> <p style="text-align: center;"><b>O</b></p> <p><b>o'clock</b> [1] - 190:16  <b>object</b> [4] - 58:9, 139:1, 192:16, 203:4  <b>objection</b> [15] - 10:7, 14:25, 32:17, 49:13, 53:8, 60:24, 73:16, 125:4, 131:5, 133:12, 145:18, 181:14, 183:8, 187:17, 194:21  <b>objective</b> [3] - 101:20, 117:12, 140:20  <b>objects</b> [1] - 140:19  <b>observation</b> [1] - 185:25  <b>observations</b> [1] - 185:21  <b>observe</b> [1] - 22:13  <b>observed</b> [6] - 13:15, 22:13, 62:22, 127:1, 166:11, 166:19  <b>obtain</b> [24] - 18:3, 23:25, 25:5, 39:19, 64:8, 85:5, 87:17, 140:23, 141:13, 142:18, 143:8, 155:7, 155:21, 158:17, 160:2, 161:4, 162:19, 163:18, 163:20, 165:4, 165:12, 171:1, 172:6, 172:9  <b>obtained</b> [14] - 65:24, 81:3, 83:21, 90:2, 148:6, 150:9, 152:9, 157:13, 163:15, 164:8, 172:4, 172:24, 173:5, 182:20, 140:15, 145:5, 161:20  <b>obtaining</b> [4] - 35:20, 140:15, 145:5, 161:20  <b>obvious</b> [1] - 166:12  <b>obviously</b> [3] - 159:16, 169:5, 175:1  <b>occasionally</b> [1] - 26:23  <b>occupation</b> [1] - 177:22</p>	<p><b>occur</b> [7] - 62:16, 77:5, 93:2, 94:5, 94:14, 124:5, 203:23  <b>occurred</b> [2] - 63:25, 92:20  <b>occurs</b> [1] - 107:20  <b>Oceanographic</b> [1] - 179:14  <b>October</b> [9] - 40:20, 40:23, 41:7, 127:21, 128:15, 183:1, 187:14, 194:7  <b>offender</b> [1] - 90:17  <b>offenders</b> [2] - 90:20, 90:25  <b>offer</b> [1] - 67:3  <b>Office</b> [2] - 25:3, 25:12  <b>officer</b> [1] - 122:7  <b>official</b> [2] - 186:8, 193:15  <b>often</b> [2] - 83:14, 97:17  <b>Olympics</b> [1] - 137:3  <b>on-the-job</b> [1] - 137:21  <b>once</b> [13] - 12:16, 12:24, 17:18, 20:2, 58:23, 63:17, 63:25, 77:6, 119:7, 123:22, 127:24, 137:20, 175:23  <b>one</b> [140] - 7:16, 13:24, 14:2, 17:6, 17:15, 18:3, 22:18, 24:17, 25:15, 28:8, 28:11, 28:15, 29:7, 29:21, 35:2, 41:9, 41:15, 41:16, 44:6, 46:9, 47:25, 49:25, 50:21, 53:14, 53:19, 55:3, 55:12, 55:14, 56:25, 59:4, 59:5, 62:3, 63:5, 63:10, 63:19, 64:16, 65:6, 67:1, 68:16, 70:15, 71:21, 71:23, 72:5, 74:11, 74:12, 75:8, 75:10, 76:1, 76:8, 77:1, 77:3, 78:8, 78:19, 79:2, 80:11, 80:12, 82:16, 84:18, 84:20, 84:23, 88:22, 88:25, 89:10, 93:5, 96:7, 96:20, 97:14, 97:23, 100:19, 101:12, 102:1, 102:10, 102:12, 102:16, 102:19, 102:20, 102:23,</p>	<p>104:8, 105:25, 106:6, 107:6, 108:3, 112:23, 113:11, 113:21, 114:18, 114:22, 115:25, 116:13, 116:14, 116:19, 124:21, 124:22, 129:2, 132:9, 133:17, 134:6, 147:5, 155:1, 156:15, 156:17, 156:24, 157:1, 157:2, 157:5, 162:6, 163:1, 164:1, 164:11, 168:9, 168:19, 169:5, 169:9, 169:16, 170:1, 171:3, 171:18, 171:25, 172:5, 172:18, 173:15, 180:9, 180:23, 182:4, 184:5, 185:21, 188:22, 188:24, 188:25, 189:7, 189:8, 189:12, 189:13, 190:5, 190:6, 195:20, 201:21, 204:6  <b>One</b> [1] - 45:12  <b>one's</b> [5] - 28:7, 89:6, 101:8  <b>one-eighth</b> [2] - 189:7, 189:8  <b>one-quarter</b> [2] - 188:22, 190:6  <b>ones</b> [7] - 48:9, 85:24, 98:24, 98:25, 100:9, 106:23, 122:17  <b>oop</b> [1] - 101:8  <b>open</b> [4] - 13:4, 123:13, 130:11, 206:6  <b>opened</b> [2] - 14:3, 130:9  <b>opening</b> [1] - 208:6  <b>opinion</b> [11] - 9:2, 42:4, 48:25, 119:25, 159:12, 176:21, 197:11, 197:14, 197:16, 199:18, 209:14  <b>opportunity</b> [3] - 14:13, 79:8, 133:5  <b>opposed</b> [2] - 189:15, 197:13  <b>optional</b> [2] - 182:9, 185:24  <b>options</b> [1] - 97:11  <b>oral</b> [1] - 194:16  <b>order</b> [8] - 68:5, 72:2, 76:6, 105:1, 138:3, 141:13, 150:18, 161:4  <b>Oregon</b> [4] - 51:16, 52:2, 52:3, 52:8  <b>organelles</b> [1] - 54:7</p>	<p><b>organization</b> [2] - 81:22, 179:23  <b>organized</b> [3] - 54:9, 56:23, 69:4  <b>organizes</b> [1] - 67:25  <b>original</b> [5] - 130:13, 130:18, 130:25, 133:24, 194:12  <b>originally</b> [5] - 100:2, 131:15, 133:19, 133:21, 179:19  <b>ourselves</b> [1] - 56:25  <b>outline</b> [2] - 9:18, 9:19  <b>outside</b> [16] - 7:17, 7:22, 7:24, 21:19, 21:21, 41:23, 56:15, 57:1, 57:12, 57:22, 85:3, 86:2, 86:3, 88:2, 122:22  <b>OVC</b> [1] - 188:18  <b>overall</b> [2] - 57:10, 205:6  <b>overcast</b> [2] - 188:18, 189:8  <b>overlapped</b> [1] - 115:5  <b>own</b> [8] - 11:1, 20:3, 20:4, 24:11, 31:18, 58:2, 147:14, 175:1  <b>owner</b> [1] - 174:25  <b>oxygen</b> [1] - 53:21</p> <p style="text-align: center;"><b>P</b></p> <p><b>p.m</b> [12] - 40:21, 120:4, 120:15, 177:1, 177:10, 195:14, 196:13, 209:18  <b>packaged</b> [1] - 96:10  <b>packaging</b> [3] - 13:3, 144:4  <b>packet</b> [1] - 188:6  <b>padlocked</b> [1] - 17:10  <b>page</b> [13] - 44:17, 56:22, 86:21, 87:10, 87:24, 147:16, 153:14, 158:1, 158:12, 179:5, 186:15, 191:21  <b>pages</b> [3] - 144:16, 145:10, 182:23  <b>pair</b> [7] - 12:15, 12:25, 45:25, 53:15, 75:17, 76:19, 127:6  <b>pairings</b> [1] - 97:22  <b>pairs</b> [2] - 53:13, 80:21</p>
---	---	--	--	---

<p><b>pan</b> [1] - 63:24</p> <p><b>pants</b> [10] - 19:21, 20:8, 20:9, 20:16, 20:18, 21:4, 45:20, 81:4, 106:9, 106:24</p> <p><b>paper</b> [7] - 12:17, 13:5, 16:9, 24:18, 30:22, 33:2, 33:5</p> <p><b>paperwork</b> [1] - 67:9</p> <p><b>paragraph</b> [1] - 86:19</p> <p><b>parameters</b> [2] - 98:4, 182:23</p> <p><b>parentheses</b> [4] - 99:14, 99:21, 100:6, 101:16</p> <p><b>parenthesis</b> [1] - 99:11</p> <p><b>parents</b> [1] - 152:16</p> <p><b>part</b> [20] - 8:3, 16:20, 39:11, 49:22, 49:23, 55:9, 71:4, 80:6, 89:19, 96:13, 100:23, 136:19, 146:21, 152:5, 178:7, 178:8, 178:22, 185:25, 192:20, 208:9</p> <p><b>part-time</b> [1] - 136:19</p> <p><b>partial</b> [30] - 83:11, 83:17, 83:18, 84:3, 84:5, 84:6, 86:13, 88:8, 88:16, 88:18, 88:20, 89:7, 92:4, 92:14, 94:8, 98:22, 105:25, 148:8, 159:12, 159:23, 159:25, 161:21, 163:15, 163:24, 170:23, 171:2, 171:12, 171:15, 172:4, 172:8</p> <p><b>participate</b> [2] - 56:18, 57:4</p> <p><b>participated</b> [2] - 7:15, 140:15</p> <p><b>particular</b> [67] - 10:20, 19:9, 29:23, 35:20, 51:4, 55:7, 66:13, 71:15, 71:23, 77:23, 78:3, 78:6, 80:7, 88:19, 94:10, 98:20, 99:22, 105:3, 107:10, 122:3, 124:13, 128:18, 129:5, 129:11, 129:22, 129:25, 142:8, 146:21, 147:5, 147:15, 147:21, 147:22, 148:8,</p>	<p>149:12, 152:1, 154:16, 154:19, 154:20, 155:11, 156:23, 157:10, 158:18, 159:21, 161:10, 162:18, 162:22, 163:22, 165:4, 165:10, 166:15, 167:6, 169:12, 171:3, 171:6, 173:14, 173:17, 185:16, 185:17, 189:18, 189:23, 190:25, 191:8, 191:21, 192:4, 194:3, 195:9, 205:4</p> <p><b>parties</b> [1] - 5:3</p> <p><b>passed</b> [3] - 7:14, 16:21, 99:19</p> <p><b>passenger's</b> [1] - 135:17</p> <p><b>past</b> [3] - 92:21, 181:4, 204:25</p> <p><b>pattern</b> [3] - 54:11, 59:3, 97:20</p> <p><b>Patti</b> [43] - 23:24, 24:1, 24:9, 45:17, 65:22, 66:3, 67:9, 67:13, 67:17, 68:20, 68:25, 69:1, 69:11, 69:14, 72:12, 72:15, 73:6, 75:6, 76:16, 76:18, 76:23, 77:15, 79:14, 81:8, 85:6, 85:16, 86:14, 87:21, 88:7, 103:15, 104:1, 104:3, 105:20, 123:19, 124:8, 124:21, 124:25, 143:25, 150:8, 157:15, 174:12, 176:3, 176:10</p> <p><b>Patty</b> [1] - 77:12</p> <p><b>Paul</b> [6] - 70:12, 91:24, 143:18, 149:17, 150:2, 176:6</p> <p><b>pause</b> [2] - 49:11, 79:22</p> <p><b>PCR</b> [1] - 60:14</p> <p><b>peak</b> [4] - 99:24, 99:25, 100:1, 102:20</p> <p><b>peaks</b> [7] - 99:16, 99:17, 99:18, 101:19, 102:17, 102:19, 108:12</p> <p><b>peck</b> [1] - 68:2</p> <p><b>peer</b> [3] - 141:22, 141:25, 142:8</p> <p><b>peer-reviewed</b> [1] - 141:25</p>	<p><b>pens</b> [1] - 12:18</p> <p><b>Penta</b> [5] - 78:19, 83:21, 94:9</p> <p><b>people</b> [44] - 26:11, 51:11, 56:15, 57:17, 57:22, 71:17, 71:22, 72:3, 76:7, 79:25, 80:1, 80:9, 81:8, 84:19, 84:24, 85:16, 88:7, 97:7, 97:20, 97:25, 98:2, 102:4, 102:13, 102:19, 104:7, 107:1, 107:2, 107:6, 108:15, 115:20, 115:22, 115:23, 115:25, 116:13, 145:8, 170:25, 171:7, 180:10, 182:1, 206:14</p> <p><b>per</b> [2] - 54:12, 185:22</p> <p><b>percent</b> [10] - 12:16, 12:19, 12:20, 28:18, 33:6, 56:10, 99:25, 189:10, 190:6, 190:7</p> <p><b>percentage</b> [1] - 107:22</p> <p><b>perfect</b> [1] - 184:14</p> <p><b>perform</b> [6] - 12:11, 12:23, 13:7, 22:23, 25:19, 52:8</p> <p><b>performed</b> [3] - 39:7, 57:1, 148:2</p> <p><b>performing</b> [1] - 52:10</p> <p><b>perhaps</b> [2] - 140:13, 190:23</p> <p><b>period</b> [2] - 192:9, 195:10</p> <p><b>permission</b> [3] - 9:9, 14:4, 14:8</p> <p><b>person</b> [34] - 54:25, 55:1, 55:3, 64:16, 64:17, 71:21, 75:25, 77:7, 77:22, 80:5, 82:21, 88:22, 88:25, 89:1, 89:3, 92:18, 97:11, 102:19, 102:20, 106:2, 107:10, 114:6, 116:10, 139:20, 149:18, 159:24, 164:1, 169:5, 170:10, 173:5, 193:5</p> <p><b>person's</b> [6] - 65:13, 65:15, 65:18, 91:3, 110:12, 156:24</p> <p><b>personnel</b> [6] - 5:7, 49:9, 120:12, 177:7, 180:8, 181:25</p>	<p><b>Ph.D</b> [2] - 178:3, 178:25</p> <p><b>phenolphthalein</b> [1] - 165:8</p> <p><b>Phil</b> [4] - 39:13, 48:19, 50:11, 139:4</p> <p><b>PHILIP</b> [1] - 50:12</p> <p><b>Philip</b> [2] - 50:21</p> <p><b>photo</b> [1] - 32:25</p> <p><b>photograph</b> [13] - 15:6, 15:22, 16:5, 32:3, 32:15, 34:5, 34:6, 36:10, 61:9, 62:10, 125:13, 166:9, 166:13</p> <p><b>photographers</b> [1] - 124:24</p> <p><b>photographs</b> [13] - 14:11, 14:13, 14:15, 14:16, 31:15, 31:18, 31:25, 32:5, 60:4, 60:5, 60:7, 60:19, 124:19</p> <p><b>Physical</b> [1] - 178:21</p> <p><b>physicist</b> [4] - 177:23, 178:18, 200:9, 202:9</p> <p><b>physics</b> [5] - 178:2, 178:3, 178:13, 181:7, 181:13</p> <p><b>pick</b> [3] - 29:10, 123:7, 124:10</p> <p><b>picked</b> [3] - 124:2, 128:11, 194:2</p> <p><b>picture</b> [15] - 15:13, 17:5, 60:8, 60:11, 60:17, 61:13, 62:5, 63:15, 73:21, 123:9, 123:10, 123:12, 124:21, 165:19, 166:13</p> <p><b>pictured</b> [2] - 61:11, 61:24</p> <p><b>pictures</b> [6] - 13:6, 13:7, 46:20, 99:7, 123:8, 123:23</p> <p><b>piece</b> [10] - 54:20, 54:23, 59:4, 59:5, 65:5, 94:15, 97:6, 132:10, 134:25</p> <p><b>pieces</b> [4] - 19:12, 128:23, 128:24, 133:3</p> <p><b>pile</b> [1] - 128:10</p> <p><b>piles</b> [1] - 128:6</p> <p><b>pillow</b> [23] - 30:9, 30:10, 30:14, 32:1, 32:2, 32:8, 33:25, 34:5, 35:7, 38:3, 38:7, 38:8, 41:6, 44:15, 44:18, 45:5, 45:9,</p>	<p>46:13, 46:19, 47:14, 47:18, 123:12</p> <p><b>pillowcase</b> [15] - 32:1, 32:7, 32:24, 33:8, 35:21, 36:5, 38:15, 38:16, 41:5, 44:15, 44:16, 47:14, 103:14, 103:16, 109:15</p> <p><b>pillows</b> [1] - 123:5</p> <p><b>pink</b> [2] - 25:22, 44:25</p> <p><b>place</b> [10] - 12:14, 28:25, 29:13, 57:20, 59:19, 142:11, 154:11, 204:3, 204:6, 204:7</p> <p><b>placed</b> [6] - 16:9, 16:10, 29:2, 29:11, 123:25, 124:2</p> <p><b>places</b> [2] - 82:19, 202:10</p> <p><b>plaster</b> [1] - 128:5</p> <p><b>plastic</b> [11] - 22:23, 22:24, 23:3, 23:10, 30:19, 31:11, 124:1, 124:11, 134:7, 134:8</p> <p><b>play</b> [2] - 93:23, 162:3</p> <p><b>plays</b> [1] - 58:18</p> <p><b>plucked</b> [2] - 134:25, 135:6</p> <p><b>plural</b> [1] - 140:8</p> <p><b>point</b> [15] - 10:15, 21:5, 42:5, 48:10, 61:20, 78:8, 78:21, 81:18, 85:24, 119:21, 126:15, 195:19, 195:20, 207:11, 209:8</p> <p><b>pointed</b> [1] - 117:14</p> <p><b>pointer</b> [2] - 16:2, 61:16</p> <p><b>pointing</b> [1] - 75:11</p> <p><b>points</b> [2] - 64:22, 203:15</p> <p><b>police</b> [3] - 24:10, 121:9, 125:24</p> <p><b>Police</b> [20] - 20:13, 25:2, 25:13, 51:16, 52:3, 121:5, 121:6, 127:5, 130:18, 133:6, 142:22, 144:3, 151:16, 151:18, 152:24, 154:2, 155:18, 156:3, 156:11, 158:7</p> <p><b>policies</b> [1] - 57:19</p> <p><b>popularly</b> [1] - 89:20</p> <p><b>population</b> [6] - 80:3, 84:18, 113:25,</p>
---	---	--	---	---

<p>145:6, 149:12, 149:19  <b>populations</b> [1] - 80:19  <b>porosity</b> [1] - 111:23  <b>portion</b> [1] - 16:22  <b>position</b> [4] - 6:16, 51:12, 108:2, 108:6  <b>positive</b> [5] - 22:16, 34:9, 34:13, 165:7, 165:11  <b>possession</b> [1] - 18:13  <b>possibilities</b> [3] - 98:4, 98:6, 145:1  <b>possibility</b> [3] - 63:11, 111:19, 157:6  <b>possible</b> [43] - 36:13, 63:8, 72:16, 72:18, 72:19, 76:24, 77:1, 77:7, 77:9, 77:11, 77:16, 77:17, 77:20, 78:9, 78:23, 79:12, 79:15, 79:25, 80:2, 80:10, 81:9, 81:10, 81:11, 81:14, 82:14, 83:3, 85:17, 85:19, 85:20, 85:22, 85:25, 86:15, 86:17, 88:11, 91:20, 92:15, 101:23, 102:16, 108:5, 112:5, 140:23, 159:7  <b>possibly</b> [4] - 16:11, 65:3, 102:19, 154:23  <b>post</b> [3] - 52:1, 60:14  <b>post-doctoral</b> [1] - 52:1  <b>potential</b> [4] - 63:1, 63:2, 87:22, 189:10  <b>potentially</b> [1] - 95:3  <b>power</b> [2] - 115:4, 115:7  <b>precautions</b> [2] - 41:18, 43:8  <b>precipitation</b> [10] - 193:10, 193:14, 193:25, 194:3, 194:17, 195:8, 199:11, 199:14, 199:18, 204:2  <b>prefer</b> [1] - 29:21  <b>preference</b> [1] - 178:11  <b>premise</b> [2] - 139:18, 140:7  <b>prepare</b> [1] - 70:25  <b>prepared</b> [4] - 64:1, 85:2, 193:22, 193:23  <b>presence</b> [3] - 92:15, 92:17, 106:8  <b>present</b> [42] - 5:3,</p>	<p>5:6, 5:19, 22:14, 26:7, 49:6, 49:8, 50:4, 50:9, 53:23, 58:20, 63:7, 72:24, 74:22, 75:8, 76:5, 76:10, 76:12, 77:4, 77:14, 78:1, 78:12, 80:23, 82:1, 82:20, 90:12, 90:22, 99:22, 101:24, 104:7, 120:11, 120:12, 120:17, 141:4, 141:11, 141:18, 159:13, 169:14, 177:6, 177:7, 177:12  <b>presentation</b> [1] - 146:4  <b>presented</b> [1] - 22:21  <b>presip</b> [1] - 196:11  <b>presume</b> [2] - 45:10, 112:25  <b>presumptive</b> [12] - 12:22, 12:23, 13:8, 34:10, 47:2, 47:4, 47:5, 141:16, 141:20, 165:6, 165:9  <b>pretty</b> [8] - 56:13, 95:15, 161:3, 179:7, 183:17, 191:17, 196:19, 200:17  <b>prevalent</b> [1] - 78:24  <b>prevent</b> [2] - 14:2, 96:16  <b>prevents</b> [2] - 11:3, 161:20  <b>previous</b> [4] - 89:22, 147:16, 156:10, 187:7  <b>previously</b> [10] - 8:2, 23:9, 30:18, 40:24, 73:9, 74:10, 83:1, 83:7, 95:7, 158:11  <b>primarily</b> [6] - 54:1, 56:9, 74:2, 95:20, 107:7, 122:21  <b>primary</b> [3] - 110:8, 122:7, 179:17  <b>principally</b> [1] - 191:10  <b>principals</b> [1] - 205:10  <b>printout</b> [1] - 99:16  <b>pristine</b> [1] - 148:6  <b>private</b> [1] - 178:11  <b>probability</b> [1] - 84:15  <b>problem</b> [1] - 8:25  <b>problems</b> [1] - 185:13  <b>procedure</b> [4] - 57:16, 62:3, 142:5, 142:8</p>	<p><b>procedures</b> [8] - 57:8, 57:13, 57:14, 57:20, 57:22, 61:12, 142:6  <b>proceedings</b> [1] - 5:3  <b>process</b> [20] - 22:5, 27:15, 28:15, 28:16, 28:17, 28:18, 29:6, 29:7, 56:12, 57:10, 58:5, 58:13, 59:9, 64:2, 64:7, 92:24, 94:2, 122:11, 122:15, 200:13  <b>processed</b> [3] - 66:15, 70:22, 91:4  <b>processes</b> [1] - 42:11  <b>produced</b> [2] - 149:4, 152:2  <b>profession</b> [9] - 6:8, 50:23, 56:4, 57:16, 65:12, 112:22, 136:9, 136:14, 139:11  <b>professional</b> [1] - 179:5  <b>profile</b> [186] - 55:10, 59:5, 59:21, 64:8, 64:13, 64:14, 64:25, 65:10, 67:12, 67:17, 67:19, 69:13, 69:18, 69:21, 70:3, 70:7, 70:9, 70:14, 71:16, 71:24, 71:25, 72:4, 72:7, 72:11, 72:17, 72:20, 76:7, 76:24, 77:4, 77:8, 77:10, 77:24, 78:17, 79:9, 79:13, 79:16, 79:22, 79:24, 80:1, 80:5, 80:9, 80:22, 81:7, 81:21, 82:13, 82:15, 83:11, 83:14, 83:15, 83:17, 83:18, 83:19, 84:3, 84:10, 84:17, 84:19, 85:5, 85:6, 85:15, 87:1, 87:21, 88:6, 88:8, 88:11, 88:12, 88:17, 88:20, 88:21, 89:6, 89:7, 89:10, 90:1, 90:2, 90:8, 90:10, 90:13, 91:7, 91:15, 91:21, 92:1, 92:3, 92:4, 92:5, 92:14, 94:4, 94:6, 95:6, 97:6, 97:16, 97:18, 97:20, 98:7, 104:24, 105:4, 105:20, 106:1, 106:16, 107:4,</p>	<p>108:10, 108:12, 111:13, 114:4, 117:17, 118:11, 140:23, 141:7, 141:14, 145:3, 145:5, 145:6, 147:22, 148:7, 148:8, 148:16, 148:19, 148:25, 149:1, 149:9, 149:12, 149:16, 149:18, 149:22, 149:23, 150:9, 150:13, 150:16, 151:20, 151:23, 152:2, 152:9, 152:17, 152:25, 155:7, 155:9, 155:21, 155:22, 156:23, 156:24, 157:9, 157:11, 158:18, 159:13, 159:15, 159:16, 159:17, 159:19, 159:24, 159:25, 160:3, 161:5, 161:9, 161:10, 161:12, 161:20, 161:21, 162:20, 163:16, 163:24, 164:2, 164:6, 165:12, 165:14, 167:11, 168:18, 168:22, 169:4, 169:13, 169:17, 170:11, 172:4, 172:10, 172:22, 172:23, 172:25, 173:5, 173:6, 174:22  <b>profiles</b> [51] - 59:24, 66:3, 66:4, 67:8, 67:22, 68:18, 68:23, 72:8, 73:5, 75:5, 82:24, 84:6, 85:18, 85:21, 85:23, 86:12, 86:13, 86:15, 87:8, 88:18, 89:6, 90:15, 90:16, 90:20, 90:22, 90:24, 91:1, 91:5, 91:9, 95:18, 97:5, 98:22, 99:21, 106:17, 106:19, 117:11, 142:18, 144:10, 145:7, 146:4, 149:4, 149:5, 151:13, 162:19, 162:20, 168:3, 170:10, 173:13, 173:14, 174:5, 174:11  <b>program</b> [5] - 7:11, 52:9, 57:11, 136:19, 178:7  <b>progress</b> [1] - 189:24</p>	<p><b>projects</b> [2] - 178:9, 178:12  <b>properly</b> [2] - 12:24, 96:10  <b>proposed</b> [1] - 73:4  <b>Proposed</b> [13] - 9:14, 14:11, 31:21, 60:3, 60:13, 60:23, 73:1, 124:19, 130:5, 132:21, 144:13, 186:24, 193:19  <b>protein</b> [1] - 74:9  <b>protocols</b> [6] - 9:6, 56:7, 56:9, 57:8, 57:13, 101:23  <b>proved</b> [1] - 174:17  <b>provide</b> [5] - 79:20, 83:13, 84:10, 113:10, 149:23  <b>provided</b> [4] - 41:15, 142:21, 142:24, 171:4  <b>published</b> [12] - 10:8, 15:1, 32:18, 61:1, 73:17, 125:5, 131:6, 133:13, 145:19, 183:9, 187:18, 194:22  <b>pull</b> [9] - 16:24, 42:1, 89:5, 90:9, 151:5, 164:11, 166:17, 169:22, 170:13  <b>pulled</b> [1] - 184:23  <b>purpose</b> [3] - 179:15, 179:17, 203:7  <b>purposes</b> [11] - 9:13, 10:5, 16:19, 30:18, 31:21, 33:14, 60:3, 129:4, 136:7, 145:16, 183:13  <b>push</b> [1] - 185:2  <b>pushes</b> [1] - 185:2  <b>put</b> [29] - 12:14, 12:15, 12:17, 12:25, 17:22, 32:21, 35:9, 36:6, 47:14, 49:19, 56:20, 59:21, 62:2, 95:8, 99:11, 105:1, 123:14, 124:11, 129:20, 152:6, 158:9, 159:1, 159:6, 160:19, 162:24, 173:20, 183:12, 188:9, 196:8  <b>putting</b> [1] - 33:20</p>
<b>Q</b>				
<p><b>QC</b> [1] - 12:23  <b>quadrillion</b> [1] - 84:23</p>				

<p><b>qualified</b> [6] - 8:19, 9:1, 52:7, 53:9, 138:4, 138:6</p> <p><b>qualify</b> [4] - 8:23, 53:6, 138:24, 181:12</p> <p><b>qualitative</b> [2] - 79:19, 100:23</p> <p><b>quality</b> [4] - 56:20, 148:3, 159:19, 164:6</p> <p><b>quantity</b> [1] - 109:17</p> <p><b>quarter</b> [5] - 188:22, 188:24, 188:25, 189:1, 190:6</p> <p><b>quarters</b> [2] - 188:22, 189:3</p> <p><b>questioning</b> [1] - 118:13</p> <p><b>questions</b> [11] - 47:9, 55:23, 116:25, 119:15, 135:22, 153:10, 176:13, 183:19, 200:8, 203:8, 203:11</p> <p><b>quick</b> [1] - 44:17</p> <p><b>quickly</b> [2] - 78:11, 97:13</p> <p><b>quintillion</b> [2] - 84:23, 97:1</p> <p><b>quite</b> [10] - 18:20, 19:9, 39:12, 95:5, 95:14, 108:6, 153:6, 174:14, 193:12, 199:15</p> <p><b>quote</b> [1] - 114:3</p> <p style="text-align: center;"><b>R</b></p> <p><b>race</b> [1] - 80:15</p> <p><b>aces</b> [1] - 80:14</p> <p><b>racial</b> [1] - 80:19</p> <p><b>radiation</b> [9] - 192:19, 192:21, 192:23, 193:1, 193:2, 193:3, 207:4, 208:12, 208:14</p> <p><b>rain</b> [5] - 191:11, 195:12, 196:18, 204:6</p> <p><b>rained</b> [3] - 194:5, 195:16, 195:24</p> <p><b>rainfall</b> [1] - 196:1</p> <p><b>raining</b> [1] - 195:14</p> <p><b>ramifications</b> [1] - 63:2</p> <p><b>ran</b> [2] - 106:1, 175:11</p> <p><b>random</b> [1] - 84:15</p> <p><b>randomly</b> [1] - 149:18</p> <p><b>range</b> [4] - 46:17,</p>	<p>187:13, 194:5, 204:3</p> <p><b>ranges</b> [1] - 203:18</p> <p><b>rare</b> [7] - 56:13, 80:4, 92:18, 93:5, 97:15, 113:11, 149:22</p> <p><b>rarer</b> [2] - 97:14</p> <p><b>rarity</b> [1] - 83:13</p> <p><b>rate</b> [1] - 198:7</p> <p><b>rather</b> [2] - 165:3, 194:2</p> <p><b>reaction</b> [1] - 63:21</p> <p><b>reactions</b> [3] - 62:13, 62:16, 63:18</p> <p><b>read</b> [5] - 20:7, 72:15, 179:4, 183:18, 190:20</p> <p><b>reading</b> [1] - 86:19</p> <p><b>ready</b> [4] - 5:10, 120:5, 120:9, 177:2</p> <p><b>reagent</b> [1] - 56:11</p> <p><b>reagents</b> [1] - 62:14</p> <p><b>real</b> [3] - 44:17, 142:4, 164:7</p> <p><b>realize</b> [1] - 193:7</p> <p><b>really</b> [27] - 29:22, 56:13, 59:23, 71:24, 75:17, 95:18, 97:18, 100:5, 107:9, 113:6, 114:7, 117:6, 139:22, 149:9, 149:10, 154:15, 161:15, 164:6, 171:15, 189:14, 196:6, 200:10, 200:12, 201:20</p> <p><b>reappearing</b> [1] - 102:9</p> <p><b>rear</b> [1] - 86:2</p> <p><b>rearrangement</b> [1] - 92:20</p> <p><b>reason</b> [4] - 75:21, 90:14, 135:15, 196:3</p> <p><b>receive</b> [13] - 7:8, 14:17, 18:2, 52:4, 52:5, 52:6, 56:19, 59:5, 64:19, 70:2, 70:3, 151:24, 171:1</p> <p><b>received</b> [48] - 10:8, 10:9, 11:6, 11:11, 15:1, 15:3, 17:25, 20:2, 24:3, 32:18, 32:20, 39:10, 39:11, 51:24, 60:25, 61:4, 73:17, 73:18, 92:1, 125:5, 125:7, 131:6, 131:7, 133:13, 133:14, 138:5, 144:2, 144:8, 145:19, 145:21, 151:15, 156:2, 156:19, 158:7,</p>	<p>158:22, 166:5, 168:15, 169:21, 170:6, 170:22, 172:3, 172:16, 183:9, 183:10, 187:18, 187:19, 194:22, 194:23</p> <p><b>receives</b> [1] - 11:10</p> <p><b>receiving</b> [2] - 15:7, 57:3</p> <p><b>recently</b> [1] - 178:10</p> <p><b>recess</b> [15] - 48:16, 48:20, 49:2, 49:3, 49:5, 119:21, 120:1, 120:2, 120:4, 176:17, 176:23, 176:24, 177:1, 209:7, 209:16</p> <p><b>recessed</b> [1] - 209:18</p> <p><b>recognize</b> [8] - 9:15, 31:4, 31:22, 60:5, 67:4, 73:2, 138:20, 144:15</p> <p><b>recognized</b> [3] - 52:24, 139:2, 181:15</p> <p><b>recollection</b> [2] - 19:17, 179:10</p> <p><b>reconvened</b> [1] - 5:2</p> <p><b>record</b> [14] - 5:5, 5:18, 6:6, 49:19, 50:8, 50:20, 71:12, 120:10, 120:16, 121:1, 136:7, 177:4, 177:11, 177:20</p> <p><b>recorded</b> [1] - 186:15</p> <p><b>records</b> [8] - 182:7, 185:20, 193:9, 193:10, 193:13, 194:12, 194:19, 197:19</p> <p><b>recover</b> [2] - 33:24, 42:22</p> <p><b>recovered</b> [12] - 20:23, 44:20, 45:2, 45:7, 103:9, 124:7, 127:7, 127:9, 132:16, 133:3, 133:22, 161:22</p> <p><b>recovering</b> [1] - 43:3</p> <p><b>recross</b> [1] - 119:3</p> <p><b>RECROSS</b> [2] - 48:2, 119:5</p> <p><b>RECROSS-EXAMINATION</b> [2] - 48:2, 119:5</p> <p><b>red</b> [10] - 35:12, 38:10, 38:16, 38:17, 39:1, 39:4, 39:6, 39:12, 53:20, 140:25</p> <p><b>red-brown</b> [4] - 38:16, 38:17, 39:6,</p>	<p>39:12</p> <p><b>redirect</b> [1] - 204:19</p> <p><b>REDIRECT</b> [3] - 47:11, 117:4, 204:20</p> <p><b>refer</b> [9] - 60:14, 69:22, 71:3, 103:17, 132:11, 147:16, 168:10, 184:17, 199:19</p> <p><b>reference</b> [103] - 16:8, 16:15, 16:16, 16:21, 23:20, 23:22, 24:1, 24:8, 24:15, 24:20, 24:22, 39:24, 40:2, 40:3, 40:16, 40:25, 41:2, 59:6, 59:12, 59:14, 59:21, 59:23, 65:11, 65:12, 65:21, 66:2, 66:21, 69:10, 69:12, 69:18, 69:20, 70:3, 70:6, 72:9, 72:11, 75:6, 77:3, 81:18, 85:7, 85:24, 90:17, 97:5, 109:1, 139:20, 140:5, 142:19, 142:21, 142:24, 143:3, 143:9, 143:11, 143:18, 143:20, 143:22, 143:25, 144:9, 145:13, 147:21, 150:17, 150:22, 150:25, 151:1, 151:2, 151:8, 151:21, 151:24, 152:3, 152:17, 155:8, 157:11, 159:20, 160:4, 160:6, 162:21, 162:22, 163:16, 163:21, 164:4, 165:15, 167:12, 167:13, 168:20, 168:25, 169:10, 169:13, 169:16, 170:11, 171:4, 171:8, 171:14, 171:17, 172:19, 172:20, 173:16, 174:17, 175:20, 175:24, 176:2</p> <p><b>references</b> [2] - 157:12, 157:24</p> <p><b>referencing</b> [3] - 126:18, 168:5, 205:2</p> <p><b>referred</b> [4] - 48:20, 57:7, 134:8, 141:15</p> <p><b>referring</b> [2] - 55:18, 188:3</p> <p><b>refers</b> [1] - 88:21</p> <p><b>reflect</b> [4] - 5:18, 50:8, 120:16, 177:11</p>	<p><b>reflected</b> [2] - 27:4, 159:4</p> <p><b>reflects</b> [1] - 18:6</p> <p><b>refresh</b> [2] - 40:18, 69:22</p> <p><b>refrigerator</b> [2] - 17:7, 62:13</p> <p><b>refrigerators</b> [1] - 17:5</p> <p><b>regard</b> [26] - 19:18, 24:8, 40:15, 47:13, 86:1, 123:4, 124:7, 126:14, 134:11, 134:20, 158:4, 158:20, 160:9, 160:25, 162:16, 164:20, 168:13, 170:3, 170:20, 173:1, 173:8, 174:3, 186:12, 188:3, 192:13, 199:10</p> <p><b>regardless</b> [1] - 162:2</p> <p><b>regards</b> [1] - 134:22</p> <p><b>regime</b> [1] - 199:6</p> <p><b>region</b> [9] - 22:7, 54:20, 55:19, 71:23, 72:2, 74:5, 74:7, 77:16, 107:24</p> <p><b>regional</b> [1] - 180:23</p> <p><b>regions</b> [4] - 58:24, 63:23, 64:15, 64:22</p> <p><b>related</b> [3] - 7:15, 7:17, 178:12</p> <p><b>relative</b> [2] - 88:22, 199:12</p> <p><b>relevance</b> [1] - 205:7</p> <p><b>relevancy</b> [1] - 197:14</p> <p><b>relevant</b> [4] - 5:7, 49:9, 120:12, 177:6</p> <p><b>relied</b> [2] - 186:9, 186:13</p> <p><b>rely</b> [1] - 57:18</p> <p><b>remain</b> [1] - 208:8</p> <p><b>remaining</b> [1] - 85:21</p> <p><b>remarked</b> [1] - 50:3</p> <p><b>remember</b> [6] - 71:10, 74:8, 94:11, 135:9, 157:1, 165:25</p> <p><b>remove</b> [2] - 34:21, 140:13</p> <p><b>removed</b> [4] - 19:4, 35:22, 71:7, 124:4</p> <p><b>Reno</b> [3] - 178:4, 180:23, 180:25</p> <p><b>repackage</b> [2] - 34:21, 42:3</p> <p><b>repackaged</b> [5] - 20:20, 21:13, 37:20, 38:9, 41:1</p>
---	--	---	---	---

<p><b>repeats</b> [1] - 108:3  <b>rephrase</b> [1] - 46:18  <b>replication</b> [1] - 93:3  <b>report</b> [82] - 19:16, 19:18, 19:20, 20:12, 22:18, 23:18, 23:20, 23:22, 24:25, 25:11, 27:3, 27:4, 30:2, 37:8, 38:23, 39:22, 39:23, 41:4, 41:10, 42:21, 67:1, 67:2, 67:18, 68:3, 68:20, 68:24, 69:22, 70:25, 71:3, 72:15, 75:15, 75:17, 75:22, 79:5, 79:6, 80:17, 80:18, 86:21, 87:10, 87:11, 87:25, 91:17, 91:19, 92:2, 92:17, 99:18, 103:17, 109:18, 145:10, 147:17, 151:14, 152:22, 153:13, 153:14, 153:16, 155:11, 155:25, 158:1, 158:12, 159:4, 160:9, 160:13, 160:22, 162:11, 162:17, 162:25, 163:4, 163:8, 164:13, 164:17, 166:2, 167:15, 167:19, 168:5, 168:10, 168:13, 169:25, 171:13, 171:18, 171:21, 188:7  <b>Report</b> [1] - 19:17  <b>reported</b> [5] - 80:16, 80:20, 84:15, 126:1, 196:4  <b>reporting</b> [1] - 108:13  <b>reports</b> [15] - 18:22, 40:12, 67:4, 69:4, 75:9, 75:25, 144:16, 146:1, 153:6, 153:8, 153:9, 153:10, 153:11, 162:7, 168:7  <b>represent</b> [4] - 32:7, 82:3, 100:1, 100:6  <b>representation</b> [1] - 146:6  <b>representative</b> [4] - 20:18, 25:25, 199:15, 207:22  <b>represented</b> [10] - 9:15, 31:22, 60:5, 73:2, 74:20, 78:18, 90:11, 99:14, 99:18, 151:9  <b>represents</b> [2] -</p>	<p>146:4, 152:8  <b>requested</b> [1] - 182:8  <b>require</b> [1] - 56:11  <b>required</b> [3] - 52:6, 137:16, 137:25  <b>requirements</b> [1] - 138:3  <b>research</b> [2] - 52:2, 178:9  <b>respectively</b> [1] - 173:12  <b>response</b> [3] - 44:24, 116:8, 194:16  <b>rest</b> [1] - 63:9  <b>restroom</b> [1] - 49:10  <b>result</b> [13] - 58:11, 83:24, 94:9, 98:8, 107:3, 107:24, 108:1, 141:21, 160:2, 160:24, 161:2, 163:18, 172:6  <b>results</b> [52] - 57:2, 57:18, 57:24, 59:13, 66:8, 69:3, 69:5, 72:14, 79:2, 79:11, 81:4, 82:18, 85:13, 86:10, 88:4, 92:12, 93:14, 93:16, 96:2, 96:19, 99:1, 100:25, 106:21, 106:22, 139:8, 144:20, 145:12, 146:1, 151:8, 151:19, 153:10, 153:11, 153:19, 155:5, 155:20, 156:21, 158:16, 158:19, 159:10, 159:17, 161:24, 162:16, 163:13, 164:8, 167:8, 167:25, 168:17, 170:5, 170:21, 172:2, 172:15, 173:9  <b>retain</b> [2] - 25:5, 26:19  <b>retained</b> [13] - 20:19, 20:20, 20:22, 22:25, 35:24, 37:21, 38:9, 38:10, 38:19, 41:14, 186:4  <b>retaining</b> [1] - 26:22  <b>retrieve</b> [1] - 13:1  <b>retrieved</b> [1] - 24:14  <b>return</b> [1] - 49:12  <b>reveal</b> [1] - 92:12  <b>review</b> [2] - 57:7, 57:12  <b>reviewed</b> [7] - 56:15, 57:22, 66:8, 101:1, 141:23, 141:25, 142:9</p>	<p><b>RICHARD</b> [1] - 120:20  <b>Richard</b> [24] - 25:6, 70:7, 72:12, 72:16, 73:6, 75:7, 76:23, 79:15, 81:10, 85:21, 86:15, 87:21, 88:10, 103:9, 103:10, 103:21, 104:5, 104:17, 106:5, 106:16, 121:2, 143:16, 148:24, 175:21  <b>Richardson</b> [10] - 30:20, 122:7, 122:8, 122:18, 123:13, 128:2, 128:21, 129:10, 134:21, 135:2  <b>Rick</b> [8] - 40:25, 83:3, 103:15, 117:16, 126:24, 148:24, 149:17, 157:19  <b>rim</b> [1] - 205:20  <b>rimrocks</b> [1] - 205:4  <b>Rims</b> [3] - 201:3, 201:4  <b>Rioux</b> [1] - 89:23  <b>road</b> [1] - 7:4  <b>robust</b> [4] - 82:18, 83:25, 84:9  <b>role</b> [3] - 58:19, 93:23, 122:2  <b>roll</b> [2] - 5:16, 5:17  <b>room</b> [20] - 30:21, 60:9, 60:11, 60:14, 60:15, 61:10, 62:12, 62:17, 62:18, 62:21, 63:6, 63:7, 63:8, 63:9, 63:14, 63:18, 184:5, 184:6  <b>room/PCR</b> [1] - 63:14  <b>rooms</b> [1] - 62:24  <b>root</b> [8] - 89:12, 89:13, 92:10, 93:12, 117:20, 161:4, 161:7, 162:1  <b>rough</b> [1] - 111:23  <b>roughly</b> [1] - 206:22  <b>row</b> [4] - 163:2, 164:15, 185:15, 185:17  <b>rub</b> [1] - 143:7  <b>rubbed</b> [1] - 111:4  <b>ruler</b> [1] - 188:21  <b>rulers</b> [1] - 12:18  <b>run</b> [7] - 15:8, 56:23, 57:21, 58:25, 64:1, 109:1, 118:1  <b>running</b> [1] - 57:21</p>	<p><b>runway</b> [2] - 181:21, 181:22</p> <p style="text-align: center;"><b>S</b></p> <p><b>sacks</b> [1] - 30:22  <b>saliva</b> [4] - 27:21, 140:22, 141:1, 141:17  <b>Sam</b> [1] - 197:2  <b>sample</b> [52] - 24:3, 24:5, 24:6, 24:14, 55:11, 59:6, 59:21, 59:24, 62:2, 65:13, 75:25, 77:2, 83:23, 91:3, 99:11, 99:18, 99:21, 101:25, 108:1, 113:16, 132:25, 139:19, 140:5, 141:12, 141:22, 143:1, 143:9, 143:24, 144:1, 147:17, 147:22, 147:25, 148:4, 150:8, 150:11, 150:12, 151:12, 152:3, 152:4, 152:17, 154:16, 155:10, 162:14, 165:5, 165:10, 165:15, 168:21, 168:25, 169:1, 172:20, 175:21  <b>sampled</b> [1] - 166:14  <b>samples</b> [62] - 56:10, 56:11, 58:25, 63:19, 63:25, 66:22, 77:4, 90:17, 95:17, 97:18, 98:19, 98:24, 98:25, 99:2, 99:3, 107:7, 107:8, 107:17, 108:4, 109:2, 113:17, 132:23, 133:3, 138:2, 142:19, 142:21, 144:2, 144:3, 144:7, 149:15, 150:22, 150:23, 151:24, 155:9, 157:11, 159:20, 160:4, 160:7, 162:21, 162:22, 163:17, 163:22, 164:5, 166:15, 167:7, 167:12, 167:13, 168:20, 169:16, 171:4, 171:8, 171:14, 171:17, 172:19, 173:16, 174:13, 174:17, 174:18, 175:24, 176:2  <b>satellite</b> [1] - 179:21  <b>satellites</b> [1] - 180:1  <b>Saturday</b> [1] - 195:16  <b>saw</b> [8] - 33:11,</p>	<p>82:19, 102:4, 102:17, 126:23, 152:3, 165:6, 199:2  <b>scale</b> [1] - 138:8  <b>scaled</b> [1] - 195:19  <b>Scales</b> [4] - 136:10, 136:15, 137:6, 137:7  <b>scatter</b> [1] - 43:14  <b>scattered</b> [8] - 188:17, 189:2, 189:21, 190:19, 190:23, 208:6, 208:7, 208:10  <b>scenario</b> [8] - 12:21, 22:12, 26:6, 26:9, 28:10, 164:5, 171:6, 172:19  <b>scene</b> [9] - 121:24, 122:12, 123:16, 140:20, 196:23, 197:6, 205:5, 206:7, 207:11  <b>scenes</b> [1] - 129:1  <b>Science</b> [2] - 6:12, 178:7  <b>science</b> [12] - 8:19, 8:23, 51:24, 53:6, 136:22, 138:12, 138:24, 200:10, 200:12, 204:12  <b>scientific</b> [2] - 56:3, 142:9  <b>scientist</b> [6] - 6:9, 6:10, 50:24, 51:1, 51:18, 51:22  <b>scientists</b> [2] - 6:24, 101:3  <b>scissors</b> [4] - 12:19, 28:18, 28:21, 35:22  <b>screen</b> [5] - 61:6, 61:15, 61:19, 183:13, 183:16  <b>scroll</b> [1] - 78:11  <b>SCT</b> [2] - 188:16, 190:5  <b>sea</b> [1] - 189:15  <b>seal</b> [2] - 29:15, 123:16  <b>sealed</b> [3] - 29:5, 124:3, 124:4  <b>seals</b> [2] - 13:3, 23:14  <b>seams</b> [1] - 154:14  <b>search</b> [2] - 135:5  <b>seat</b> [4] - 134:25, 135:16, 135:17  <b>seated</b> [5] - 5:14, 5:15, 50:8, 120:16, 177:11  <b>seats</b> [1] - 135:6</p>
--	--	---	--	---

<p><b>second</b> [8] - 68:1, 68:16, 79:23, 119:4, 123:19, 124:22, 131:14, 149:2</p> <p><b>secondary</b> [2] - 110:17, 111:3</p> <p><b>secretions</b> [1] - 141:2</p> <p><b>section</b> [20] - 6:25, 11:1, 17:25, 18:10, 18:11, 24:4, 24:23, 40:5, 50:24, 51:2, 51:7, 51:9, 51:15, 55:24, 56:18, 62:25, 109:10, 157:25</p> <p><b>sectioned</b> [1] - 10:20</p> <p><b>sections</b> [5] - 10:13, 11:20, 18:3, 57:9, 60:20</p> <p><b>secure</b> [4] - 11:16, 18:9, 18:11, 41:20</p> <p><b>secured</b> [2] - 39:23, 42:8</p> <p><b>Securities</b> [3] - 66:17, 67:1, 134:13</p> <p><b>Security</b> [15] - 68:3, 68:19, 69:4, 70:10, 70:15, 136:16, 137:7, 137:23, 138:9, 139:25, 140:14, 142:12, 147:14, 149:8, 150:21</p> <p><b>Security's</b> [2] - 67:9, 67:18</p> <p><b>see</b> [74] - 27:25, 29:23, 31:11, 32:22, 33:15, 34:5, 34:7, 34:25, 36:18, 36:23, 56:21, 61:8, 61:24, 62:9, 63:15, 65:6, 72:5, 74:11, 74:12, 74:15, 74:25, 75:7, 75:21, 76:1, 76:8, 76:9, 77:13, 77:25, 78:13, 78:17, 79:22, 82:10, 82:14, 83:14, 85:17, 87:14, 87:15, 102:7, 102:9, 102:14, 102:21, 103:1, 104:13, 115:12, 116:14, 141:17, 144:22, 146:9, 147:12, 147:20, 148:14, 150:1, 151:20, 151:24, 152:5, 152:11, 152:13, 154:17, 154:23, 155:3, 156:11, 157:7, 158:11, 158:15,</p>	<p>159:3, 169:10, 183:15, 183:17, 189:9, 189:17, 194:1, 204:12, 205:9, 208:15</p> <p><b>seeing</b> [2] - 15:6, 84:9</p> <p><b>seek</b> [2] - 7:22, 67:3</p> <p><b>segments</b> [1] - 82:2</p> <p><b>select</b> [1] - 61:18</p> <p><b>selected</b> [1] - 149:18</p> <p><b>selecting</b> [1] - 49:21</p> <p><b>semen</b> [10] - 7:12, 7:14, 27:21, 47:4, 109:7, 109:23, 140:22, 141:1, 141:9, 141:17</p> <p><b>seminal</b> [1] - 27:21</p> <p><b>send</b> [5] - 13:20, 28:12, 46:24, 180:17, 185:13</p> <p><b>sense</b> [4] - 97:19, 111:21, 192:17, 200:11</p> <p><b>sensitive</b> [1] - 63:1</p> <p><b>sent</b> [17] - 16:19, 24:12, 24:13, 42:9, 46:25, 96:11, 127:13, 129:8, 129:17, 134:12, 135:13, 140:16, 144:17, 167:1, 167:2, 167:3, 185:3</p> <p><b>separate</b> [13] - 34:20, 59:2, 62:18, 62:24, 129:16, 129:18, 129:19, 132:5, 150:21, 150:24, 166:15, 167:10, 191:12</p> <p><b>separated</b> [3] - 81:25, 134:1, 166:24</p> <p><b>September</b> [23] - 122:5, 125:20, 126:10, 127:14, 182:25, 183:13, 184:18, 184:19, 187:14, 187:23, 188:1, 188:4, 188:10, 190:12, 191:4, 192:9, 194:6, 195:13, 195:16, 195:23, 196:1, 196:19, 199:18</p> <p><b>Sergeant</b> [1] - 201:18</p> <p><b>series</b> [2] - 14:10, 60:4</p> <p><b>serological</b> [6] - 10:17, 22:23, 32:8, 39:7, 39:14, 42:7</p> <p><b>serologist</b> [16] - 7:1,</p>	<p>7:5, 7:9, 8:1, 8:7, 8:14, 12:12, 13:22, 16:20, 18:19, 21:14, 26:1, 27:20, 58:8, 93:11, 140:12</p> <p><b>serologists</b> [3] - 6:22, 6:25, 51:8</p> <p><b>Serology</b> [1] - 19:17</p> <p><b>serology</b> [30] - 6:9, 6:11, 6:18, 6:20, 6:25, 7:10, 7:15, 7:18, 7:19, 8:20, 8:24, 10:16, 10:18, 11:24, 11:25, 13:1, 14:18, 15:19, 17:2, 17:7, 17:11, 18:12, 30:15, 42:11, 51:15, 65:25, 70:22, 109:9, 140:12, 141:15</p> <p><b>serves</b> [1] - 59:23</p> <p><b>Service</b> [11] - 179:11, 179:13, 179:16, 179:18, 180:12, 181:19, 182:7, 186:9, 193:14, 193:16, 197:9</p> <p><b>service</b> [2] - 180:8, 181:25</p> <p><b>SESSION</b> [1] - 5:1</p> <p><b>set</b> [15] - 53:14, 57:19, 58:19, 62:12, 63:3, 63:17, 64:22, 84:8, 137:12, 138:3, 139:24, 140:11, 140:14, 179:4, 194:4</p> <p><b>setting</b> [1] - 62:15</p> <p><b>setup</b> [1] - 60:11</p> <p><b>seven</b> [4] - 173:16, 189:4, 189:5, 190:5</p> <p><b>seven-eighths</b> [2] - 189:4, 189:5</p> <p><b>several</b> [24] - 18:22, 27:25, 28:7, 102:9, 102:24, 111:14, 122:9, 138:17, 138:18, 148:1, 161:13, 166:12, 168:6, 170:24, 170:25, 174:15, 179:3, 185:14, 188:11, 190:24, 192:12, 202:24, 203:2, 203:15</p> <p><b>sex</b> [1] - 74:17</p> <p><b>shake</b> [1] - 62:1</p> <p><b>Shawn</b> [1] - 122:16</p> <p><b>shed</b> [1] - 43:15</p> <p><b>shedding</b> [1] - 154:10</p> <p><b>sheet</b> [5] - 38:24, 45:10, 45:12, 87:13</p> <p><b>sheetrock</b> [1] - 128:5</p>	<p><b>Sheriff's</b> [2] - 25:2, 25:12</p> <p><b>ships</b> [1] - 180:1</p> <p><b>shirt</b> [39] - 25:15, 25:19, 25:22, 25:24, 26:21, 38:13, 39:2, 39:5, 39:7, 39:8, 39:10, 39:11, 39:15, 44:23, 44:25, 86:2, 87:5, 104:11, 154:2, 154:6, 154:17, 154:18, 154:21, 154:23, 155:3, 155:4, 155:6, 155:14, 155:16, 164:21, 164:23, 165:1, 165:5, 165:17, 165:23, 174:10, 174:15, 174:19, 174:24</p> <p><b>shoe</b> [19] - 125:9, 125:14, 166:4, 166:8, 166:11, 166:14, 166:15, 166:19, 166:22, 166:25, 167:1, 167:2, 167:3, 167:4, 167:6, 167:9, 167:23, 167:24, 168:3</p> <p><b>shoes</b> [4] - 124:18, 124:21, 124:23, 124:25</p> <p><b>shooting</b> [1] - 94:16</p> <p><b>short</b> [2] - 82:4, 208:11</p> <p><b>short-term</b> [1] - 208:11</p> <p><b>shorter</b> [1] - 82:9</p> <p><b>shot</b> [1] - 205:20</p> <p><b>shoulder</b> [3] - 154:14, 155:13, 164:24</p> <p><b>shoulders</b> [1] - 111:4</p> <p><b>show</b> [7] - 11:19, 57:19, 73:8, 138:1, 186:23, 187:25, 202:12</p> <p><b>showed</b> [4] - 45:5, 46:13, 46:20, 122:6</p> <p><b>showers</b> [1] - 204:6</p> <p><b>showing</b> [1] - 75:2</p> <p><b>shown</b> [2] - 63:15, 188:18</p> <p><b>shows</b> [6] - 186:16, 187:2, 189:22, 191:21, 196:9</p> <p><b>side</b> [26] - 16:5, 17:4, 32:24, 33:8, 33:9, 33:10, 33:12, 33:13, 33:25, 35:7, 36:4, 36:8, 36:15, 36:16, 37:16, 37:18, 44:22,</p>	<p>71:8, 101:15, 108:14, 109:14, 140:5, 150:18, 171:10</p> <p><b>sides</b> [1] - 32:2</p> <p><b>sideways</b> [1] - 199:4</p> <p><b>sign</b> [1] - 207:16</p> <p><b>sign</b> [1] - 34:9</p> <p><b>signal</b> [6] - 65:6, 75:24, 82:10, 84:7, 84:9, 88:22</p> <p><b>signals</b> [2] - 99:15</p> <p><b>signed</b> [1] - 135:5</p> <p><b>significant</b> [1] - 161:4</p> <p><b>signs</b> [1] - 144:8</p> <p><b>similar</b> [4] - 27:8, 90:12, 94:13, 147:4</p> <p><b>similarly</b> [2] - 69:5, 192:24</p> <p><b>simple</b> [2] - 179:19, 202:2</p> <p><b>simply</b> [2] - 99:3, 111:8</p> <p><b>single</b> [16] - 74:20, 75:22, 75:24, 75:25, 97:4, 97:6, 107:7, 108:1, 108:12, 159:24, 168:25, 169:1, 170:9, 170:10, 173:5</p> <p><b>single-person</b> [3] - 159:24, 170:10, 173:5</p> <p><b>single-source</b> [4] - 107:7, 108:1, 108:12, 170:9</p> <p><b>sit</b> [2] - 49:16, 49:17</p> <p><b>site</b> [11] - 102:10, 102:12, 197:9, 197:13, 197:15, 197:24, 198:13, 201:16, 206:11, 207:18, 208:16</p> <p><b>sites</b> [1] - 198:24</p> <p><b>situated</b> [1] - 206:3</p> <p><b>situation</b> [14] - 22:20, 163:25, 198:22, 198:23, 203:24, 204:8, 204:9, 205:4, 207:22, 207:23, 208:2, 208:4, 208:5, 208:15</p> <p><b>situations</b> [2] - 95:15, 197:17</p> <p><b>six</b> [8] - 6:24, 51:13, 52:9, 118:18, 137:21, 137:23, 137:25, 180:22</p> <p><b>six-month</b> [1] - 52:9</p> <p><b>sixteen</b> [1] - 140:2</p> <p><b>size</b> [1] - 101:19</p>
--	--	--	--	---

<p><b>sized</b> [1] - 65:5</p> <p><b>sizes</b> [1] - 59:2</p> <p><b>skin</b> [10] - 111:15, 111:18, 143:8, 154:10, 154:11, 154:17, 155:15, 161:6, 161:8, 193:3</p> <p><b>sky</b> [4] - 188:7, 188:19, 188:25, 189:7</p> <p><b>sleeve</b> [3] - 165:5, 165:21, 165:22</p> <p><b>slightly</b> [5] - 55:1, 62:4, 68:9, 84:14, 147:3</p> <p><b>slip</b> [1] - 107:23</p> <p><b>slope</b> [1] - 206:12</p> <p><b>slopes</b> [1] - 207:4</p> <p><b>small</b> [8] - 24:6, 54:10, 58:21, 80:1, 82:11, 82:17, 107:22, 155:1</p> <p><b>smaller</b> [7] - 82:11, 82:12, 82:19, 83:25, 94:11, 94:15, 101:15</p> <p><b>smallest</b> [1] - 99:25</p> <p><b>snow</b> [2] - 202:24, 203:22</p> <p><b>snowflakes</b> [2] - 114:11, 114:16</p> <p><b>snowing</b> [1] - 203:20</p> <p><b>Society</b> [5] - 57:6, 178:21, 178:22, 179:4</p> <p><b>society</b> [1] - 137:9</p> <p><b>solar</b> [2] - 208:12, 208:14</p> <p><b>solution</b> [3] - 12:16, 94:24, 94:25</p> <p><b>someone</b> [8] - 91:11, 104:7, 104:9, 105:4, 107:16, 108:23, 149:20, 193:17</p> <p><b>sometimes</b> [14] - 26:2, 28:4, 28:11, 44:13, 64:7, 92:19, 92:25, 99:2, 123:9, 141:11, 157:5, 175:18, 203:23</p> <p><b>somewhat</b> [3] - 130:20, 186:20, 196:15</p> <p><b>somewhere</b> [5] - 42:4, 46:17, 175:14, 179:9, 185:9</p> <p><b>sophisticated</b> [1] - 183:24</p> <p><b>sorry</b> [21] - 32:17, 45:23, 60:15, 86:19, 86:24, 101:13, 104:13, 105:8, 108:17, 114:15,</p>	<p>120:7, 120:8, 146:19, 168:5, 176:9, 176:11, 178:15, 193:12, 200:20, 204:14, 204:15</p> <p><b>sort</b> [33] - 7:4, 7:8, 9:6, 9:7, 9:25, 25:18, 30:20, 33:15, 35:10, 37:12, 53:25, 58:5, 59:3, 59:23, 67:25, 73:22, 81:13, 83:24, 90:19, 94:13, 94:23, 111:16, 111:20, 112:11, 113:10, 113:21, 114:10, 116:12, 127:3, 147:8, 152:6, 181:20, 206:8</p> <p><b>sorting</b> [1] - 128:17</p> <p><b>sounds</b> [1] - 175:17</p> <p><b>source</b> [16] - 27:8, 27:16, 27:17, 34:12, 34:14, 46:23, 47:14, 75:25, 97:4, 97:6, 107:7, 108:1, 108:12, 168:25, 169:1, 170:9</p> <p><b>sources</b> [1] - 12:18</p> <p><b>south</b> [3] - 197:24, 205:21, 208:7</p> <p><b>Southwest</b> [1] - 80:12</p> <p><b>southwest</b> [1] - 182:1</p> <p><b>Souza</b> [24] - 49:9, 124:17, 125:8, 125:13, 130:4, 131:13, 132:1, 132:20, 133:2, 133:18, 134:7, 134:11, 181:18, 182:14, 183:15, 183:23, 184:15, 187:23, 188:11, 190:13, 195:2, 195:12, 195:23, 196:18</p> <p><b>SOUZA</b> [39] - 120:8, 120:19, 120:24, 124:15, 125:2, 125:11, 130:2, 131:3, 131:9, 131:24, 132:18, 133:10, 133:16, 134:5, 134:10, 135:18, 177:13, 177:18, 181:11, 181:17, 182:12, 183:6, 183:12, 183:21, 184:13, 187:15, 187:21, 188:9, 190:11, 194:20,</p>	<p>194:25, 195:11, 195:18, 196:17, 199:24, 203:4, 204:21, 208:23, 209:6</p> <p><b>space</b> [4] - 61:14, 61:23, 62:14, 202:6</p> <p><b>speaking</b> [2] - 200:10, 208:18</p> <p><b>spec</b> [1] - 147:7</p> <p><b>special</b> [1] - 178:24</p> <p><b>specialist</b> [1] - 52:21</p> <p><b>specialize</b> [1] - 56:15</p> <p><b>specialized</b> [4] - 7:8, 52:5, 53:21, 66:14</p> <p><b>specializing</b> [8] - 8:19, 8:24, 51:19, 51:22, 52:22, 53:7, 138:12, 138:25</p> <p><b>specialty</b> [2] - 52:11, 54:18</p> <p><b>specific</b> [4] - 54:20, 70:18, 96:15, 142:14</p> <p><b>specifically</b> [3] - 9:5, 18:17, 44:5</p> <p><b>specimen</b> [69] - 146:5, 147:12, 147:14, 147:15, 148:11, 148:12, 148:17, 148:22, 148:23, 149:25, 150:1, 150:6, 150:7, 150:10, 150:14, 150:15, 151:20, 152:8, 152:12, 152:22, 152:23, 153:15, 153:22, 153:25, 155:6, 155:11, 155:24, 156:1, 156:12, 158:4, 158:16, 158:20, 158:22, 158:23, 159:11, 160:10, 160:11, 160:25, 161:1, 162:8, 162:14, 163:14, 163:15, 163:23, 163:24, 164:8, 164:18, 164:20, 166:2, 166:3, 167:16, 167:21, 167:23, 168:7, 168:13, 170:20, 171:19, 171:20, 172:1, 172:3, 172:14, 172:16, 173:2</p> <p><b>specimens</b> [8] - 151:9, 162:10, 162:17, 163:5, 170:4, 173:8, 173:22, 174:4</p> <p><b>speed</b> [2] - 179:20, 199:14</p>	<p><b>spell</b> [5] - 6:5, 50:19, 120:25, 136:6, 177:19</p> <p><b>spelled</b> [2] - 6:7, 50:22</p> <p><b>spend</b> [1] - 197:5</p> <p><b>spent</b> [3] - 52:1, 200:23, 201:15</p> <p><b>spitting</b> [1] - 119:9</p> <p><b>spoken</b> [1] - 103:6</p> <p><b>spring</b> [1] - 204:4</p> <p><b>St</b> [5] - 22:22, 23:2, 23:9, 113:3, 136:25</p> <p><b>stable</b> [2] - 95:4, 95:11</p> <p><b>staff</b> [1] - 180:10</p> <p><b>stain</b> [69] - 13:15, 13:16, 13:17, 13:18, 13:19, 22:8, 27:6, 27:9, 28:4, 28:5, 28:8, 28:14, 28:15, 28:20, 28:21, 28:22, 28:23, 28:24, 28:25, 29:9, 29:10, 29:23, 33:15, 33:21, 33:22, 34:13, 34:17, 34:23, 35:4, 35:10, 35:20, 35:23, 36:17, 37:2, 43:24, 44:3, 47:19, 58:10, 71:7, 71:15, 71:16, 72:8, 74:24, 81:3, 81:5, 86:2, 86:3, 87:12, 87:18, 88:25, 96:22, 106:6, 109:11, 109:12, 109:14, 109:17, 110:7, 110:24, 111:21, 111:22, 117:15, 117:19, 117:20, 119:12, 140:13, 142:18, 155:1, 165:12, 165:14</p> <p><b>stained</b> [6] - 22:7, 37:16, 37:17, 71:23, 165:6, 165:11</p> <p><b>staining</b> [5] - 13:5, 26:6, 39:6, 39:13, 140:16</p> <p><b>stains</b> [61] - 7:12, 7:13, 13:9, 19:3, 20:18, 20:23, 20:24, 21:2, 21:4, 21:9, 22:2, 22:15, 25:23, 25:25, 26:2, 26:3, 28:7, 33:25, 34:18, 34:20, 35:12, 35:25, 36:2, 36:4, 36:11, 37:4, 37:14, 38:11, 38:18, 39:19, 39:21, 43:24, 44:6, 46:10, 46:14, 46:21, 46:22, 46:24,</p>	<p>46:25, 47:15, 47:16, 47:18, 47:21, 81:1, 85:9, 85:11, 86:5, 86:11, 86:12, 87:4, 87:7, 103:16, 103:23, 104:8, 104:20, 105:15, 109:6, 124:23, 141:10, 166:14, 166:19</p> <p><b>stand</b> [5] - 30:25, 49:18, 50:11, 61:6, 67:14</p> <p><b>standard</b> [35] - 16:8, 16:15, 16:16, 24:1, 24:2, 24:9, 24:20, 39:24, 40:2, 40:4, 40:16, 40:25, 41:2, 59:12, 59:14, 59:18, 59:23, 65:19, 69:18, 69:20, 70:3, 70:6, 77:15, 80:15, 85:7, 97:5, 137:11, 143:3, 143:11, 143:18, 143:20, 143:22, 143:25, 184:22</p> <p><b>standards</b> [28] - 16:21, 23:20, 23:22, 24:15, 24:22, 56:20, 56:22, 56:24, 57:19, 65:12, 65:13, 65:22, 65:24, 66:2, 66:21, 67:8, 69:11, 69:12, 69:14, 72:9, 72:11, 75:6, 142:24, 144:9, 145:13, 150:18, 151:8, 151:21</p> <p><b>stands</b> [2] - 34:9, 137:8</p> <p><b>start</b> [4] - 17:17, 71:6, 75:2, 147:7</p> <p><b>started</b> [5] - 122:22, 122:24, 125:22, 137:22, 195:14</p> <p><b>starting</b> [7] - 15:5, 78:17, 144:14, 153:14, 172:1, 180:5, 196:20</p> <p><b>starts</b> [3] - 158:2, 162:7, 171:24</p> <p><b>state</b> [13] - 6:5, 8:12, 9:6, 50:19, 53:2, 88:13, 102:18, 120:25, 135:25, 136:6, 138:18, 139:5, 177:19</p> <p><b>State</b> [53] - 5:6, 5:20, 5:21, 5:24, 6:13, 6:15, 6:23, 7:11, 9:7, 9:18, 9:20, 9:23, 11:2, 11:9, 11:16, 14:21, 18:2,</p>
--	--	--	---	--

<p>18:18, 19:7, 48:18, 49:7, 50:10, 50:13, 50:25, 51:2, 51:15, 51:16, 52:3, 55:25, 60:20, 66:10, 119:3, 120:11, 120:18, 120:19, 120:21, 125:2, 127:13, 131:3, 133:10, 134:18, 135:13, 136:2, 140:10, 177:5, 177:12, 177:13, 177:15, 181:11, 183:6, 187:15, 194:20</p> <p><b>state'</b> [1] - 60:13</p> <p><b>State's</b> [51] - 9:14, 10:6, 10:9, 14:11, 14:24, 15:2, 15:17, 15:18, 16:24, 17:12, 23:9, 30:19, 31:3, 31:21, 32:12, 32:19, 33:24, 35:6, 60:3, 60:8, 60:10, 60:16, 60:23, 61:3, 62:9, 62:22, 63:13, 64:3, 71:13, 73:1, 73:18, 125:6, 130:5, 131:7, 132:21, 133:14, 144:13, 144:14, 145:16, 145:20, 150:4, 152:6, 166:17, 166:18, 169:23, 182:15, 183:10, 186:23, 187:19, 193:19, 194:23</p> <p><b>statement</b> [16] - 59:20, 72:3, 79:19, 79:21, 100:23, 101:1, 102:11, 102:15, 102:16, 113:21, 114:5, 125:19, 125:21, 125:23, 125:25, 126:9</p> <p><b>statements</b> [2] - 113:18, 126:25</p> <p><b>States</b> [4] - 113:25, 137:3, 178:9, 180:22</p> <p><b>station</b> [18] - 14:19, 17:13, 17:14, 17:15, 17:18, 17:19, 17:20, 33:1, 41:6, 94:24, 180:2, 180:3, 180:12, 181:20, 182:2, 182:4, 184:25, 201:2</p> <p><b>stations</b> [5] - 179:25, 180:4, 180:7, 182:5, 199:9</p> <p><b>statistic</b> [8] - 83:13, 84:10, 84:14, 113:23, 149:4, 149:11,</p>	<p>149:21, 149:23</p> <p><b>statistical</b> [5] - 96:25, 97:3, 113:19, 113:20, 114:4</p> <p><b>statistics</b> [16] - 80:3, 80:14, 80:15, 80:17, 84:5, 84:12, 84:21, 96:21, 98:7, 112:20, 112:22, 112:25, 113:1, 116:6, 117:7, 117:11</p> <p><b>stays</b> [1] - 91:4</p> <p><b>steering</b> [3] - 111:12, 111:13, 118:10</p> <p><b>step</b> [13] - 48:12, 58:13, 58:15, 58:17, 79:20, 95:6, 102:13, 119:16, 135:23, 140:17, 141:13, 176:15, 209:2</p> <p><b>steps</b> [2] - 58:14, 62:3</p> <p><b>stick</b> [1] - 90:8</p> <p><b>sticks</b> [1] - 27:13</p> <p><b>still</b> [13] - 18:9, 57:12, 95:11, 105:10, 114:12, 117:8, 118:18, 151:3, 164:2, 172:8, 201:4, 207:20</p> <p><b>stopping</b> [1] - 48:16</p> <p><b>store</b> [2] - 11:22, 11:25</p> <p><b>stored</b> [2] - 17:11, 96:10</p> <p><b>straight</b> [1] - 205:20</p> <p><b>strands</b> [1] - 54:10</p> <p><b>strength</b> [1] - 149:9</p> <p><b>strong</b> [1] - 149:14</p> <p><b>structure</b> [1] - 139:15</p> <p><b>studied</b> [1] - 142:7</p> <p><b>studies</b> [1] - 111:19</p> <p><b>studs</b> [1] - 128:6</p> <p><b>study</b> [1] - 142:7</p> <p><b>stuff</b> [1] - 114:19</p> <p><b>stutter</b> [5] - 107:19, 107:24, 108:6, 108:7, 108:12</p> <p><b>stuttered</b> [2] - 107:25, 108:3</p> <p><b>stutters</b> [1] - 100:17</p> <p><b>sub</b> [1] - 54:1</p> <p><b>sub-compartment</b> [1] - 54:1</p> <p><b>subcellular</b> [1] - 54:6</p> <p><b>subject</b> [4] - 48:24, 119:24, 176:20, 209:13</p> <p><b>subjective</b> [3] - 101:22, 117:9, 117:12</p>	<p><b>subjectivity</b> [5] - 100:20, 100:22, 100:25, 101:23, 108:10</p> <p><b>submission</b> [4] - 144:6, 156:5, 160:16, 166:7</p> <p><b>submitted</b> [10] - 11:11, 11:15, 25:1, 25:10, 25:13, 49:1, 119:25, 171:13, 176:22, 209:15</p> <p><b>submitting</b> [7] - 20:9, 20:12, 24:10, 24:12, 25:18, 38:13, 44:21</p> <p><b>subsequent</b> [1] - 58:19</p> <p><b>subsidiary</b> [1] - 180:21</p> <p><b>sufficient</b> [2] - 148:9, 164:6</p> <p><b>summaries</b> [2] - 182:24, 194:15</p> <p><b>summarize</b> [1] - 129:23</p> <p><b>summarizes</b> [1] - 133:2</p> <p><b>summary</b> [6] - 130:23, 131:1, 133:8, 187:6, 187:9, 194:11</p> <p><b>summed</b> [1] - 118:6</p> <p><b>summer</b> [1] - 204:5</p> <p><b>sun</b> [1] - 192:22</p> <p><b>Sunday</b> [1] - 195:23</p> <p><b>sunny</b> [4] - 192:20, 193:5, 193:6, 193:8</p> <p><b>sunshine</b> [1] - 208:7</p> <p><b>supervise</b> [2] - 51:8, 51:11</p> <p><b>supervised</b> [2] - 137:21, 137:23</p> <p><b>supervisor</b> [2] - 51:6, 58:1</p> <p><b>supplemental</b> [1] - 79:6</p> <p><b>Supplemental</b> [1] - 19:17</p> <p><b>supposed</b> [1] - 185:8</p> <p><b>surface</b> [1] - 196:5</p> <p><b>surpasses</b> [1] - 114:4</p> <p><b>surprise</b> [3] - 161:23, 174:25, 175:3</p> <p><b>surprised</b> [1] - 174:22</p> <p><b>surrounded</b> [1] - 181:22</p> <p><b>suspect</b> [1] - 59:22</p> <p><b>swab</b> [97] - 13:18,</p>	<p>16:17, 21:24, 22:6, 22:11, 22:24, 22:25, 25:6, 29:9, 29:11, 29:24, 41:11, 41:13, 58:11, 65:16, 83:8, 83:19, 84:12, 85:1, 85:2, 88:1, 88:5, 90:2, 94:7, 96:22, 97:1, 117:20, 129:2, 129:5, 129:24, 129:25, 131:23, 132:9, 132:10, 140:13, 141:11, 142:18, 143:6, 143:10, 143:14, 143:15, 143:17, 143:19, 143:21, 148:13, 148:19, 148:24, 150:2, 150:16, 151:17, 152:1, 152:23, 154:1, 154:5, 154:8, 154:13, 155:6, 155:13, 156:1, 156:2, 156:7, 156:18, 156:22, 156:23, 158:2, 158:6, 158:8, 158:14, 158:22, 158:23, 158:24, 159:23, 164:24, 165:3, 166:1, 167:16, 167:23, 168:15, 168:16, 169:19, 169:21, 170:2, 170:7, 170:8, 170:17, 170:22, 171:19, 172:3, 172:16</p> <p><b>swabbed</b> [7] - 19:4, 22:25, 23:11, 39:21, 154:18, 159:8, 174:24</p> <p><b>swabbing</b> [13] - 13:17, 22:5, 28:15, 28:16, 29:6, 29:7, 128:17, 129:3, 154:3, 154:21, 154:22, 155:7, 167:4</p> <p><b>swabbings</b> [5] - 130:23, 156:11, 166:23, 167:8, 167:10</p> <p><b>swabs</b> [38] - 21:19, 21:21, 22:3, 29:7, 29:8, 29:11, 37:15, 37:17, 82:24, 83:4, 84:25, 85:4, 88:5, 129:6, 129:18, 130:14, 132:5, 134:12, 140:15, 143:5, 143:6, 144:4, 159:10, 160:5, 166:3, 166:21, 167:17, 167:22, 167:25, 168:2, 168:6, 170:6,</p>	<p>171:20, 173:10, 175:18</p> <p><b>sweat</b> [12] - 19:21, 20:8, 20:16, 20:17, 21:4, 27:21, 45:20, 47:5, 81:4, 106:9, 106:24</p> <p><b>sweater</b> [9] - 19:23, 20:8, 20:9, 21:16, 22:11, 41:9, 42:5, 45:16, 45:17</p> <p><b>sweep</b> [1] - 89:19</p> <p><b>sweeping</b> [1] - 128:7</p> <p><b>sworn</b> [5] - 5:25, 50:14, 120:22, 136:3, 177:16</p> <p><b>system</b> [10] - 53:23, 90:1, 90:8, 91:2, 91:4, 91:5, 91:9, 91:12, 96:14, 106:1</p> <p><b>System</b> [3] - 89:19, 89:21, 146:14</p> <p><b>systems</b> [1] - 146:14</p>
<b>T</b>				
<p><b>T-shirt</b> [15] - 154:2, 154:17, 154:18, 154:21, 155:4, 155:6, 155:14, 155:16, 164:21, 164:23, 165:1, 165:5, 174:10, 174:15, 174:19</p> <p><b>table</b> [15] - 73:5, 73:11, 78:3, 78:10, 90:11, 90:12, 92:4, 110:15, 144:20, 151:10, 151:11, 152:4, 157:1, 186:9, 186:18</p> <p><b>tables</b> [3] - 67:24, 67:25, 145:11</p> <p><b>tag</b> [35] - 44:21, 45:8, 129:21, 129:24, 129:25, 130:13, 130:14, 156:3, 156:8, 158:8, 158:23, 158:24, 159:23, 160:11, 160:16, 161:1, 161:4, 162:1, 162:13, 162:15, 163:9, 168:16, 170:7, 170:8, 170:22, 172:3, 172:11, 172:16, 173:2, 173:4, 173:10, 173:23</p> <p><b>tagged</b> [7] - 37:16, 37:18, 128:25, 129:7, 129:16, 134:1, 135:7</p> <p><b>tagging</b> [2] - 129:18,</p>				

<p>129:19  <b>tags</b> [5] - 130:18,  130:21, 130:25,  133:6, 173:22  <b>tampered</b> [1] - 144:5  <b>tampering</b> [1] -  144:8  <b>tape</b> [6] - 19:12,  29:5, 29:15, 133:20,  134:22, 163:9  <b>target</b> [3] - 94:16,  94:17  <b>taxiway</b> [1] - 181:22  <b>teaching</b> [2] - 178:5,  178:6  <b>tease</b> [2] - 80:4, 89:5  <b>tech</b> [1] - 178:2  <b>technical</b> [2] - 39:13,  51:7  <b>technician</b> [3] -  14:17, 66:6, 144:7  <b>technicians</b> [1] -  14:18  <b>technique</b> [2] -  142:3, 142:5  <b>techniques</b> [4] -  52:13, 141:24,  141:25, 142:1  <b>technologies</b> [1] -  95:19  <b>telephone</b> [3] -  151:17, 152:2, 153:1  <b>temperamental</b> [3] -  201:24, 202:3, 202:4  <b>temperature</b> [32] -  62:1, 62:4, 95:10,  95:11, 183:22,  183:23, 183:24,  184:2, 184:3, 184:6,  184:10, 187:3, 187:7,  187:22, 191:18,  192:6, 192:7, 192:15,  192:18, 193:5,  198:13, 199:14,  199:18, 202:14,  203:19, 203:22,  206:1, 206:15,  206:21, 207:15,  208:16  <b>temperatures</b> [4] -  95:12, 184:11,  202:10, 203:3  <b>temporary</b> [1] -  208:5  <b>ten</b> [18] - 12:16,  12:20, 21:9, 28:18,  185:6, 185:7, 197:10,  197:22, 198:22,  199:3, 202:20,  202:21, 203:14,</p>	<p>203:15, 204:1,  204:10, 205:21, 208:7  <b>ten-mile</b> [1] - 204:10  <b>tenth</b> [2] - 138:14,  196:8  <b>term</b> [3] - 55:15,  93:21, 208:11  <b>terminology</b> [1] -  139:6  <b>terms</b> [11] - 43:20,  54:17, 55:14, 55:20,  98:19, 100:13,  103:23, 104:8, 106:4,  109:6, 116:18  <b>terrain</b> [2] - 205:19,  207:9  <b>terrain-wise</b> [1] -  205:19  <b>tertiary</b> [1] - 111:7  <b>test</b> [29] - 12:23,  13:7, 13:8, 33:22,  34:10, 35:18, 39:1,  46:11, 46:15, 46:21,  48:9, 70:21, 86:5,  97:17, 107:20,  108:24, 114:8,  116:10, 116:20,  141:15, 141:16,  141:17, 142:5,  142:15, 165:6, 165:7,  165:8, 165:9, 179:7  <b>tested</b> [36] - 25:15,  30:2, 34:7, 34:13,  35:12, 35:14, 37:11,  37:14, 38:3, 38:8,  38:12, 38:16, 38:24,  46:22, 74:1, 80:25,  81:1, 84:25, 85:8,  87:3, 87:4, 93:14,  103:5, 103:16, 105:4,  118:23, 119:13,  146:5, 148:15,  150:23, 151:13,  158:21, 160:4,  174:18, 174:19,  174:23  <b>testified</b> [17] - 5:25,  8:10, 8:14, 28:13,  40:25, 41:11, 50:14,  52:20, 94:23, 103:14,  120:22, 136:3,  138:11, 138:15,  138:17, 177:16, 181:4  <b>testify</b> [4] - 9:1, 53:9,  181:15, 203:8  <b>testifying</b> [1] - 203:5  <b>testimony</b> [11] -  42:21, 49:25, 88:1,  89:22, 89:23, 118:17,  118:21, 124:18,</p>	<p>140:11, 145:12,  166:10  <b>testing</b> [37] - 7:11,  7:13, 11:10, 27:3,  28:6, 30:3, 34:21,  39:14, 42:7, 42:11,  52:8, 52:10, 62:14,  66:14, 71:10, 71:15,  74:19, 86:10, 87:17,  89:9, 92:13, 93:13,  109:20, 109:25,  129:8, 137:11, 138:1,  139:13, 139:18,  140:1, 140:7, 141:21,  149:24, 156:22,  159:10, 162:16,  178:24  <b>testings</b> [1] - 144:17  <b>tests</b> [12] - 12:22,  25:18, 37:12, 38:5,  38:14, 39:4, 39:7,  47:2, 47:4, 62:25,  146:15, 155:5  <b>texture</b> [1] - 111:24  <b>THE</b> [80] - 5:5, 5:14,  5:18, 9:1, 9:11, 10:8,  14:6, 14:9, 15:1, 31:2,  32:18, 40:8, 42:15,  48:1, 48:12, 48:15,  48:22, 49:6, 49:11,  49:16, 49:18, 50:3,  50:8, 53:9, 60:25,  73:17, 93:19, 98:13,  98:14, 99:7, 116:24,  117:1, 117:3, 119:1,  119:3, 119:16,  119:18, 119:20,  120:5, 120:9, 120:16,  124:16, 125:5, 130:3,  131:6, 132:19,  132:25, 133:1,  133:13, 135:21,  135:23, 139:2,  145:19, 147:10,  156:17, 174:8, 175:6,  176:15, 177:2, 177:4,  177:11, 181:15,  182:13, 183:9,  187:18, 194:22,  195:19, 199:25,  200:1, 203:7, 203:10,  204:16, 204:17,  204:19, 208:24,  209:2, 209:3, 209:4,  209:5, 209:7  <b>themselves</b> [2] -  96:16, 128:25  <b>therefore</b> [2] -  146:25, 148:7  <b>thereon</b> [5] - 44:15,</p>	<p>48:25, 119:25,  176:21, 209:14  <b>thermo</b> [1] - 62:1  <b>Thermocycler</b> [1] -  95:8  <b>thermocyclers</b> [1] -  63:20  <b>thermometer</b> [1] -  184:1  <b>thermometers</b> [1] -  179:19  <b>thigh</b> [1] - 133:21  <b>thinking</b> [1] - 111:11  <b>third</b> [9] - 5:9, 74:5,  77:20, 125:24,  156:15, 156:17,  158:13, 164:8, 190:18  <b>THO1</b> [3] - 74:6,  74:8, 78:12  <b>THOMAS</b> [1] - 50:12  <b>Thomas</b> [1] - 50:21  <b>thousand</b> [2] - 54:24,  90:24  <b>three</b> [35] - 34:22,  35:24, 37:4, 38:10,  38:17, 46:24, 53:10,  63:21, 71:17, 71:22,  72:3, 75:6, 76:7, 77:1,  80:14, 80:15, 80:18,  81:7, 81:25, 82:2,  85:5, 92:19, 102:17,  103:23, 107:6,  122:21, 126:7,  131:21, 163:5, 163:9,  170:6, 170:9, 188:22,  189:3  <b>three-quarters</b> [2] -  188:22, 189:3  <b>threshold</b> [7] - 84:7,  99:19, 100:8, 113:20,  113:22, 114:4, 114:5  <b>throughout</b> [5] -  42:21, 122:8, 142:6,  147:6, 185:21  <b>throw</b> [1] - 108:8  <b>Thursday</b> [3] -  126:16, 126:18,  196:19  <b>THURSDAY</b> [1] - 5:1  <b>tickets</b> [1] - 117:8  <b>timing</b> [2] - 44:10,  126:14  <b>tiny</b> [1] - 108:2  <b>tip</b> [2] - 13:18, 143:7  <b>tipped</b> [1] - 16:17  <b>title</b> [1] - 51:4  <b>titled</b> [1] - 19:16  <b>titles</b> [1] - 146:16  <b>today</b> [5] - 126:7,  138:7, 140:11, 209:1,</p>	<p>209:6  <b>together</b> [2] - 152:7,  156:25  <b>tomorrow</b> [1] -  209:16  <b>took</b> [33] - 22:24,  32:5, 42:8, 43:9,  49:21, 122:24,  123:12, 123:23,  127:3, 129:1, 129:2,  129:16, 129:23,  130:23, 132:9,  132:10, 135:16,  155:8, 155:13, 158:6,  164:21, 165:11,  166:4, 166:11,  166:12, 166:14,  166:23, 167:9,  167:10, 167:23,  185:21, 194:1, 194:18  <b>tools</b> [1] - 12:12  <b>tooth</b> [1] - 74:17  <b>top</b> [11] - 45:14,  98:24, 102:1, 103:11,  146:14, 163:2,  181:21, 185:14,  189:21, 195:21,  207:15  <b>topics</b> [2] - 7:15,  7:17  <b>total</b> [3] - 140:1,  188:19, 198:22  <b>touch</b> [3] - 61:15,  61:19, 207:24  <b>touched</b> [3] - 110:12,  124:8  <b>towards</b> [1] - 202:19  <b>towel</b> [7] - 37:9,  37:14, 37:17, 37:18,  37:24, 85:4, 124:7  <b>town</b> [2] - 197:24,  202:20  <b>toxicology</b> [4] - 24:3,  24:11, 24:13, 24:14  <b>trace</b> [23] - 19:11,  26:23, 26:25, 30:14,  37:24, 41:15, 41:23,  41:24, 41:25, 42:5,  42:20, 42:22, 42:25,  43:6, 43:9, 43:11,  43:17, 43:19, 134:17,  134:22, 196:4, 196:9,  196:11  <b>track</b> [2] - 20:5, 21:7  <b>tracked</b> [1] - 97:9  <b>Trade</b> [2] - 95:16,  95:20  <b>training</b> [16] - 7:8,  7:10, 7:17, 7:20, 7:23,  7:24, 52:5, 52:9,</p>
---	---	---	--	---

<p>52:11, 112:25, 113:2, 121:16, 121:24, 137:5, 137:15, 138:5  <b>transfer</b> [17] - 110:8, 110:9, 110:16, 110:17, 110:25, 111:1, 111:3, 111:7, 111:11, 111:15, 111:18, 111:21, 112:5, 118:6, 118:7  <b>transferred</b> [3] - 24:17, 111:5, 180:16  <b>transferring</b> [4] - 111:8, 111:23, 111:24, 111:25  <b>transporting</b> [1] - 53:21  <b>treated</b> [2] - 16:9, 24:18  <b>trees</b> [1] - 206:4  <b>trial</b> [9] - 5:9, 5:10, 7:16, 48:25, 49:22, 119:24, 176:21, 177:5, 209:14  <b>triallelic</b> [2] - 92:17, 93:3  <b>tricky</b> [1] - 161:25  <b>tried</b> [3] - 124:10, 165:12, 166:24  <b>tries</b> [1] - 84:16  <b>trillion</b> [3] - 145:7, 149:19, 149:21  <b>tromping</b> [1] - 123:1  <b>truck</b> [1] - 160:6  <b>true</b> [3] - 50:1, 115:18, 201:22  <b>trust</b> [1] - 57:24  <b>try</b> [17] - 29:8, 29:10, 58:15, 58:17, 79:20, 80:2, 80:4, 100:2, 100:3, 101:22, 106:10, 108:9, 113:17, 117:6, 119:2, 141:13, 171:10  <b>trying</b> [12] - 97:17, 97:19, 99:20, 113:10, 149:10, 154:16, 155:14, 155:15, 159:7, 165:4, 200:17, 203:9  <b>tube</b> [3] - 24:7, 56:12, 107:20  <b>tubes</b> [1] - 24:12  <b>Tuesday</b> [3] - 126:16, 126:18, 188:1  <b>turn</b> [22] - 18:15, 19:15, 20:24, 22:17, 23:17, 27:2, 30:1, 44:17, 79:5, 83:6, 87:24, 123:10, 150:3,</p>	<p>153:5, 153:13, 157:25, 162:6, 163:3, 164:16, 166:1, 167:14, 171:18  <b>turning</b> [1] - 111:14  <b>turned</b> [1] - 41:4  <b>tweezers</b> [3] - 12:20, 28:19, 35:22  <b>twenty</b> [1] - 131:21  <b>twenty-three</b> [1] - 131:21  <b>twice</b> [1] - 138:17  <b>twirling</b> [1] - 29:9  <b>Twito</b> [35] - 9:4, 9:12, 10:12, 12:10, 14:10, 15:5, 17:24, 31:3, 32:21, 33:18, 34:4, 40:9, 53:10, 61:5, 71:14, 73:20, 74:15, 76:18, 93:20, 139:4, 145:22, 147:11, 151:7, 153:5, 156:20, 158:10, 159:3, 160:20, 162:25, 164:12, 166:18, 169:24, 170:15, 173:21, 174:9  <b>TWITO</b> [65] - 5:21, 6:2, 8:22, 9:3, 9:9, 10:4, 10:11, 12:9, 14:4, 14:7, 14:23, 15:4, 16:24, 17:22, 30:25, 32:11, 32:14, 32:16, 33:17, 34:2, 40:7, 47:10, 47:12, 47:23, 48:14, 48:18, 49:10, 49:13, 49:23, 50:1, 50:10, 50:16, 53:5, 60:22, 61:2, 71:12, 73:14, 74:14, 76:15, 93:18, 98:11, 117:2, 117:5, 118:25, 119:19, 135:25, 136:5, 138:23, 145:15, 147:8, 151:5, 153:4, 156:16, 158:9, 159:1, 160:19, 162:24, 164:10, 166:17, 169:22, 170:13, 173:20, 174:7, 175:5, 176:14  <b>two</b> [91] - 6:25, 18:12, 19:20, 20:18, 21:4, 26:11, 28:14, 29:7, 36:4, 36:8, 37:15, 54:12, 55:6, 61:11, 62:14, 64:22, 65:4, 65:7, 67:4, 68:11, 68:12, 68:15, 69:10, 74:21, 75:7,</p>	<p>75:9, 76:1, 83:21, 83:22, 85:16, 86:10, 86:13, 87:7, 87:8, 87:19, 88:6, 89:1, 89:5, 92:1, 92:16, 92:18, 96:22, 97:23, 97:25, 98:1, 102:4, 102:13, 102:19, 104:20, 107:1, 107:2, 107:5, 108:15, 114:13, 115:20, 115:23, 118:18, 120:5, 120:6, 125:25, 132:5, 132:9, 136:13, 136:18, 144:16, 145:10, 147:9, 150:21, 151:9, 151:11, 151:12, 152:12, 153:14, 157:4, 157:7, 158:1, 159:5, 159:10, 189:12, 189:13, 190:5, 198:24, 203:15  <b>type</b> [8] - 27:19, 27:20, 54:8, 79:2, 83:24, 140:17, 142:6, 149:24  <b>types</b> [3] - 56:10, 121:13, 180:4  <b>typically</b> [13] - 16:16, 22:12, 27:20, 41:25, 121:14, 141:5, 141:15, 143:9, 146:1, 154:13, 185:5, 205:14, 206:20  <b>typographical</b> [3] - 21:20, 160:14, 163:10</p> <p style="text-align: center;"><b>U</b></p> <p><b>um-hum</b> [7] - 61:7, 110:21, 148:21, 160:23, 201:25, 202:23, 206:10  <b>uncommon</b> [1] - 149:11  <b>under</b> [13] - 16:10, 27:10, 32:22, 41:22, 45:12, 46:23, 51:8, 57:11, 86:19, 111:6, 118:13, 136:23, 142:2  <b>underarm</b> [1] - 154:14  <b>undergraduate</b> [2] - 136:24, 137:17  <b>underneath</b> [4] - 27:7, 34:14, 147:12, 205:22  <b>unexpected</b> [1] - 111:13</p>	<p><b>unfortunately</b> [1] - 160:3  <b>uniform</b> [2] - 197:18, 197:20  <b>unique</b> [6] - 113:9, 113:16, 114:7, 114:11, 145:3, 145:8  <b>United</b> [4] - 113:25, 137:3, 178:9, 180:22  <b>units</b> [1] - 64:23  <b>University</b> [5] - 7:7, 51:25, 52:2, 136:25, 178:4  <b>university</b> [1] - 178:6  <b>unknown</b> [3] - 7:12, 7:13, 106:1  <b>unmarked</b> [1] - 46:4  <b>unusual</b> [1] - 203:19  <b>up</b> [93] - 11:19, 12:22, 13:4, 16:2, 16:24, 17:22, 29:9, 29:10, 29:18, 30:21, 30:23, 32:21, 36:6, 36:21, 49:18, 54:8, 57:13, 58:19, 62:12, 62:15, 63:3, 63:17, 64:4, 67:13, 76:16, 78:2, 79:4, 80:8, 95:10, 95:14, 96:10, 96:21, 97:24, 99:17, 101:20, 111:4, 118:6, 121:22, 122:6, 123:5, 123:7, 123:8, 123:16, 124:2, 124:9, 124:10, 124:22, 126:12, 128:2, 128:7, 128:11, 130:10, 140:11, 140:14, 142:7, 146:10, 147:1, 149:2, 150:4, 151:4, 151:5, 157:1, 158:9, 159:1, 160:19, 162:24, 164:11, 166:17, 169:22, 170:14, 173:20, 179:4, 181:18, 183:12, 183:15, 184:2, 185:7, 187:25, 188:9, 189:6, 189:10, 189:22, 190:6, 190:7, 190:22, 198:8, 201:6, 205:12, 205:16, 205:22, 207:5, 207:7  <b>upload</b> [1] - 89:11  <b>uploaded</b> [4] - 88:13, 90:20, 91:2, 91:11  <b>upper</b> [2] - 34:4, 82:2  <b>urine</b> [1] - 27:21  <b>uses</b> [3] - 68:14, 94:23, 94:24</p>	<p><b>usual</b> [5] - 48:23, 119:22, 176:19, 209:10, 209:12  <b>utensils</b> [1] - 12:18  <b>utilize</b> [6] - 10:12, 56:14, 68:4, 70:9, 70:14, 146:20  <b>UV</b> [4] - 16:9, 24:18, 161:16  <b>UV-filtered</b> [1] - 16:9  <b>UV-treated</b> [2] - 16:9, 24:18</p> <p style="text-align: center;"><b>V</b></p> <p><b>vaginal</b> [1] - 141:2  <b>vague</b> [1] - 126:14  <b>validate</b> [1] - 142:3  <b>validated</b> [1] - 142:1  <b>validation</b> [1] - 142:7  <b>Valley</b> [1] - 202:17  <b>valley</b> [8] - 205:3, 205:12, 206:4, 206:20, 206:25, 207:4, 207:14, 207:20  <b>value</b> [4] - 58:12, 59:16, 93:24, 149:22  <b>van</b> [1] - 123:16  <b>variable</b> [1] - 147:3  <b>variables</b> [1] - 111:25  <b>variance</b> [2] - 100:12  <b>variant</b> [2] - 92:17, 93:4  <b>variation</b> [5] - 202:4, 202:6, 202:13, 202:15, 203:14  <b>variations</b> [2] - 55:5, 202:10  <b>variety</b> [2] - 138:2, 144:18  <b>various</b> [8] - 9:20, 11:6, 15:11, 18:1, 52:11, 76:5, 94:17, 129:1  <b>vault</b> [4] - 11:25, 13:1, 17:11, 18:12  <b>vehicle</b> [2] - 134:23, 135:6  <b>verify</b> [1] - 185:12  <b>verifying</b> [1] - 111:20  <b>versions</b> [1] - 72:1  <b>versus</b> [9] - 5:6, 18:18, 49:7, 88:25, 120:11, 177:5, 189:7, 192:13, 205:2  <b>vic</b> [2] - 45:4, 45:12  <b>victim</b> [1] - 148:1  <b>victims</b> [2] - 95:20,</p>
---	---	--	--	---

<p>118:18  <b>view</b> [3] - 15:19,  17:1, 17:2  <b>Vincent</b> [2] - 22:22,  23:2  <b>Vincent's</b> [2] - 23:10,  113:3  <b>Virginia</b> [1] - 180:19  <b>virtually</b> [3] - 179:25,  196:3, 197:23  <b>virtue</b> [1] - 98:6  <b>viruses</b> [2] - 96:17  <b>visible</b> [1] - 15:21  <b>visual</b> [2] - 146:3,  194:1  <b>visually</b> [4] - 35:10,  35:11, 43:4, 43:24  <b>VVA</b> [1] - 76:9</p>	<p><b>water</b> [1] - 29:8  <b>ways</b> [3] - 28:14,  56:17, 183:25  <b>wear</b> [4] - 41:19,  41:20, 42:1, 154:15  <b>wearer</b> [1] - 155:3  <b>wearing</b> [4] - 124:25,  127:1, 127:2, 154:18  <b>weather</b> [26] - 178:9,  179:18, 180:2, 180:3,  180:4, 180:6, 180:7,  181:25, 186:1,  186:13, 188:6,  197:12, 197:17,  198:18, 198:19,  198:22, 199:8,  200:10, 200:14,  201:2, 201:21,  201:23, 204:9,  204:13, 205:8, 207:22  <b>Weather</b> [11] -  179:11, 179:12,  179:15, 179:17,  180:12, 181:19,  182:7, 186:8, 193:13,  193:16, 197:9  <b>Web</b> [1] - 179:5  <b>Wednesday</b> [5] -  126:16, 126:18,  190:15, 196:1, 196:11  <b>week</b> [6] - 5:8, 49:21,  187:23, 188:4, 191:4,  192:10  <b>weight</b> [1] - 79:20  <b>well-characterized</b>  [1] - 107:19  <b>well-organized</b> [1] -  56:23  <b>well-run</b> [1] - 57:21  <b>West</b> [1] - 44:22  <b>wet</b> [8] - 29:8, 35:14,  111:7, 111:9, 111:11,  111:21, 196:5  <b>whatsoever</b> [2] -  164:9, 207:15  <b>wheel</b> [4] - 111:12,  111:13, 111:14,  118:10  <b>whereabouts</b> [1] -  181:18  <b>white</b> [8] - 12:17,  13:5, 33:2, 33:5,  53:22, 123:25, 124:3,  124:11  <b>Whitewolf</b> [15] -  70:15, 125:17, 126:9,  143:4, 143:6, 148:13,  148:16, 149:16,  155:10, 155:23,  165:16, 174:10,</p>	<p>174:11, 174:15, 176:5  <b>Whitewolfs</b> [1] -  174:20  <b>whole</b> [8] - 57:5,  78:10, 139:18, 140:7,  140:20, 181:21,  194:4, 200:13  <b>wick</b> [1] - 29:8  <b>wide</b> [3] - 138:1,  146:22, 199:15  <b>widely</b> [5] - 141:24,  142:9, 146:22,  146:24, 147:6  <b>win</b> [1] - 117:8  <b>wind</b> [4] - 179:20,  197:18, 197:19,  199:14  <b>window</b> [1] - 199:8  <b>winter</b> [1] - 202:24  <b>wire</b> [7] - 128:23,  128:24, 131:11,  131:21, 132:2,  132:10, 133:20  <b>wise</b> [2] - 205:19,  205:21  <b>wish</b> [1] - 61:16  <b>withdraw</b> [1] -  204:14  <b>WITNESS</b> [12] -  98:13, 117:1, 119:18,  133:1, 147:10,  156:17, 195:19,  199:25, 204:17,  208:24, 209:3, 209:5  <b>witness</b> [27] - 5:20,  5:24, 9:9, 14:5, 15:24,  30:25, 48:13, 48:19,  50:9, 50:13, 52:21,  61:6, 119:16, 119:17,  120:6, 120:18,  120:21, 135:24,  136:2, 138:12,  156:10, 176:16,  177:12, 177:15,  182:12, 209:4  <b>witnesses</b> [2] - 14:8,  209:6  <b>wondered</b> [1] -  134:24  <b>word</b> [12] - 45:14,  101:10, 107:18,  119:1, 139:10,  139:12, 143:6, 154:9,  169:2, 198:7  <b>wording</b> [1] - 168:24  <b>words</b> [13] - 72:21,  81:13, 102:7, 169:6,  188:25, 197:19,  198:8, 198:12,  198:22, 199:7,</p>	<p>205:24, 207:16, 208:9  <b>wore</b> [1] - 154:7  <b>works</b> [3] - 58:9,  184:25, 191:19  <b>World</b> [2] - 95:16,  95:20  <b>world</b> [3] - 145:6,  149:12, 149:19  <b>worn</b> [3] - 124:21,  154:23, 155:15  <b>worry</b> [1] - 108:8  <b>wrist</b> [1] - 133:21  <b>write</b> [2] - 75:24,  101:22  <b>written</b> [4] - 56:9,  142:7, 163:11, 165:24</p>	<p>34:2, 36:13, 36:20,  62:6, 63:24, 78:10,  156:16  <b>zoomed</b> [1] - 34:4</p>
<b>W</b>				
<p><b>waits</b> [1] - 185:1  <b>Wald</b> [3] - 200:7,  203:13, 205:2  <b>WALD</b> [19] - 120:7,  125:4, 131:5, 133:12,  135:20, 135:22,  177:3, 181:14, 183:8,  187:17, 194:21,  200:2, 200:4, 203:6,  203:9, 203:12,  204:14, 204:18,  208:25  <b>walked</b> [1] - 119:7  <b>walker</b> [1] - 152:24  <b>wall</b> [1] - 61:14  <b>walls</b> [1] - 128:4  <b>wants</b> [1] - 200:10  <b>warm</b> [4] - 192:18,  192:22, 192:23, 193:2  <b>warmed</b> [1] - 192:21  <b>warmer</b> [10] - 191:18,  193:6, 193:7, 198:9,  205:12, 205:15,  205:16, 206:22,  208:3, 208:19  <b>warmest</b> [4] -  187:24, 187:25,  192:6, 192:7  <b>warmth</b> [1] - 193:1  <b>wash</b> [1] - 28:18  <b>washed</b> [1] - 33:6  <b>Washington</b> [4] -  178:8, 179:13,  180:16, 180:20  <b>watch</b> [2] - 180:10,  200:14  <b>watched</b> [1] - 180:7  <b>watching</b> [1] - 185:8</p>	<p><b>Web</b> [1] - 179:5  <b>Wednesday</b> [5] -  126:16, 126:18,  190:15, 196:1, 196:11  <b>week</b> [6] - 5:8, 49:21,  187:23, 188:4, 191:4,  192:10  <b>weight</b> [1] - 79:20  <b>well-characterized</b>  [1] - 107:19  <b>well-organized</b> [1] -  56:23  <b>well-run</b> [1] - 57:21  <b>West</b> [1] - 44:22  <b>wet</b> [8] - 29:8, 35:14,  111:7, 111:9, 111:11,  111:21, 196:5  <b>whatsoever</b> [2] -  164:9, 207:15  <b>wheel</b> [4] - 111:12,  111:13, 111:14,  118:10  <b>whereabouts</b> [1] -  181:18  <b>white</b> [8] - 12:17,  13:5, 33:2, 33:5,  53:22, 123:25, 124:3,  124:11  <b>Whitewolf</b> [15] -  70:15, 125:17, 126:9,  143:4, 143:6, 148:13,  148:16, 149:16,  155:10, 155:23,  165:16, 174:10,</p>	<p><b>Whitewolfs</b> [1] -  174:20  <b>whole</b> [8] - 57:5,  78:10, 139:18, 140:7,  140:20, 181:21,  194:4, 200:13  <b>wick</b> [1] - 29:8  <b>wide</b> [3] - 138:1,  146:22, 199:15  <b>widely</b> [5] - 141:24,  142:9, 146:22,  146:24, 147:6  <b>win</b> [1] - 117:8  <b>wind</b> [4] - 179:20,  197:18, 197:19,  199:14  <b>window</b> [1] - 199:8  <b>winter</b> [1] - 202:24  <b>wire</b> [7] - 128:23,  128:24, 131:11,  131:21, 132:2,  132:10, 133:20  <b>wise</b> [2] - 205:19,  205:21  <b>wish</b> [1] - 61:16  <b>withdraw</b> [1] -  204:14  <b>WITNESS</b> [12] -  98:13, 117:1, 119:18,  133:1, 147:10,  156:17, 195:19,  199:25, 204:17,  208:24, 209:3, 209:5  <b>witness</b> [27] - 5:20,  5:24, 9:9, 14:5, 15:24,  30:25, 48:13, 48:19,  50:9, 50:13, 52:21,  61:6, 119:16, 119:17,  120:6, 120:18,  120:21, 135:24,  136:2, 138:12,  156:10, 176:16,  177:12, 177:15,  182:12, 209:4  <b>witnesses</b> [2] - 14:8,  209:6  <b>wondered</b> [1] -  134:24  <b>word</b> [12] - 45:14,  101:10, 107:18,  119:1, 139:10,  139:12, 143:6, 154:9,  169:2, 198:7  <b>wording</b> [1] - 168:24  <b>words</b> [13] - 72:21,  81:13, 102:7, 169:6,  188:25, 197:19,  198:8, 198:12,  198:22, 199:7,</p>	<p><b>year</b> [11] - 7:23,  56:25, 57:2, 57:12,  114:23, 115:1,  115:21, 116:1,  121:21, 204:4  <b>years</b> [17] - 51:3,  51:17, 52:1, 57:11,  115:5, 121:10,  121:12, 121:20,  121:25, 122:9,  122:10, 136:13,  136:18, 178:10,  179:22, 180:5, 180:6  <b>yellow</b> [3] - 123:24,  123:25, 124:4  <b>Yellowstone</b> [4] -  8:15, 25:2, 25:11,  25:12  <b>yesterday</b> [1] - 15:25  <b>yielded</b> [6] - 156:23,  159:11, 159:23,  167:11, 168:18, 170:9  <b>you-all</b> [3] - 39:1,  49:16, 120:9  <b>yourself</b> [1] - 201:21  <b>yourselves</b> [7] -  48:24, 58:2, 119:23,  176:20, 209:11,  209:13</p>	<p><b>zoomed</b> [1] - 34:4</p>
<b>Y</b>				
<b>Z</b>				
<p><b>Zanders</b> [3] - 70:4,  79:9, 91:16  <b>zero</b> [9] - 21:5,  188:22, 188:24,  189:12, 189:13,  189:19, 190:5  <b>zeros</b> [1] - 189:13  <b>zoom</b> [8] - 33:17,</p>				